

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES DISTRICT COURT
DISTRICT OF NEW JERSEY

-----x

UNITED STATES OF AMERICA EX REL DR. HELENE
Z. HILL,

Plaintiff,

-v-

CASE NO.
03-4837 (DMC)

UNIVERSITY OF MEDICINE & DENTISTRY OF
NEW JERSEY, DR. ROGER W. HOWELL and
2DR. ANUPAM BISHAYEE,

Defendants.

-----x

3635 Express Drive North
Islandia, New York

September 17, 2009
10:00 a.m.

DEPOSITION of LUDWIG E.

FEINENDEGEN, M.D., a non-party witness herein,
taken by the Plaintiff, pursuant to Notice,
held at the above-noted time and place, before
a Notary Public of the State of New York.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

2

A P P E A R A N C E S :

BUCCERI & PINCUS, ESQS.
Attorneys for Plaintiff
1200 Route 46
Clifton, New Jersey 07013

BY: SHELDON H. PINCUS, ESQ.

McELROY, DEUTSCH, MULVANEY & CARPENTER, LLP
Attorneys for Defendants
1300 Mount Kemble Avenue
P.O. Box 2075
Morristown, New Jersey 07962

BY: JOHN P. LEONARD, ESQ.
SCOTT S. FLYNN, ESQ.

A L S O P R E S E N T :

DR. HELENE Z. HILL
DR. ROGER W. HOWELL

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

3

S T I P U L A T I O N S

IT IS HEREBY STIPULATED by and between the Parties hereto through their respective counsel that all objections except as to the form of the question shall be reserved to the time of trial;

IT IS FURTHER STIPULATED by and between the parties hereto through their respective counsel that sealing, certification and filing shall be and the same are hereby waived;

IT IS FURTHER STIPULATED that the within examination may be signed and sworn to before any Notary Public with the same force and effect as if signed and sworn to before this Court.

1
2 LUDWIG E. FEINENDEGEN, M.D., the Witness herein,
3 having been first duly sworn by James
4 Pungello, a Notary Public in and for the
5 State of New York, was examined, and
6 testified as follows:

7 EXAMINATION BY

8 MR. PINCUS:

9 Q Would you please state your name for
10 the record?

11 A Ludwig E. Feinendegen.

12 Q What is your business address?

13 A Brookhaven National Laboratories,
14 Building 490, Medical Department, Upton, New York
15 11973.

16 Q Dr. Feinendegen, good morning.

17 A Good morning.

18 Q I know I met briefly with you when
19 we both arrived here this morning but let me
20 formally introduce myself, I'm Sheldon H. Pincus,
21 I'm an attorney in the State of New Jersey, my
22 firm is named Bucceri & Pincus located in Clifton,
23 New Jersey, and I'm here to take your deposition
24 today.

25 I know that you've been denoted an

1 Ludwig E. Feinendegen, M.D. 5
2 expert witness on behalf of the Defendants in this
3 matter and as you may well know this is a suit
4 which was brought by Dr. Hill against the
5 University of Medicine & Dentistry of New Jersey,
6 Dr. Roger Howell and Dr. Anupam Bishayee. It is
7 presently pending in the United States District
8 Court for the District of New Jersey and we're
9 here to take your deposition.

10 Have you ever had your deposition
11 taken before?

12 A No.

13 Q Let me give you then some ground
14 rules, if I may.

15 A Yeah.

16 Q Deposition is part of a process
17 known as discovery, discovery is an activity which
18 the attorneys representing the respective parties
19 to a dispute engage in prior to trials or hearings
20 where we have the opportunity to meet with
21 witnesses whom we believe may possess
22 information relevant to the dispute.

23 In this case, as I indicated, you
24 were denoted as an expert witness on behalf of the
25 Defendants and I'm here to question you in regard

1 Ludwig E. Feinendegen, M.D. 6
2 to the opinions and the facts that you set forth
3 in the report, which we'll get to.

4 I want you to answer the questions
5 that I ask you to today to the best of your
6 ability. I realize, everyone realizes you may not
7 have the answer to every question that I ask and
8 you need simply tell me if you're unable to answer
9 a question, that can happen for a number of
10 reasons: A, you might not understand the question
11 that I ask and if so I don't want you to hesitate
12 to ask me for clarification or repetition, Jim,
13 our reporter, will be happy to read something
14 back, if necessary. I want you to listen very
15 carefully to the question that I ask because I'm
16 going to assume that if you respond to the
17 question you understood it and you're capable of
18 answering it fully and completely.

19 Do you understand that?

20 A Yeah.

21 Q As I say, if you need anything
22 repeated or defined, please don't hesitate.

23 A May I ask questions too?

24 Q Generally, no. My 19 years of
25 training afford me the luxury of asking the

1 Ludwig E. Feinendegen, M.D. 7
2 questions and you get to answer them today, okay.
3 But, obviously, if you have a question in terms of
4 just my question, you know, let's just feel our
5 way along and we'll guide you accordingly.

6 This is not, you know, any kind of
7 marathon session, if you need a break, please
8 don't hesitate.

9 A Yes.

10 Q You're not permitted to confer with
11 Dr. Howell or counsel while the deposition is
12 going on, okay.

13 A Right.

14 Q So this dialogue is going to occur
15 essentially between yourself and I and when I'm
16 done Mr. Leonard or Mr. Flynn will have the
17 opportunity, if they desire, to ask you other
18 questions.

19 You understand that?

20 A Yes.

21 Q During the course of the deposition
22 there may be an occasion where Mr. Leonard or Mr.
23 Flynn has an objection to a question that I ask,
24 in the event that occurs, kindly hold your
25 response giving them the opportunity to set forth

1 Ludwig E. Feinendegen, M.D. 8
2 their objection on the record, they, in turn, will
3 direct you whether to answer the question or not.

4 The most important instruction that
5 I can give you is that if we don't want to get our
6 reporter, Jim, upset, we have to afford him the
7 opportunity to take down everything that I say and
8 you say, consequently we can't speak over one
9 another. Afford me the opportunity to set forth
10 my question completely, I'll give you the courtesy
11 of responding in like fashion, okay.

12 Also, because we're not being
13 videotaped, sometimes we do videotape depositions,
14 it's important that you give a verbal response, a
15 nod or a gesture is sometimes difficult to
16 interpret and if I remind you to give a verbal
17 response, please don't take offense, it's just
18 that it's important to all the parties that we
19 have an accurate transcription of what went on
20 here today, okay.

21 A Yes, I understand.

22 Q So you've indicated that you've
23 never been deposed before?

24 A No.

25 Q Have you ever been retained as an

1 Ludwig E. Feinendegen, M.D. 9

2 expert witness before?

3 A Not here, not here in this country.

4 Q What other country or countries?

5 A Germany.

6 Q What was the nature of the case or

7 cases?

8 A I do not remember that.

9 Q When was that?

10 A Many years ago.

11 Q More than 10?

12 A Yes.

13 Q More than 20?

14 A More than 10 years ago, before I

15 retired. I retired 16 years ago.

16 Q Am I correct that you have never

17 been retained in a case alleging fabrication of

18 data?

19 A No.

20 Q Let me ask the whole question, okay.

21 We'll get into it, you'll see, this isn't a

22 natural event and sometimes we just have to get

23 into the rhythm. So let me ask the whole

24 question.

25 Am I correct you've never been

1 Ludwig E. Feinendegen, M.D. 10

2 retained in a case alleging fabrication of data
3 which is then used or cited in support of a
4 scientific research grant application?

5 A No, I have not been involved.

6 Q You were retained by Mr. Leonard in
7 this case; is that correct?

8 A Yes.

9 Q Have you ever been retained by Mr.
10 Leonard in any capacity prior to this case?

11 A No.

12 Q Have you ever been retained to serve
13 in any capacity to the university of Medicine &
14 Dentistry in New Jersey?

15 A No.

16 Q Have you ever been retained by
17 Dr. Howell in any capacity?

18 A No.

19 Q By Dr. Bishayee?

20 A No.

21 Q Have there been occasions where
22 you've spoken to Dr. Howell concerning this
23 matter?

24 A That question is incomplete. Is it
25 generalization, of course.

1 Ludwig E. Feinendegen, M.D. 11

2 Q No, I said in regards to this case,
3 have you ever conferred with Dr. Howell?

4 A Yes. I have been asked to make --
5 yes.

6 Q How many occasions did you speak
7 with Dr. Howell about this case?

8 A Five times, four times, six times,
9 along that. I mean, may I ask a question?

10 Q No, you answered my question. Do
11 you recall when the last time was you conferred
12 with Dr. Howell about this case?

13 A This morning.

14 Q This morning, okay.

15 A Well, you see, let me make it
16 clear --

17 Q No, hold on. No, no, no.

18 MR. LEONARD: Let him finish his
19 answer, Shelly.

20 A Your question implies contact but
21 doesn't imply contact, there's a difference
22 between numbers of contacts about what content.
23 When I say this morning, he helped me putting this
24 on the table, that's a contact, but you didn't
25 mean that, of course.

1 Ludwig E. Feinendegen, M.D. 12

2 I would like to have your questions
3 be very specific so that I know what to answer. I
4 do not want you to use general terms in order to
5 get me into a position that is very difficult for
6 me to answer truthfully. You want me to answer
7 the truth.

8 Q Yes, I do. You did the right thing,
9 if you don't understand my question, that's all
10 you need tell me and I'll attempt to rephrase it.

11 So you did meet with Dr. Howell for
12 purposes of reviewing materials and the
13 preparation of your report; is that correct?

14 A No, I did not meet.

15 Q Did you speak with him for purposes
16 of a review of materials and preparing your
17 report?

18 A I think once or twice on the
19 telephone.

20 Q Do you recall what you discussed in
21 those one or two occasions that you spoke on the
22 telephone with Dr. Howell?

23 A Yes. I was asked if I would be
24 willing to be an expert witness and to look into
25 this. I said yes.

1 Ludwig E. Feinendegen, M.D. 13

2 Q Did you ever meet with Dr. Bishayee?

3 A No, I don't even know him.

4 Q Did you ever speak to him on the

5 phone regarding this matter?

6 A No.

7 Q Did you speak to any representatives

8 of the University of Medicine & Dentistry in

9 regards to this matter?

10 A No.

11 Q As I understood today I believe your

12 fees for testifying at this deposition are \$400 an

13 hour; is that correct?

14 A Yes.

15 Q Do you charge the same or a

16 different fee structure for purposes of testifying

17 in court?

18 A I haven't done that.

19 Q Have you established what your fee

20 will be for testimony in court?

21 A Which court now, this one?

22 Q This proceeding, yes.

23 A No, I have not. Your question was

24 whether I discussed the fee and if I decided on a

25 fee, I have not decided on a fee.

1 Ludwig E. Feinendegen, M.D. 14

2 Q So in the event and when this matter
3 comes to trial, you've not established with the
4 Defendants what your fee for testifying in court
5 will be at this point?

6 A No.

7 Q You prepared a report in this
8 matter, isn't that so?

9 A Yes.

10 Q There was no indication on that
11 report what you charged for its preparation. Do
12 you recall what you charged the Defendants for
13 your report?

14 A I haven't charged anything yet.

15 Q Did you have any discussions insofar
16 as whether your fee for the preparation of the
17 report will be based on an hourly rate or a flat
18 rate or any other method of compensation?

19 A I saw the respective remark in Dr.
20 Robbins' report and so I followed suit because
21 when I got the Dr. Robbins' report, it has his
22 C.V. it says I charged that and that and that.

23 Q So you're guiding your fee structure
24 by what Dr. Robbins was doing?

25 A Yes.

1 Ludwig E. Feinendegen, M.D. 15

2 Q How many hours did you spend, do you
3 know, preparing your report?

4 A Yes.

5 Q Can you tell me?

6 A Yeah. Up to yesterday was 36 hours
7 and some half an hour or so.

8 Q So, insofar as any discussions that
9 you may have had with counsel or Dr. Howell or a
10 review of materials and the actual preparation of
11 the report, if I understand you correctly, the sum
12 total of time expended has been approximately 36
13 hours?

14 A No. On working on this report, not
15 on discussions with others.

16 Q So it's 36 hours working on the
17 report itself?

18 A My work on that report, no
19 discussions.

20 Q Do you have any fee or billing
21 arrangement that's contingent upon the outcome of
22 this case?

23 A No.

24 Q You say that you read the Robbins'
25 report, correct?

1 Ludwig E. Feinendegen, M.D. 16

2 A Yes.

3 Q Do you recall when you did that?

4 A Yes, that was in mid June when I got
5 it. I have it. Yeah, it was in mid June. I
6 think it was mid June, yeah.

7 MR. PINCUS: Do you have any
8 objection just for ease of marking that we
9 call it Fein 1, F-e-i-n, all right?

10 MR. LEONARD: Yes.

11 MR. PINCUS: Mark that Fein 1.

12 (Whereupon, Dr. Robbins' report, Dr.
13 Feinendegen's report, Dr. Feinendegen's C.V.
14 and Pitt report were marked as Fein's
15 Exhibits 1, 2, 3 and 4 respectively for
16 identification, as of this date.)

17 Q So I just want to be clear, Dr.

18 Feinendegen. I'm going to show you what we've
19 marked for identification as Exhibit Fein 1.
20 You'll see that we're putting a little sticker on
21 the top.

22 A That's Dr. Robbins' report.

23 Q Let me ask the question again in
24 fairness to you, sir.

25 A Yeah.

1 Ludwig E. Feinendegen, M.D. 17

2 Q I want to be certain that when you
3 were making reference to having reviewed Dr.
4 Robbins' report, this is the copy of the document
5 that you reviewed?

6 A Let me just check.

7 Q That's fine.

8 A Yes, it is.

9 Q Thank you. When you say you
10 prepared your report, I'm going to show you what
11 we've marked as Fein 2 for identification and I
12 just want to be certain that that is a copy of
13 what you understand to be your report?

14 A Yeah, that's my report.

15 Q When you reviewed the Robbins'
16 report, other than your report, did you prepare
17 any other reports or correspondence relating to
18 Dr. Robbins' opinions?

19 A No, nothing.

20 Q There's another individual whose
21 rendered a report in this matter by the name of
22 Dr. Joel Pitt. Have you read Dr. Pitt's report?

23 A I don't know that report.

24 Q Let me just be certain. I'm going
25 to show you what we've marked for identification

1 Ludwig E. Feinendegen, M.D. 18

2 as Exhibit Fein 4 and I just want to be certain
3 whether or not you've ever seen that document
4 before?

5 A No, I have not seen that document.

6 Q To your knowledge, was this document
7 shared with you by any of the Defendants or
8 counsel?

9 A No.

10 Q My showing it to you here this
11 morning is the first occasion you've had to see
12 it?

13 A Correct.

14 Q Insofar as the preparation of your
15 report, Fein 2, did you review any other documents
16 that are not referenced or cited within your
17 report for purposes of its preparation?

18 A Yes.

19 Q Can you tell me what else you've
20 reviewed?

21 A Well, my book and other books and
22 several publications which are not referenced here
23 in this report and some research that was done and
24 condenses down to that what is needed to
25 substantiate my statements.

1 Ludwig E. Feinendegen, M.D. 19

2 Q So let me see if I understand you
3 correctly. I know that your report, if I go to
4 page, I believe, 20 and 21 contain 29 enumerated
5 references?

6 A Yes.

7 Q You're telling me that separate and
8 apart from that you made reference to first your
9 book?

10 A No, this is part of the reference.
11 I consulted other publications in preparing the
12 report and I took the references as relevant for
13 this report, I selected those and I have 29
14 references that's relevant for my statements in
15 this report but I analyzed many more reports.

16 Q I understand what you're saying.

17 A Yeah.

18 Q So separate from the references that
19 you've cited --

20 A Yeah.

21 Q -- you reviewed others --

22 A Yes.

23 Q -- and based upon that review you
24 determined that they were not relevant for
25 purposes of your report; is that correct?

1 Ludwig E. Feinendegen, M.D. 20

2 A No, I wouldn't say not relevant, not
3 necessary.

4 Q Why were they not necessary?

5 A Because they were reports that I
6 cited contained the information that was needed to
7 substantiate my statements.

8 Q These other reports or these other
9 references that you reviewed did not contain the
10 information necessary to substantiate your
11 opinions, is that what you're telling me?

12 A No, they were not necessary in the
13 sense that I needed them. I can refer to data in
14 the report and take several references, you see,
15 which I did not put into the report. You can very
16 easily, for example, use to write papers, you can
17 easily come up with 150 references, but what is
18 common in scientific literature is to give these
19 references which are most relevant or most
20 necessary, they are supporting and helpful
21 references for background information.

22 This is not a black and white
23 situation in such references, I selected those
24 references which I believed are sufficient, clear,
25 precise, reliable to substantiate my statements.

1 Ludwig E. Feinendegen, M.D. 21

2 Q Did you maintain a list of all of
3 the references that you reviewed but may not have
4 cited in the report itself?

5 A Not all of them but a few.

6 Q Do you still have a copy of that
7 list?

8 A Yes.

9 Q Do you have that with you?

10 A Yes.

11 Q Can I see it?

12 A It's in my computer.

13 Q I'm going to ask, I'll follow up on
14 this, John, I'm going to ask that subsequent to
15 the time when this deposition is completed that
16 you do a printout of that list of other references
17 that you may have given, give it to Mr. Leonard so
18 that he can supply it to me, please.

19 *R(Document request)

20 A Do I have to comply with that? See,
21 I am a scientist and I'm used to read a lot and if
22 I give you the 50 or what references which I
23 happen to have with me, this is an incomplete
24 list, it would mislead other people.

25 MR. LEONARD: Let Shelly and I talk

1 Ludwig E. Feinendegen, M.D. 22

2 about it. For now make the request and I'll
3 deal with it.

4 MR. PINCUS: Right.

5 Q Let me say this: I take it that
6 you're telling me that the list that you presently
7 have in your computer is not a complete list of
8 these other sources that you may have referenced,
9 correct?

10 A No. I did not reference this, I
11 referenced those which are in the report.

12 Q But you said that you looked at
13 other materials?

14 A Knowledge building. I want to gain
15 knowledge.

16 Q But you did review other materials?

17 A I read, I read, I'm a scientist, I
18 read.

19 Q You read other materials for
20 purposes of determining how you would go about
21 preparing your report, correct?

22 A Not necessarily. I wanted first my
23 scientific -- I'm a scientist and this is a
24 scientific issue and there are statements here and
25 there and I just see there -- this is science,

1 Ludwig E. Feinendegen, M.D. 23

2 science is a very complicated network of
3 individual facts, you cannot cite all the facts
4 that come here.

5 If I come into a building, I don't
6 count the bricks in the wall, I count not even the
7 rooms, I may perhaps say that building is a good
8 one or not a good one. You're leading me into --

9 Q It's very simple, Doctor, I'm titled
10 to know what you reviewed or relied upon.

11 A My knowledge.

12 Q Let me ask the question.

13 A Okay.

14 Q I'm entitled to know what you
15 reviewed or relied upon for purposes of preparing
16 your report, whether you reference it or not.

17 A Okay.

18 Q So my request is very simple, I'm
19 going ask, and I'll follow this up with Mr.
20 Leonard, that you supply him a copy of the list
21 that you identified that you maintain on your
22 computer which are either articles or books or
23 other references that are not cited within your
24 report and then separate if you find, as you
25 suggested to me, that that list that you have on

1 Ludwig E. Feinendegen, M.D. 24

2 your computer is incomplete and you can recall
3 other materials or literature that you read for
4 purposes of gaining knowledge to prepare your
5 report, similarly provide that to Mr. Leonard,
6 okay. We'll follow that up and we'll move on.

7 *R(Document request)

8 A I want to make --

9 Q No, you're not permitted to make a
10 statement.

11 MR. LEONARD: Let he and I discuss
12 it, don't worry about it. Just go on to the
13 next.

14 Q Did you meet with Mr. Leonard or
15 Flynn to prepare for this deposition?

16 A No. Well, here, but not before.

17 Q Well, you met here for purposes of
18 preparing?

19 A Yes.

20 Q Was that yesterday, today?

21 A Yes, yesterday, and we met once in
22 order to get introduced so that what the report,
23 the structure of the report.

24 Q How long did you meet with them for
25 yesterday?

1 Ludwig E. Feinendegen, M.D. 25

2 A Yesterday, the time?

3 Q Yes.

4 A An hour and a half, an hour.

5 Q The first occasion that you met with
6 them, how long did you meet?

7 A Another hour, an hour and a half
8 about.

9 Q Did you consult with any other
10 experts to prepare for this deposition?

11 A No.

12 Q Did you speak to or communicate with
13 anyone other than Mr. Leonard or Mr. Flynn to
14 prepare for this deposition?

15 A No.

16 Q I'm going to show you what we've
17 marked for Exhibit Fein 3. Am I correct that's a
18 copy of your most recent curriculum vitae?

19 A Yeah, mostly, yes.

20 Q When was this prepared, sir?

21 A This late spring. It has no date on
22 it.

23 Q That's why I ask.

24 A Still is as of May 2009. It is on
25 it. It's on it. As of May 209.

1 Ludwig E. Feinendegen, M.D. 26

2 Q Is there anything on this curriculum
3 vitae that's inaccurate at this point?

4 A No.

5 Q Have you ever had your membership
6 revoked or suspended in any professional
7 association?

8 A No.

9 Q Have you ever had any applications
10 for membership in a professional association
11 denied to you?

12 A No.

13 Q Have you ever had your medical
14 license suspended or revoked?

15 A No.

16 Q Have you ever had an application for
17 a medical license refused?

18 A No.

19 Q When you were asked to prepare your
20 report which we've marked as Exhibit Fein 2, did
21 Mr. Flynn or Mr. Leonard write to you by a
22 letter or e-mail describing what it is they wanted
23 you to do?

24 A No. The form, not the content.

25 Q I understand. Did they communicate

1 Ludwig E. Feinendegen, M.D. 27

2 in writing to you indicating what it was they
3 wanted you to do?

4 A Yes.

5 MR. LEONARD: I'm just going to
6 caution --

7 A The format, not the content.

8 MR. LEONARD: I'm just going to
9 caution, you do not have to divulge anything
10 that we say between ourselves. Go ahead.

11 MR. PINCUS: Well, hold on a second.
12 John, if there's correspondence between you
13 and he describing, you know, what has to do
14 with what, that's discoverable, work product
15 is discoverable in this proceeding.

16 Q You were starting to tell me about
17 correspondence.

18 A Yes.

19 Q You said that there was
20 correspondence insofar as the form of the report?

21 A Yes.

22 Q Do you have a copy of that letter?

23 A No. No, I don't know. I would have
24 to search. I don't know. I don't think so.

25 Q You don't think you have that letter

1 Ludwig E. Feinendegen, M.D. 28

2 anymore?

3 A No, I don't think I have a copy. I
4 was told the format and I worked on it and they
5 got it. Not the content, okay.

6 Q I understand you. To your
7 recollection, was it a letter, was it an e-mail?

8 A I think it was an e-mail.

9 Q To your recollection, you did not
10 maintain a copy of that?

11 A Well, I have to search, I can't say.

12 MR. PINCUS: I'll do a follow-up,
13 John.

14 Q Did you prepare any drafts of what
15 came to be marked as your report, Fein 2?

16 A Yes.

17 Q You did do drafts?

18 A Yes, I always draft.

19 Q Do you have copies of those drafts?

20 A No.

21 Q What did you do with them?

22 A I work on one draft after another
23 improving my report. I don't have copies.

24 Q Each time you went over what was a
25 draft of the report you just wrote over it?

1 Ludwig E. Feinendegen, M.D. 29

2 A That's what I usually do.

3 Q You didn't save a copy?

4 A No.

5 Q Recopy it to a new file and then
6 work on it as draft version 1, draft version 2,
7 draft version 3?

8 A I did that but I don't keep that.

9 Q You did not maintain copies of your
10 drafts?

11 A Yes, correct.

12 Q When you did these drafts, do you
13 recall whether these were kept to yourself or did
14 you supply them to Dr. Howell for his review?

15 A The first draft I communicated to
16 Dr. Howell, yeah.

17 Q Did you supply a copy of your first
18 draft to Mr. Leonard or Mr. Flynn?

19 A No, not that I remember.

20 Q So you recall supplying a copy of
21 your first draft. How many drafts do you recall
22 doing leading ultimately to what is your final
23 report?

24 A At least five, I'm constantly
25 improving my text.

1 Ludwig E. Feinendegen, M.D. 30

2 Q After you did the first draft and
3 you provided that to Dr. Howell, did you provide
4 him any additional copies of subsequent drafts?

5 A No. I developed the report as I
6 have it and one of these later drafts I shared
7 with Dr. Howell so that I was sure that that was
8 the right format, and I also wanted him to check
9 on some facts which I had picked up in his papers
10 because much of that stuff grows in his
11 laboratories and I want to make sure that this is
12 correct.

13 Q So you provided him at least two
14 drafts that you've identified?

15 A I think it was only one.

16 Q Well, you said the first draft and
17 then there was a later draft.

18 A No, no, no, that was the same. You
19 over ask me, I don't know.

20 Q Take a moment to think because I
21 understood you to tell me -- let me ask the
22 question here so we're clear on this. That you
23 prepared a first draft and you shared that with
24 Dr. Howell?

25 A No, that's not true. The first

1 Ludwig E. Feinendegen, M.D. 31

2 draft never. It was one of the advanced drafts
3 which I wanted to check for accuracy and clarity
4 in some of its factual contents related to the
5 work that Dr. Howell did in the laboratory.

6 Q Did he communicate to you in writing
7 in regards to your draft?

8 A Yes, by e-mail.

9 Q Is it correct he offered some
10 suggestions for change?

11 A Not substantial. No substantial
12 changes. No factual changes.

13 Q Regardless of whether they were
14 substantial or factual, did he suggest some change
15 or changes in your report?

16 A If this is a very general question,
17 the answer is yes.

18 Q Did you maintain a copy of Dr.
19 Howell's e-mail to you?

20 A No.

21 Q Do you recall whether in the course
22 of that communication you responded to his e-mail?

23 A I said yes or no.

24 Q Did you respond in writing back by a
25 reply e-mail?

1 Ludwig E. Feinendegen, M.D. 32

2 A Yes, I did. These were short
3 remarks regarding grammar, sentence structure, not
4 factual things.

5 Q Did you maintain copies of those
6 documents?

7 A No.

8 Q So, I understood you to say that one
9 of the drafts was discussed or shared with
10 Dr. Howell. Were any of these drafts supplied to
11 Mr. Leonard or Mr. Flynn prior to the time when
12 your final report issued?

13 A No.

14 Q Was any of this shared with
15 Dr. Bishayee, to your knowledge?

16 A No.

17 MR. PINCUS: I'll do a follow-up on
18 that one too, John, okay.

19 Q I want to turn your attention to
20 your report now. I'm going to call it -- if you'd
21 like, I'll give you the copy that we marked. I
22 see that you have some writing on the copy that's
23 in front of you.

24 A Yeah, that's my report which I still
25 work on.

1 Ludwig E. Feinendegen, M.D. 33

2 Q You're still working on your report.

3 Are you amending your report?

4 A I'm preparing for this deposition.

5 Q I want to turn your attention to
6 that portion of your report in which you discuss
7 the thymidine pool?

8 A Yes.

9 Q Initially, am I correct that
10 thymidine as it related to these experiments is
11 recognized as a building block of DNA?

12 A Right.

13 Q When you refer to the thymidine
14 pool, am I correct that you're referring to the
15 fact that each cell has pools from which the cell
16 is essentially siphoning out bricks to build a
17 wall, in this case DNA?

18 A A pool contains the precursors for
19 DNA.

20 Q I'd like to see if it would be fair
21 for me to analogize this thymidine pool so I can
22 understand in layperson's terms to a swimming pool
23 for the moment.

24 Let's assume we have an olympic size
25 swimming pool in which we throw a bucket of blue

1 Ludwig E. Feinendegen, M.D. 34

2 dye and in doing so it's determined that the dye
3 has no effect on the color of the water in the
4 pool, you with me so far?

5 A No.

6 Q Let's assume we have an olympic size
7 pool in which we throw a bucket of blue dye and we
8 determine that in throwing that dye into the pool
9 it has no noticeable effect on the color of the
10 water in the pool, that's the assumption that I'm
11 asking you to have, okay.

12 Now, let's further assume that if I
13 throw that same bucket of dye into a children's
14 waiting pool, we determine that it does have an
15 effect on the color of the water, you with me so
16 far?

17 A Yeah.

18 Q Would you agree with me that in this
19 analogy the size of the pool needs to be known in
20 order to determine the effect that the dye that
21 was thrown into the pool had on the color of the
22 water?

23 MR. LEONARD: Objection to form.

24 You can answer.

25 A Yes.

1 Ludwig E. Feinendegen, M.D. 35

2 Q You answered yes?

3 A Yes.

4 Q So, if I apply this analogy to your
5 report and specifically, I believe, it's reason
6 one on page two of your report.

7 A Yeah.

8 Q I just want to be certain that I
9 understand what you're asserting is that the
10 amount of tritiated thymidine that Dr. Bishayee
11 labeled the V79 cells with was so insignificant
12 that it wouldn't affect the cell cycle that Dr.
13 Robbins referred to in his report?

14 A May I --

15 Q Am I correct?

16 A No, I don't understand the question.
17 Did you say the amount of thymidine or the amount
18 of activity, what did you say?

19 Q Let me repeat the question, that's
20 fine. I'm glad you asked me to do so. Is it fair
21 to say that in reason one, page two of your
22 report, you're asserting that the amount of
23 tritiated thymidine that Dr. Bishayee labeled the
24 V79 cells with was so insignificant that it
25 wouldn't affect the cell cycle that Dr. Robbins

1 Ludwig E. Feinendegen, M.D. 36

2 referred to in his report?

3 A The question does not apply because
4 it is two questions. You said tritiated the
5 thymidine, either you say thymidine or you say the
6 tritium on the thymidine. Thymidine carries
7 tritium, thymidine is a little fish and the
8 tritium is a red dot on that fish.

9 Let's go to the swimming pool and
10 you put into that swimming pool now fish that is
11 molecules and if you ask the question, as you did,
12 I have to know what you mean by tritiated
13 thymidine because it contains two quantities, one
14 is the amount of the molecule and the other is the
15 amount of the activity, they are two different
16 things, and if you ask me the question the amount
17 of thymidine, I can answer you.

18 Q That's what I asked you.

19 A If you ask the question the amount
20 of tritium, I can answer you, but if you say the
21 amount of tritiated thymidine, I cannot answer
22 you.

23 Q First answer it then -- let's answer
24 it both ways, so you understand that. So if the
25 question was the amount of thymidine, what would

1 Ludwig E. Feinendegen, M.D. 37

2 be your answer?

3 A Yes.

4 Q Then your answer would be yes, it
5 was so insignificant?

6 A Please repeat the question.

7 Q The question would be: Are you
8 asserting that the amount of thymidine that
9 Dr. Bishayee labeled the V79 cells with was so
10 insignificant that it wouldn't effect the cell
11 cycle as Dr. Robbins referred to in his report?

12 A That's correct.

13 Q Then if the question were: Are you
14 asserting that the amount of tritium that was --

15 Dr. Bishayee labeled the V79 cells with was so
16 insignificant that it wouldn't effect the cell
17 cycle?

18 A That's no.

19 Q Can you explain to me why it's yes
20 in the first instance then no in the second?

21 A Yes. The tritium is a radionuclide
22 that emits beta particles or electrons at a
23 certain rate, in order to have an effect these
24 decays of the radionuclide must accumulate over
25 time, so that's number one.

1 Ludwig E. Feinendegen, M.D. 38

2 And then the tritium gets into the
3 cell when the cell is synthesizing DNA, then it is
4 fixed, and then that labeled DNA is carried on and
5 as time goes on the tritium hits the cell and
6 there's a certain number of decays accumulate,
7 there is an effect, you see.

8 Here we ask the question the
9 instantaneous blocking of the cell in the cycle by
10 the amount of thymidine on one hand, that is an
11 instantaneous affair and the amount of tritium
12 that is a time-delayed affair, these are two
13 different things. You know, you make --

14 Q I understood. Are you saying -- in
15 other words, you're arguing that the thymidine
16 pool in V79 cells is large and the amount of
17 thymidine that was in the tritiated thymidine
18 relatively small so that it wouldn't perturb the
19 pool?

20 A That's correct.

21 Q As I understood your report, again
22 acknowledging in layperson's terms, this is
23 something that you referred to as a, quote, "true"
24 tracer," unquote, correct?

25 A Yes.

1 Ludwig E. Feinendegen, M.D. 39

2 Q Define for me, so I'm clear, what
3 you meant by true tracer, please?

4 A A true tracer is an indicator that
5 enters a complex network of metabolic reactions
6 without changing these reactions at the moment the
7 tracer is added to the system, and I say that as
8 follows: Metabolic networks in cells are very
9 sensitively reacting to relative changes of
10 quantities, like in a balance.

11 So, for example, in this case here
12 we're talking about, the DNA accepts thymidine and
13 the other building blocks at fixed ratios, let's
14 say two to one or one to one, let's call it one to
15 one for simplicity, so if the cell senses that one
16 of the amounts of tracers supposed to go into the
17 DNA increases, says stop, to the providing
18 supplier, I have enough, then he stops and then
19 you have the problem.

20 This kind of adjustment of the
21 metabolic reaction network to changes depends on
22 the quantities of the building blocks that are
23 there. Let me put it this way, let's go from a
24 swimming to a pool to a hotel lobby. You have a
25 hundred people in that hotel lobby and you have

1 Ludwig E. Feinendegen, M.D. 40

2 two revolving doors and inside the chef waiting
3 for the guests accepts two from each door at one
4 time, there are a hundred people waiting, so if
5 you add one flagged participant into one door
6 there will be no relative change big enough for
7 the system to notice that there is an addition,
8 one percent or so, just to make the case.

9 So tracer means having a single
10 given entity to a given component pool without
11 changing the relevant quantities of that pool in
12 regulating metabolic reactions.

13 Q Thank you. Am I correct that you
14 didn't cite the size of the pool anywhere in your
15 report?

16 A Yes, I did.

17 Q Can you show me where?

18 A I elaborated on that saying that
19 particularly -- I show you. Let me just give you
20 the -- particularly --

21 Q Tell me what page, please?

22 A On page nine. May I add that I have
23 written in my book close to 17 pages on that
24 topic.

25 Q I have your book but right now I'm

1 Ludwig E. Feinendegen, M.D. 41

2 concerned with your report, Doctor. My question
3 to you is that, am I correct that you don't cite
4 the size of the pool anywhere in your report?

5 A Yes, I do, because on page nine --

6 Q Can you identify where that is?

7 A Figure one on page nine belonging to
8 Fujikawa's work, on the left-hand side, you see
9 these lines and there is an incubation time at the
10 abscissa and then you can see. Here what we see
11 is the effect of the pool giving an indirect
12 evidence of the size of the pool.

13 Q Indirect evidence?

14 A Yes. Because the pool effect is
15 expressed here by the cell number, that cell that
16 develop, in other words, the pool is given here in
17 this various lines from up-down thymidine
18 concentration in micromole, from 10 to 5,100,
19 zero, 500 and 1,000. You see that?

20 Q Are you telling me that, number one,
21 so this figure one comes from that
22 Fujikawa-Yamamoto reference?

23 A Yes, from the V79 cell.

24 Q You've told me the source and you've
25 also now cited it to me, you know, that this

1 Ludwig E. Feinendegen, M.D. 42

2 figure one is in micromole. Can you tell me the
3 size of the pool in molar?

4 A Yes. A micromole is a thousandth of
5 a mole, a micrometer is a thousandth of a meter --
6 millions of a meter, a millimeter, and then
7 millimole, micromole, it's a millionth of a mole.
8 The molecular rate of thymidine is something like
9 242, so if you take 242 grams of thymidine per
10 liter, then you have one molar of solution and the
11 micromole is a millionth of that, so one micromole
12 is then 242 microgram per liter, that's very
13 small, sir.

14 Q Can you cite it to me in molar?

15 A Which I just did.

16 Q 10 to the --

17 A I just did that.

18 Q What would it be in that
19 nomenclature that I've I just described to you?

20 A A millionth of the mole, one
21 micromole is a millionth of a mole.

22 Q That's what you say the size of the
23 pool was, a millionth of a mole?

24 A No. It is here written in
25 micromoles. If you read there are quite a few

1 Ludwig E. Feinendegen, M.D. 43
2 different pool sizes used, actually it is
3 indicated, it is indirect evidence because this is
4 the material that has been, as you can see,
5 adding, seeing the cells were exposed to thymidine
6 to a final concentration and the cells were
7 exposed to these numbers of thymidine molecules,
8 and if the pool is saturated, there will be a
9 change in metabolic reactions and the cells would
10 suffer.

11 Now, you can see that it's a very
12 important figure, in my opinion, that between zero
13 and 100 micromole there is no effect of that added
14 thymidine to the cell on cell function here
15 expressed in the capability of the cells to
16 survive -- to multiply and form other daughter
17 cells.

18 But if you increase this amount of
19 thymidine in the serum to 500 micromoles or even
20 to 1,000 micromoles you see that then the cells
21 get hurt because there is a disequilibrium
22 establishing a metabolic reaction chain and so the
23 cells don't divide anymore so well as they did
24 before.

25 What I want to point out is that the

1 Ludwig E. Feinendegen, M.D. 44

2 the cells having been used by Fujikawa, these
3 cells here which have been used, there is no
4 effect on cellular metabolism, there's a consequence
5 of disturbing cellular kinetics or cell division
6 up to 100 micromole.

7 Q Okay, thank you.

8 A If you stay then in the amount of
9 thymidine added to the medium below that level
10 where the system is being disturbed, then you talk
11 about a tracer condition.

12 Q Doctor, are you familiar with a
13 research paper written by individuals named J.E.
14 Cleaver and R.M. Holford entitled The
15 "Investigations into the Incorporation of
16 Tritiated Thymidine into DNA in L-Strain Cells and
17 the Formation of a Pool of Phosphorylated
18 Derivatives During Pulse Labeling"?

19 A Yeah, I think -- I didn't cite that
20 paper.

21 Q In fact, a few moments ago you
22 got --

23 A I know Jim Cleaver very well and I
24 know the paper.

25 Q In fact, a few moments ago when you

1 Ludwig E. Feinendegen, M.D. 45

2 guided me to the top book on the stack of books
3 that you brought with you here today, you called
4 my attention to your book entitled "Tritium
5 Labeled Molecules And Biology in Medicine."

6 A Yeah.

7 Q It's a fact that you cited this
8 particular paper in your book?

9 A Yes, okay.

10 MR. PINCUS: Let's just mark this.

11 This will be Fein 5.

12 (Whereupon, Article by J.E. Cleaver
13 and R.M. Holford was marked as Fein's
14 Exhibit 5 for identification, as of this
15 date.)

16 Q So I'm going to show you -- you have
17 your own copy there?

18 A Yes. I brought it, yeah.

19 Q So you do acknowledge that, in fact,
20 you've cited this book in the text that I just
21 identified?

22 A Yes.

23 Q Is there a basis on which you did
24 not cite this article in your report?

25 A No, there is not --

1 Ludwig E. Feinendegen, M.D. 46

2 MR. LEONARD: Excuse me, Shelly, did
3 we get a copy?

4 MR. PINCUS: This is the market
5 exhibit. Why don't you, Dr. Howell, do us
6 the favor of taking back the one they gave
7 you. I'll give him the one marked as the
8 exhibit.

9 A What's the question?

10 Q So my question was: Is there a
11 basis on which you did not cite this article in
12 your report regarding this issue?

13 A My answer is I didn't need it.

14 Q Are you aware that the paper shows
15 that 10 to the minus 9 mole or thymidine added to
16 the L-cells causes detectable dilution of
17 thymidine, 2.5 to the 10 to the minus 6 molar used
18 for the five minute labeling, look at page 65?

19 A Okay.

20 Q On their --

21 A 565.

22 Q 665, Doctor.

23 A Yeah.

24 Q So you're clear.

25 A I know what you mean.

1 Ludwig E. Feinendegen, M.D. 47

2 Q I'm calling your attention to where
3 it says figure eight and then there is a section
4 where it says that 10 to the minus 9 molar
5 thymidine added to the L-cells caused detectable
6 dilution of the tritiated tritium for use for five
7 minute labeling?

8 A Yeah.

9 Q If I understand, that's to say that
10 a $1/1000$ th full amount of nonradioactive thymidine
11 was sufficient to perturb the pool in these cells,
12 correct?

13 A Yes.

14 MR. PINCUS: Let's mark this as Fein
15 6, please.

16 (Whereupon, Article by
17 Fujikawa-Yamamoto and Odashima was marked as
18 Fein's Exhibit 6 for identification, as of
19 this date.)

20 Q Dr. Feinendegen, are you ready, sir?

21 A Yes.

22 Q A few moments ago we mentioned the
23 Fujikawa-Yamamoto paper.

24 A Yeah.

25 Q I'm happy to say that at this point

1 Ludwig E. Feinendegen, M.D. 48

2 I can pronounce that name accurately in respect
3 for this gentleman. But I'm going to show you
4 what we've marked as Exhibit Fein 6 and that is a
5 copy of that paper to which you made reference; is
6 that correct?

7 A Yes.

8 Q I just want to be clear, am I
9 correct -- and you cite this paper, I believe, on
10 page nine of your report?

11 A Yes.

12 Q We were just looking at that, right?

13 A Yes.

14 Q Then when you were describing figure
15 one a few moments ago you indicated to me that
16 that graph comes from the body of the paper that
17 we've just marked as Fein 6, correct?

18 A It's figure one in the paper, yes.

19 Q Am I correct that this paper deals
20 with thymidine and not tritiated thymidine?

21 A Yes.

22 Q Are you aware of any other papers
23 that show that low concentrations of tritiated
24 thymidine perturb the cell cycle?

25 A Yes, and I cited this Beck's paper,

1 Ludwig E. Feinendegen, M.D. 49

2 for example.

3 Q Which paper?

4 A Beck.

5 Q The Beck paper you say?

6 A Beck's, yeah.

7 Q Talking about reference 20 or 19,

8 which one is it? What are you referring to,

9 Doctor?

10 A Beck's paper reference 19 and 20.

11 Q What is it that you're referring to,

12 Doctor?

13 A I made a table, that is my own

14 stuff.

15 Q I understand it's your own stuff,

16 but if you're going to be relying upon it --

17 MR. LEONARD: It's a table of

18 contents for the book, that's all it is. He

19 knows where things are.

20 A I don't need that. I know my

21 report. It makes things easier.

22 Q Let me call your attention to page

23 21 of your report.

24 A Yes.

25 Q I see that if you look at reference

1 Ludwig E. Feinendegen, M.D. 50

2 19 and 20 I see there are two papers by Beck. Are
3 you referring to one or both of them?

4 A Yeah, let me just find out because
5 my memory not bad but --

6 (Witness perusing document.)

7 A Yes, that is the reference 19.

8 Q 19, okay.

9 A Measures effect of tritium.

10 Q Do you know whether that paper deals
11 with the issue of cell survivals?

12 A Yes and no.

13 Q How yes, how no?

14 A Sounds all too simple but it isn't
15 that simple. It measures cell survival, yes, but
16 not directly, by implication, by calculation.

17 Q So the paper didn't deal directly
18 with the issue of cell survivals but you're
19 telling me by looking at the paper and the data
20 contained in there one can do calculations for the
21 purposes of measuring cell survivals?

22 A Yes.

23 Q But the paper itself did not deal
24 directly with the issue?

25 A Yes, it measured also cell survival

1 Ludwig E. Feinendegen, M.D. 51
2 in order to -- I'll make a comment, you make
3 things sound simple but they are not that simple.
4 There are certain things that are simple but not
5 that simple that measure cell survival, but it
6 also measures the effect of tritium on the
7 progression of the cells through the cycle after
8 enough tritium decays have accumulated, and he
9 measured that separately, and then he used that
10 data to calculate the survival of those cells
11 which have been affected by the tritium that has
12 accumulated during the cell cycle. So the answer
13 is a composite one.

14 Q I understand, thank you.

15 A I would like to come back to a
16 previous question. May I do that?

17 Q Well, no. If Mr. Leonard needs to
18 address that with you, right now let's move on to
19 another area.

20 A Okay, it will come up later.

21 MR. PINCUS: Mark this as Fein 7.

22 (Whereupon, Article by Ehmman was
23 marked as Fein's Exhibit 7 for
24 identification, as of this date.)

25 Q Are you familiar, Dr. Feinendegen,

1 Ludwig E. Feinendegen, M.D. 52
2 with a paper by Ehmann, et al, I believe his first
3 initials are U.K. entitled "Perturbations in cell
4 cycle progression from radioactive DNA
5 precursors"?

6 A I don't cite it and I have to look
7 at it. I have many of such information, I have to
8 look to find out whether I know that paper or not.

9 Q My question is simply -- I'm going
10 to show you a copy. My question is simply: Are
11 you familiar with that paper?

12 A Oh, yes.

13 Q You are, okay.

14 A This effects of that paper. There
15 are many of such papers.

16 Q But you did not cite this in your
17 paper, correct?

18 A No.

19 Q I'll take that back.

20 MR. PINCUS: This will be Fein 8.

21 (Whereupon, Article by Alan Pollack
22 was marked as Fein's Exhibit 8 for
23 identification, as of this date.)

24 Q Are you familiar with a paper by an
25 Alan Pollack, et al, entitled "Radiation from

1 Ludwig E. Feinendegen, M.D. 53

2 tritiated thymidine perturbs the cell cycle
3 progression of stimulated lymphocytes"?

4 A Yes.

5 Q You are.

6 A Yeah.

7 Q Just to make sure, I show you what I
8 marked as Fein 8.

9 A There are enumerable reports all
10 saying, and that is not disputed, that
11 incorporated tritium may change the rate by which
12 cells move through the cycle. There is no dispute
13 on that. Tritiated thymidine once incorporated
14 into the cell will lead to irradiating the cells
15 at a low-dose rate, a high-dose rate, depending on
16 how much tritium is incorporated, and as the
17 tritium atoms decay they irradiate the cells and
18 that irradiation then changes the rate of
19 progression of cells.

20 There are enumerable papers and I
21 know them and it is very well-known and that is
22 not of any importance to our issue.

23 Q So, are you saying -- you know, if I
24 ask -- let me ask the question.

25 A Sure.

1 Ludwig E. Feinendegen, M.D. 54

2 Q So if my question becomes, is there
3 a reason why you did not address this Pollack
4 paper in your report, you're saying it's
5 irrelevant?

6 A No. In fact, this is a very well
7 known fact. In fact, the Burki here at the
8 laboratory wants to study the effect of radiation
9 on cell survival disturbing cell kinetics, this is
10 not disputed at all.

11 Q Why did you not address --

12 A Because I cannot include a hundred
13 different papers all showing the same thing, I
14 have done it the same myself, many other papers,
15 but there's a limit to citing papers.

16 Q Is your response the same in regards
17 to the Ehmann paper?

18 A Yes.

19 Q Fine.

20 A That's not an issue.

21 MR. PINCUS: This will be 9, please.

22 (Whereupon, Article by C.A. Hoy, et
23 al was marked as Fein's Exhibit 9 for
24 identification, as of this date.)

25 Q Are you familiar with a paper by

1 Ludwig E. Feinendegen, M.D. 55
2 C.A. Hoy, et al, entitled "Perturbation of DNA
3 replication and cell cycle progression by commonly
4 used tritiated thymidine."
5 A This is well-known.
6 Q Let me ask the question.
7 A Yes.
8 Q You're familiar with the paper, is
9 that so?
10 A There are many. I said, you can go
11 on and on and on, there are many of these papers
12 and there is no question.
13 Q Based on your familiarity --
14 A Yes, I'm very familiar with this
15 paper.
16 Q -- is there a reason you did not
17 address this paper in your report?
18 A Yes.
19 Q What was that reason?
20 A The reason is the number of papers
21 and to be cited there's a limit.
22 Q You don't feel that any of these
23 three papers differ insofar as the opinion that
24 you've been asserting?
25 A What you say?

1 Ludwig E. Feinendegen, M.D. 56

2 Q You don't feel that any -- based on
3 your knowledge, your expressed knowledge and
4 familiarity with these papers, you do not believe
5 that these papers distinguish and/or refute the
6 opinion that you've been rendering in regard to
7 the thymidine pool?

8 A That's correct.

9 Q That what I want to know.

10 MR. LEONARD: Let's go back. Which
11 papers are we talking about, all of them?

12 MR. PINCUS: The last three.

13 MR. LEONARD: Could you just
14 identify them.

15 MR. PINCUS: I was referring to the
16 Ehmann paper, the Pollack paper and the Hoy
17 paper.

18 A A few of many, many such papers all
19 showing and nobody disputes that at all and Dr.
20 Robbins is right in stating that that incorporated
21 tritium harms the cell and changes the cell cycle,
22 that is not disputed.

23 MR. PINCUS: Mark this as 10,
24 please.

25 (Whereupon, Article by Valerie Hu

1 Ludwig E. Feinendegen, M.D. 57

2 was marked as Fein's Exhibit 10 for
3 identification, as of this date.)

4 Q Are you familiar with a paper by
5 Valerie Hu entitled "Tritiated thymidine is a
6 defective tool with which to measure rates of DNA
7 synthesis?"

8 A Let me have a look at that.

9 (Witness perusing document.)

10 No, I'm not.

11 Q You're not familiar with this paper?

12 A I didn't -- I may have come across
13 it but it's not relevant to our case.

14 Q If you're not familiar with it, how
15 can you say it's relevant or not? Let's first
16 establish, are you familiar with it?

17 MR. LEONARD: Let him answer that
18 question. What's the basis for you're
19 saying it's not relevant?

20 A It does not address this issue of
21 Dr. Robbins' report there is -- I am familiar with
22 the data and that is a big argument can be forward
23 and back, that's one of the papers, I do not
24 remember this paper having seen in the preparation
25 of this report, but I didn't look for it because

1 Ludwig E. Feinendegen, M.D. 58

2 it is not relevant to my report.

3 Q I know you said that but I'm still
4 not clear whether you've actually read that paper
5 or not?

6 A I'm familiar with the topic.

7 Q You're familiar with the topic but
8 have you read the paper itself?

9 A I do not recall that I have read the
10 paper. I read the literature very carefully but
11 there is so much of that going on, I cannot answer
12 that question properly.

13 Q Okay. Because if you hadn't read
14 the paper that was what led me to question how you
15 can indicate whether it's relevant or not, but
16 you've answered the question, you don't have to
17 say it again. I just wanted to know whether, in
18 fact, you read the paper, that's fine.

19 A I'm familiar with the topic and the
20 summary, yes.

21 Q At page 3 of your report, you state
22 about in the second paragraph that you'll discuss
23 on pages 4 and 13 the analysis of the pool in the
24 V79 cells?

25 A Yeah.

1 Ludwig E. Feinendegen, M.D. 59

2 Q Okay.

3 A Where are we, on page 2?

4 Q Page 3, second paragraph, Doctor.

5 A Yes. The pool has been analyzed,
6 yeah.

7 Q Can you tell me where on page 4 that
8 discussion takes place?

9 A I made a reference in the third
10 paragraph, "As stated above, since high specific
11 activity" --

12 Q In other words, you're saying it's
13 the third paragraph on page 4?

14 A Under Robbins reason 3. Then 13 --

15 Q I just want to be clear before we go
16 to page 13. You're saying that your discussion is
17 the third -- the second full paragraph on page 4
18 beginning with the words, "Again, as stated
19 above"?

20 A Your question is not quite clear.

21 Q Then let me rephrase it?

22 A You said full paragraph, I refer to
23 it in the paragraph. I did not divulge the entire
24 paragraph to that question.

25 Q That's what I wanted to know. Tell

1 Ludwig E. Feinendegen, M.D. 60

2 me the exact words that within that paragraph that
3 constitute your discussion of the analysis?

4 A "Since high specific activity
5 thymidine provides for true tracer conditions of
6 the experiment and no perturbation of the
7 nucleotide pool of the cells, there is no need for
8 cell synchronization. In fact, such
9 synchronization always somehow interferes with
10 normal cellular kinetics and would at least
11 temporarily disturb cellular metabolism."

12 Q Would you do the same thing in
13 reference to page 13, please?

14 A Yes.

15 Q First tell me where you're reading
16 from and then I'll ask you to read the actual
17 language.

18 A Reason 2, we come back to Reason 2.
19 "It's contradicted first on theoretical grounds
20 because Bishayee et al used high specific activity
21 that delivers such small amount of thymidine
22 molecules that the nucleotide pool is not
23 perturbed. Also, there is experimental evidence
24 contradicting reason 2 from the other
25 laboratories, as well as from earlier published

1 Ludwig E. Feinendegen, M.D. 61
2 work and unpublished work by Harapanhalli from the
3 Howell laboratory. Deoxycytidine is only required
4 when the thymidine concentration in the culture
5 medium is sufficiently high for perturbing the
6 nucleotide pool." These are the key.

7 Q Am I correct that you never state
8 anywhere in your report what the magnitude of the
9 thymidine nucleotide pool in V79 cells was?

10 A No, you're not correct.

11 Q Can you tell me where you do that,
12 please?

13 A We just discussed it, figure one.

14 Q Figure one from the Fuji --

15 A Number one, and then there's a whole
16 -- wait a minute, I come back to that. I lead you
17 to page 8, under C, "Relatively large amounts of
18 thymidine molecules," then comes the paragraph
19 that begins the statement of Dr. Robbins, cites
20 several articles. This entire article addresses
21 the size of the pools from large papers on
22 L-cells, lymphocytes, and on V79 cells and it also
23 clarifies very importantly that these various cell
24 systems have different sizes of pools differently
25 sensitive to disturbance by the addition of

1 Ludwig E. Feinendegen, M.D. 62

2 thymidine amount from the external medium into the
3 cell.

4 Q Can you state the concentration in
5 molar for me, please?

6 A Yeah, and since I knew that question
7 was coming so I make myself here that table. So I
8 give you now the whole thymidine. Reference 7,
9 Fox works with lymphocytes which have a very small
10 thymidine pool.

11 Q Can you state the concentration in
12 molar for me?

13 A Yes, 10 micromole. Already, 10
14 micromole inhibit growth by 50 percent and
15 Mortenson working with HL-cells, 50 micromole
16 thymidine blocks 90 percent of the cells in
17 S-phase.

18 Galavazi, reference 9, T cells, 7.5
19 micromole thymidine block cells. Galavazi, T
20 cells, 2 to 7.5, micromole thymidine block cells.
21 Sinclair using V79 cells, the same that Howell
22 actually used, 7.5 micromole, block progression of
23 the cells in the cycle. Hagan, using V79 cells,
24 again use 7.5 micromole thymidine to block the
25 cells.

1 Ludwig E. Feinendegen, M.D. 63

2 Tobey, using Chinese hamster ovary
3 cells, use 5 millimole to thymidine to block the
4 cells. Morris, using L cells, now these are the L
5 cells you referred to a little while ago, they
6 have a very small pool, and Jim Cleaver works with
7 these cells, and here again, as Jim Cleaver found,
8 up to 10 micromole of thymidine was -- no, when
9 the effect was above 10 micromole, that was a
10 clear effect, you cited -- Jim Cleaver's paper
11 that was just borderline significant with 1
12 micromole.

13 Wheather also using lymphocytes,
14 they blocked the cells with 1.2 minimole of
15 thymidine which we discussed already. Burki,
16 that's my own paper, that was done in my lab, we
17 used 10 micromole thymidine and in that paper 99
18 percent of the cells were then killed. And Panter
19 used the same 10 micromole thymidine.

20 Why did I do this, because the lab
21 of Howell uses .1 micromole of thymidine, I think
22 that's correct, and I tried to summarize this very
23 -- this kind of report, very important collection
24 of information on page 8 to make clear that,
25 first, different cell types have different pool

1 Ludwig E. Feinendegen, M.D. 64
2 sizes, so different amounts of thymidine are
3 needed to disturb the system. If you stay well
4 below that amount that disturbs the system, there
5 will be no system disturbance and you can get
6 thymidine incorporated very well.

7 One more thing, these thymidine
8 added molecules added to the cells immediately act
9 on the spot, on the system, it's just like
10 disturbing a network of interacting people by an
11 unpleasant shout, everybody stops and wonders,
12 that is an immediate action, whereas the tritium
13 effect that we do not all discuss before, that
14 doesn't have any -- no relevance here because
15 everybody knows that incorporated thymidine, once
16 it is in the cell, and then the activity
17 accumulates, there is disturbance of the cell
18 cycle, whereas the thymidine added to the cell
19 will immediately disturb the system and will
20 inhibit the tritium thymidine from becoming
21 incorporated.

22 Now, the cell goes from one cell
23 division to the next through resting phase
24 building up all kinds of compounds and then comes
25 the enzymes, then comes the so-called DNA

1 Ludwig E. Feinendegen, M.D. 65
2 synthesis phase during which DNA is fabricated and
3 takes the available precursors from the soluble
4 pool in very precise ratios into the DNA, and then
5 after six to ten hours, depending on cell type,
6 they enter a second phrase preparing for cell
7 division, that phase is called G2 phase.

8 Q We're going to talk about that. Can
9 I see the document that you just marked.

10 MR. LEONARD: Let him finish his
11 answer.

12 MR. PINCUS: I think he was going
13 beyond the question that I had. We're going
14 to talk about G2.

15 A I wanted to make one point, namely,
16 it appears to me that, and that was the first
17 thing, so I was very sorry that I saw that, but it
18 happened and it's not a pleasure for me to
19 criticize a colleague, but this issue of immediate
20 disturbance of cellular metabolism by thymidine
21 added to the medium and to the cells is quite
22 different from the fact of disturbing the pool by
23 the incorporated tritium, nobody argues that.
24 That is all accepted and there's a huge literature
25 on that and I could not cite all that.

1 Ludwig E. Feinendegen, M.D. 66

2 What is important is, what I just
3 tried to explain that various cell types have
4 different pool sizes, so for each different cell
5 type one has to be very careful in deciding what
6 amount of thymidine one should use and the higher
7 the specific activity is the lower is the chance
8 to disturb that pool because for given amount of
9 tritium the amount of thymidine is extremely
10 small.

11 Q So I'm clear, can you tell me not in
12 micromoles, just in molar do the calculation, the
13 conversion for me, please, because I'll be the
14 first to admit, I'm not a scientist.

15 Can you tell me what magnitude of
16 the thymidine nucleated type pool in V79 cells is
17 in molar?

18 A You have to multiply the data that
19 are listed here on page 8 by one million.

20 Q Fine. Can you do that for me?

21 A Yeah.

22 Q Then give me the answer?

23 A Yeah, of course. 7.5 millimole,
24 then you have to multiply it by a thousand to have
25 it in mole. So this 7.5 mole, micromole means a

1 Ludwig E. Feinendegen, M.D. 67
2 millionth of a mole, millimole means a thousandth
3 of a mole, like milligram, microgram, so you just
4 multiply that figure with a thousand if you want
5 to convert from the milli to the full mole and you
6 multiply it by a million if you want to express
7 the data instead of in micromole, in mole, so it's
8 a very simple trick.

9 Q So give me the number?

10 A They are written here, they are all
11 there on page 8.

12 Q Okay.

13 MR. LEONARD: Can we take a break?

14 MR. PINCUS: That would be good.

15 I'm going to shift gears. Let's take a few
16 minutes.

17 (Whereupon, a short recess was
18 taken.)

19 (Whereupon, Feinendegen chart was
20 marked as Fein's Exhibit 11 for
21 identification, as of this date.)

22 MR. LEONARD: I have just been
23 handed what's been marked in this deposition
24 as Fein 11 and for the record this is
25 self-created by the deponent for purposes of

1 Ludwig E. Feinendegen, M.D. 68
2 helping him find references throughout the
3 various notebooks, no one is attesting to
4 the accuracy of any information in here.
5 Again, it's just really a makeshift table of
6 contents for him.

7 MR. PINCUS: Thank you.

8 Q Let's go back on the record. Dr.
9 Feinendegen, I want to turn your attention to the
10 issue in reason 2 of your report relating to
11 deoxycytidine?

12 A Yes.

13 Q As I understood on page 4 of your
14 report, you state that no deoxycytidine needs to
15 be present because of the tracer condition that
16 prevailed in Howell's experiments, correct?

17 A Yes.

18 Q In support of that position you
19 cited an article of which you co-authored by
20 Burki, correct?

21 A Yes.

22 (Whereupon, Article by Burki was
23 marked as Fein's Exhibit 12 for
24 identification, as of this date.)

25 Q That was entitled "Inactivation of

1 Ludwig E. Feinendegen, M.D. 69

2 Mammalian Cells After Disintegration of Tritiated
3 Thymidine. Okay?

4 A Yeah.

5 Q Just so we're clear, that's what
6 I've marked as Exhibit Fein 12 for identification.

7 That's the article that you cited,
8 right?

9 A Yes.

10 Q Do you know for a fact that
11 deoxycytidine was not present in this medium?

12 A Yes.

13 Q Can you tell me very briefly what
14 your role in this study was?

15 A I was the principal investigator, I
16 initiated that work, I invented the Auger effect
17 that we were testing -- not I invented, I
18 introduced the Auger effect in biology and this
19 was done in order to compare the effect of the
20 deoxycytidine and tritium and here we use again
21 specific activity and we did not use
22 deoxycytidine.

23 Q Page 364, under Section 2, over
24 here, about halfway, do you see where I'm
25 pointing, 364 I believe would be on the first

1 Ludwig E. Feinendegen, M.D. 70

2 page, Doctor, this paragraph over here.

3 A Yes.

4 Q You see where my thumb is?

5 A Yeah.

6 Q You say that the medium for V79 was
7 similar to that used by Sinclair in 1964, correct?

8 A Yeah. Yeah, I know that paper.

9 Q Hold on a second. Now I'm going to
10 show you what I've marked -- do you know what
11 medium Sinclair used?

12 A Let me just find where the reference
13 is here. I have to search for that. Courtesy of
14 Sinclair, the cells have --

15 Q Do we a favor, read to yourself so
16 we don't have to copy it down.

17 A Yes, it says this medium is similar
18 to that used, it's not equal, similar to that
19 used, okay.

20 (Whereupon, Article by Sinclair was
21 marked as Fein's Exhibit 13 for
22 identification, as of this date.)

23 Q I'm showing you the Sinclair
24 article, I just want to make sure that it's that
25 article that your paper Fein 12 makes reference

1 Ludwig E. Feinendegen, M.D. 71

2 to, that would be the "X-ray Induced Heritable
3 Damage (Small-Colony Formation) in Cultured
4 Mammalian Cells" that is the article you're
5 referring to?

6 A Yes.

7 Q So that's the paper?

8 A Yeah.

9 Q Am I correct if you go to page 587
10 of the Sinclair article --

11 MR. LEONARD: Which exhibit number
12 is that?

13 MR. PINCUS: This would be Fein 13.

14 Q If you go to the bottom paragraph,
15 that makes reference to the medium as similar to
16 HUT-15 of Elkind and Sutton?

17 A Yeah.

18 Q Am I correct?

19 A Well, I have to see it. Yes, I see
20 it. Similar to the HUT, yeah, I saw that.

21 Q Do you know what the medium of
22 HUT-15 consists of?

23 A I have to look it up.

24 Q But sitting here right now you don't
25 know?

1 Ludwig E. Feinendegen, M.D. 72

2 A No.

3 (Whereupon, Article by Elkind and
4 Sutton was marked as Fein's Exhibit 14 for
5 identification, as of this date.)

6 Q I'm now going to show you Fein 14.

7 I want to turn your attention to page 5 -- first
8 of all, you recognize this document Elkind and
9 Sutton entitled "Radiation Response of Mammalian
10 Cells Grown in Culture" --

11 A Yes.

12 Q -- as the article that Sinclair is
13 referring to?

14 A Yes.

15 Q If you turn your attention to page
16 562 of that article.

17 A Yes.

18 Q If you go down again into the
19 section materials and methods.

20 A Yeah.

21 Q The paragraph beginning "our growth
22 medium."

23 A Yes.

24 Q You see where it refers to HU-15
25 consists of 4 percent Earle's NCTC-109?

1 Ludwig E. Feinendegen, M.D. 73

2 A Yes.

3 Q Are you aware that NCTC-109 is a
4 commercially available medium and contains 3.8
5 times 10 to the minus 5 mole of deoxycytidine?

6 A No.

7 Q You're not?

8 A I believe it.

9 Q You believe me?

10 A Yeah.

11 MR. PINCUS: Make this 15, I

12 believe.

13 (Whereupon, Document "Technical
14 Resources-Media Formulations was marked as
15 Fein's Exhibit 15 for identification, as of
16 this date.)

17 Q I'm going to show you what I've
18 marked as Fein 15 entitled "Technical
19 Resources-Media Formulations." If you go to page
20 3 of that document, do you see that it indicates
21 that the medium does, in fact, contain
22 Deoxycytidine?

23 A I'm still searching for that. Page
24 4 you said? Let me see. It may be.

25 Q It's the second entry on the top of

1 Ludwig E. Feinendegen, M.D. 74

2 the third page.

3 A Yes. This is all irrelevant.

4 Q I didn't you ask whether it's
5 irrelevant, but you do agree that NCTC-109 is a
6 commercially available medium that contains
7 deoxycytidine?

8 A Yes.

9 Q Is it still your contention that
10 deoxycytidine was not contained in either the
11 Sinclair or Elkind experiments?

12 A Let me answer, I refer to that what
13 is published in the Burki paper, and this
14 publication explicitly lists the components of the
15 medium and since deoxycytidine is a very important
16 component it would have been listed here if he
17 would have used it. Similar doesn't mean equal.

18 Q You say on page 9 of your report in
19 reference to the Burki experiment, you say that
20 "No deoxycytidine was added," correct?

21 A Yeah.

22 Q On page 9 of your report?

23 A Yeah.

24 Q But based upon these three papers
25 that I've cited to you, the Sinclair, the Elkind

1 Ludwig E. Feinendegen, M.D. 75

2 and Sutton article, the media formulation, it
3 appears that deoxycytidine was, in fact, present
4 in the medium, while it may not have been added it
5 was already present in the medium that you used?

6 A This is an -- that is a gesture of
7 you but if it would have been used I'm pretty sure
8 it would have been listed since it is important.
9 So I cannot answer more than stick to that what
10 has been published by our laboratory and not go
11 back two to three papers where we say it's
12 similar, it doesn't have to say that it's equal
13 to.

14 Q I understand your position. If I
15 understood your explanation here earlier this
16 morning in terms of what you actually reviewed for
17 purposes of preparing your report, am I correct
18 that you didn't actually review the 20 plus
19 experiments that are at issue in this case that
20 Dr. Howell, Dr. Bishayee and Dr. Lenarczyk
21 performed?

22 A I read these papers, of course.

23 Q These papers. Did you read each one
24 of the experiments that are at issue?

25 A What do you mean by that? Do you

1 Ludwig E. Feinendegen, M.D. 76
2 mean that I would go and get the laboratory
3 protocols?
4 Q Yes.
5 A No.
6 Q You did not do that, that's all I
7 want to know.
8 A I read the paper applications.
9 Q What do you mean you read the paper
10 applications?
11 A No, the publications.
12 Q Oh, you read the publications. But
13 the underlining experiments --
14 A No.
15 Q -- which were used or some of which
16 were used to prepare the publications that are at
17 issue in this proceeding, you did not read those?
18 A In preparing this report I got
19 access to that and I have seen some of that later,
20 not when -- in preparing for this report I have
21 seen that. I asked for some of it.
22 Q In preparing for this deposition you
23 asked for it?
24 A Yeah. In preparing for the
25 deposition, yes.

1 Ludwig E. Feinendegen, M.D. 77

2 Q But for purposes of preparing your
3 report you had not reviewed the underlying
4 experiments and the protocols as you described
5 them, correct?

6 A I relied on the accuracy of the
7 published papers.

8 Q Fine. I got to go back here for a
9 second, to this Burki paper.

10 MR. LEONARD: Is that 12. I don't
11 think we have a copy of that.

12 MR. PINCUS: My apologies, John.
13 That's what you're looking for, right?

14 Q Let me go back to 12 for a second.

15 A Yes.

16 Q If you go to figure 12 -- figure 2,
17 I'm sorry, on page 368. Figure 2, page 368.

18 A Yeah, okay.

19 Q I'm correct that this is the V79
20 this shows the V79 survival curve, correct?

21 A Yes.

22 Q You cite to this, I believe, on page
23 10 of your report?

24 A Yes.

25 Q Am I right?

1 Ludwig E. Feinendegen, M.D. 78

2 A Yes.

3 Q In fact, it's copied --

4 A Yes.

5 Q -- right in the body of your report?

6 A It's correct.

7 Q Am I correct that this survival

8 curve has what is known as a shoulder?

9 A Yes.

10 Q Do you know whether the survival

11 curves in the experiments that were performed in

12 the Howell laboratory by either Dr. Howell, Dr.

13 Lenarczyk or Dr. Bishayee had shoulders?

14 A They had no shoulders.

15 Q They had no shoulders?

16 A Yeah.

17 Q Okay. If one set of experiments had

18 shoulders and the others did not --

19 A Right.

20 Q -- would you agree that the kinetics

21 of killing in the two types of experiments are not

22 the same?

23 A Yes.

24 Q If I understand correctly in my

25 layperson terms, a shouldered survival curve means

1 Ludwig E. Feinendegen, M.D. 79

2 there may have been sublethal damage repair,
3 correct?

4 A Correct.

5 Q In fact, there were sublethal damage
6 repair in the experiments that you performed in
7 this Burki article but, to your knowledge, there
8 was none in the Howell experiments, correct?

9 A Yes.

10 Q How do you reconcile that fact?

11 A Because these various clones have
12 different characteristics and there is -- and I
13 refer to also in this report because this is a
14 very important question to -- let me see where it
15 is.

16 Q You're referring to your report now?

17 A Yes.

18 Q If you'd be kind enough when you
19 find it to identify the page.

20 A I will. It's on page 18.

21 Q I'm there.

22 A Reference 29 from Fujikawa. He made
23 an effort to see what was behind this strange
24 behavior of such cells, sometimes they have a
25 shoulder, sometimes they don't have a shoulder,

1 Ludwig E. Feinendegen, M.D. 80
2 and that figure, figure 1 of their report I put in
3 here to demonstrate the variability of these
4 responses of these cells to being irradiated, and
5 that expresses -- that's a science question, it
6 expresses a behavior of cells under certain
7 circumstances that can be documented, and he put
8 that on the table, said, listen, we have to
9 reconcile with. Why, I don't know. It may be
10 instability, it may be even changes in the culture
11 condition, sometimes small changes in the age or
12 something, a similarity, temperatures, all these
13 things very sensitively will influence cells into
14 a certain behavior.

15 So, this is a collection of the
16 Fujikawa paper that's reference 29 shows that
17 there are quite a few variations. It's a
18 scientific question, yeah.

19 Q You don't think that the differences
20 are like comparing apples to oranges?

21 A No, not at all because there are
22 many other papers, let's say, I have a figure here
23 in my report, let me see. That is the reference
24 18, Panter's paper in Don cells which is another
25 cell line where the shoulder is exponential

1 Ludwig E. Feinendegen, M.D. 81

2 without -- the survival curve is exponential
3 without a shoulder. Usually, it may happen, it's
4 a science question.

5 Q I'm going to discuss the Panter
6 article with you.

7 MR. LEONARD: Let him have his index
8 and we'll get a copy.

9 Q Let's leave this here in case you
10 need to use it. I didn't think you needed that
11 for purposes of answering this particular
12 question, but if you need it, feel free.

13 A Thank you.

14 Q You say there's lots of articles. I
15 want ask if you're aware of certain ones, okay.

16 A This is a scientific question.

17 Q There's no question pending.

18 MR. PINCUS: Mark Exhibit 16.

19 (Whereupon, Article by Bedford was
20 marked as Fein's Exhibit 16 for
21 identification, as of this date.)

22 Q I'm going to show you what's been
23 marked as Fein 16 for identification.

24 A Yes, I know that too.

25 Q Are you familiar with this paper?

1 Ludwig E. Feinendegen, M.D. 82

2 A Familiar, I know the paper.

3 Q Would you agree that this paper

4 shows exponential killing of V79 cells by

5 tritiated thymidine?

6 A Yes. V79, yes.

7 Q Do you know that the medium

8 contained deoxycytidine?

9 A I have to look it up. I believe

10 what you say. It must be added somewhere.

11 Q I think if you go to page 534 you'll

12 get your answer.

13 A 534. Where the deoxycytidine is

14 listed?

15 Q Yes.

16 A Let's see where it is.

17 Q I think the table.

18 A Yes. Here it is, yeah.

19 Q It did contain deoxycytidine?

20 A Yes.

21 Q So you're familiar with this paper

22 that there was exponential killing that contained

23 deoxycytidine?

24 A Yes.

25 Q Is there a reason why you did not

1 Ludwig E. Feinendegen, M.D. 83

2 comment on this paper in your report?

3 A There is no need for me to do that.

4 Q That's your answer, thank you. I'll

5 take that.

6 A Okay.

7 MR. PINCUS: Marin will be 17,

8 please.

9 (Whereupon, Article by Marin and

10 Bender was marked as Fein's Exhibit 17 for

11 identification, as of this date.)

12 Q Are you familiar with a paper by

13 Marin and Bender entitled "A comparison of

14 mammalian cell-killing by incorporated tritiated

15 thymidine and tritiated uridine"?

16 A Yes, I know that paper.

17 Q You're not only familiar with it, I

18 believe again going back to your book --

19 A I know that.

20 Q -- you cited it, right?

21 A Yes.

22 Q Do you know that in this paper which

23 I'll show you that it shows exponential killing of

24 Chinese hamsters cells by tritiated thymidine and

25 that the medium contained deoxycytidine?

1 Ludwig E. Feinendegen, M.D. 84

2 So that I can help you, look at page
3 236, I believe, in terms of the medium.

4 A I guess they edited because they
5 needed to. That's not a question.

6 Q That's my question.

7 A My answer because the question is
8 irrelevant. Where did you see that.

9 Q Fibroblast medium, do you understand
10 that to contain deoxycytidine?

11 A Where is it?

12 Q 236, the materials and methods,
13 under Section 2.1 cell-line.

14 A Yeah. It doesn't say that it
15 contains deoxycytidine. It may, it may not, I
16 don't know.

17 Q You don't know?

18 A It's not relevant.

19 Q My question is: Is there a reason
20 why you did not cite this paper in your report?

21 A Yes, there are many, many such
22 papers and I cannot cite a hundred papers, they
23 all say the same thing and this is not the issue
24 of my report.

25 Q I'll take that back.

1 Ludwig E. Feinendegen, M.D. 85

2 MR. PINCUS: That will be 18.

3 (Whereupon, Article by Chan was

4 marked as Fein's Exhibit 18 for

5 identification, as of this date.)

6 Q Are you familiar with a paper by
7 Chan, et al, entitled "Radiotoxicity of Iodine-125
8 in Mammalian Cells"?

9 A I initiated this study.

10 Q You're familiar with this one. I'm
11 going show you Fein --

12 A Yeah, of course. Yes.

13 Q Turn your attention to page 335 and
14 this paper shows that there was exponential
15 killing of V79 cells with tritiated thymidine with
16 the medium containing 4 percent NCTC-109, correct?

17 A Yes, I guess so. I don't see it.
18 Where is it exactly, I want to confirm what you
19 say.

20 Q The survivals were on 335, the
21 medium is on 333. I'll point with my finger to
22 assist you.

23 A Streptomycin, nonessential amino
24 acids, stock cultures were grown as monolayers.

25 Q Over here, Doctor, where I'm

1 Ludwig E. Feinendegen, M.D. 86

2 pointing in that paragraph, a little but further
3 up.

4 A The NCTC medium and penicillin,
5 yeah.

6 Q Earlier you agreed with me when I
7 showed you the media formulation or NCTC-109, that
8 it does, in fact, contain deoxycytidine?

9 A Yes.

10 Q The same question, why didn't you
11 cite this paper in your report?

12 A I answer again, it's not relevant to
13 the question and it's not relevant to my report.
14 My report says -- addresses a different issue.

15 Q That issue is what?

16 A That issue is very simply, do we
17 need the deoxycytidine at a high specific activity
18 that provides the cell with trace amount of
19 thymidine that is incapable of disturbing the
20 pool. There's a lot of data available and I have
21 cited that showing that the deoxycytidine is
22 needed if that pool is disturbed and that is in
23 this report and that is an issue.

24 Q I understand.

25 A If we do not need to add

1 Ludwig E. Feinendegen, M.D. 87

2 deoxycytidine, we don't have to do it.

3 Deoxycytidine is there to balance the disturbed
4 intracellular thymidine or nucleotide pool that
5 has been caused by too much thymidine above the
6 pool's capacity which I explain in my report.

7 Q I understand.

8 A Okay.

9 MR. PINCUS: Let's mark this one,
10 please, 19.

11 (Whereupon, Article by Burki and
12 Okada was marked as Fein's Exhibit 19 for
13 identification, as of this date.)

14 Q Are you familiar with a paper by
15 Burki and Okada entitled --

16 A Yes.

17 Q They wrote a lot of papers, let me
18 give you the name it. -- Killing the Cultured
19 Mammalian Cells by Radioactive Decay of Tritiated
20 Thymidine at Minus 196."

21 A Another one of these papers.

22 Q I'll show it to you.

23 A What do you want to know? What is
24 the issue?

25 Q On page 414 they state that "cells

1 Ludwig E. Feinendegen, M.D. 88
2 were labeled 10 to the minus 6 mole thymidine
3 containing thymidine. The survival curves were
4 always biphasic and in order to overcome this
5 difficulty the cells were labeled in the presence
6 of, among other things, deoxycytidine." You see
7 what I'm referring to?

8 A Yes.

9 Q Again, is there a reason why you
10 didn't cite to this paper?

11 A It's not relevant because they used
12 10 to the minus for molar which is 10 micromole
13 and that is a lot of thymidine. The experiment in
14 the whole lab is .100 times less. This is not
15 relevant. We are talking about things which are
16 not relevant.

17 I like to make it clear that Dr.
18 Robbins -- this is what I advised, Dr. Robbins
19 bases his argument on the fact that they did not
20 use deoxycytidine, not mentioning that it was not
21 necessary to be used because -- and there's a lot
22 of literature and I cited the relevant papers that
23 deoxycytidine is used and must be used if the
24 added amount of non-label thymidine -- the amount
25 of molecules, not the tritium, the molecules of

1 Ludwig E. Feinendegen, M.D. 89
2 thymidine is so large that the intracellular pool
3 that varies between different cells is being
4 disturbed.

5 This perturbation of the pool must
6 be overcome by the deoxycytidine, it does not need
7 to be used if you stay below the level of
8 thymidine amount that disturbs the pool, and I
9 showed you the pool sizes and what I understood
10 and read with surprise when I went through all
11 this, that the experiments done in Howell's
12 laboratory used an amount of thymidine much, much
13 below that level of the thymidine amount that is
14 necessary to disturb the pool, and I must say the
15 addition of deoxycytidine is an absolute necessity
16 to overcome the disturbance, and I want to add
17 that this particular situation has been extremely
18 helpful to synchronize cells, they add so much
19 thymidine in order to make the disturbance so
20 strong that the cells stop in DNA synthesis, and
21 then if you release the block, then they go off
22 and then you have a synchronized cell culture.

23 So that is a method -- the
24 disturbances of the thymidine pool is a method to
25 synchronize cells into cycle which is a very

1 Ludwig E. Feinendegen, M.D. 90
2 potent thing. Anybody who uses thymidine must
3 know that there is a pool and the pool is of
4 varying size and if you stay below the certain
5 level you do not disturb the pool, and when you do
6 not disturb the pool you do not disturb cellular
7 kinetics, and if you do not disturb cellular
8 kinetics all the cells that go through DNA
9 synthesis will take out the trace of tritiated
10 thymidine as it is offered as a tracer, and so
11 eventually a hundred percent of the cells become
12 labeled and if you then put them into a dish,
13 label accumulates and, of course, as expected the
14 tritiated damages and then you can do the study
15 and then you get this monoexponential or shoulder
16 exponential and you get a kill of 99 or more
17 percent of the cells.

18 When I saw that to be the case, I
19 realized suddenly there was no basis for the
20 accusation of falsification of data.

21 Q Okay.

22 MR. PINCUS: Let me mark this,
23 please.

24 A Did you get that?

25 Q I understand. I heard your

1 Ludwig E. Feinendegen, M.D. 91

2 response. Thank you.

3 MR. PINCUS: You can mark that as
4 20, please.

5 (Whereupon, Article by Drew and
6 Painter was marked as Fein's Exhibit 20 for
7 identification, as of this date.)

8 Q Are you familiar a paper by Drew and
9 Painter entitled --

10 A Yes.

11 Q What's it say?

12 A Well, I worked in their lab.

13 Q Let me ask the question again,
14 please.

15 A Please, go ahead.

16 Q Are you familiar with that paper
17 entitled "Action of Tritiated Thymidine on the
18 Clonal Growth of Mammalian Cells"?

19 A Yes.

20 Q Are you aware that the paper shows
21 biphasic killing of HeLa cells with no added
22 deoxycytidine?

23 A These details I have to look up. I
24 don't know what this had to do with this report
25 but, nevertheless, I'd like to see --

1 Ludwig E. Feinendegen, M.D. 92

2 Q The medium us page 535, Sato's
3 medium. You're aware that that does not contain
4 deoxycytidine?

5 A I worked in their lab and I used the
6 same cells and I used the same medium.

7 Q Are you aware that Sato's medium
8 does not contain deoxycytidine?

9 A I think so.

10 Q The survivals are shown on page 537?

11 A Yes.

12 Q Would you agree that those survivals
13 are biphasic?

14 A Yes.

15 Q Is there a reason -- and, in fact,
16 you've cited to this paper again in your book
17 "Tritiated Labeled Molecules," et cetera?

18 A Yes.

19 Q Is there a reason why you elected
20 not to comment on this paper in your report?

21 A I give the same answer.

22 Q If it's your same answer, you don't
23 have to repeat it. We can move on by your it's
24 the same answer.

25 A We can move on, it's the same

1 Ludwig E. Feinendegen, M.D. 93

2 answer.

3 Q Fine, thank you.

4 MR. PINCUS: This will be 21,
5 please.

6 (Whereupon, Article by Drew and
7 Painter was marked as Fein's Exhibit 21 for
8 identification, as of this date.)

9 Q I have another paper marked for
10 identification as Fein 21 a Drew and Painter
11 article entitled "Further Studies on the Clonal
12 Growth." Are you aware you cited this in your
13 book also?

14 A I guess so. I wrote that book 40
15 years ago.

16 Q I'll represent to you that you did,
17 okay?

18 A Yes.

19 Q You're aware that again this paper
20 shows biphasic killing of HeLa cells with no added
21 deoxycytidine?

22 A I guess so. It's a scientific
23 question which you don't have to get into.

24 Q Again, is there any -- your same
25 response as to why you did not discuss this paper

1 Ludwig E. Feinendegen, M.D. 94

2 in your report?

3 A It's irrelevant to the issue of
4 accusation of fraud.

5 MR. PINCUS: That will be 22.

6 (Whereupon, Article by Keprtova and
7 Minarova was marked as Fein's Exhibit 22 for
8 identification, as of this date.)

9 Q Are you familiar with a paper by
10 Keprtova and Minarova entitled "The Effect of
11 Tritiated Thymidine on the Proliferation of in
12 vitro Cultured Mammalian Cells"?

13 A I guess so. It's again a long time.
14 What is your question?

15 Q First I want to know whether you're
16 familiar with the paper?

17 A Familiar, I have to read it.

18 Q I'm not asking you to read it.

19 A Let me answer. Many, many such
20 papers exist, you can continue until tonight to
21 give me these papers, this is all fine and I do
22 not doubt the accuracy of the papers, I do not now
23 want to go into a scientific argument what is the
24 reason for that.

25 My report addresses the issue if

1 Ludwig E. Feinendegen, M.D. 95

2 there is suspicion of fraud in the papers that
3 were put before me and the report by Dr. Robbins
4 and I addressed that question.

5 Q So your position is that this paper
6 is irrelevant to that issue is what I understand
7 you to be telling me?

8 A Yeah, I can go on -- it's marginal,
9 it's not in the center of interest. It's not
10 totally irrelevant. Of course, all these data are
11 relevant, it is not to the point, the point again
12 is --

13 Q You don't have to repeat it.

14 MR. LEONARD: Let him finish.

15 A I'd like to make it clear because
16 this is the crux of the issue and through this
17 meeting we are always just deterred from that
18 sense of focus, namely if the thymidine pool is
19 not disturbed by the addition of thymidine to the
20 medium, that means by using very high specific
21 activity with a certain level of activity in the
22 microcurie range, per milliliter range, then you
23 do not disturb the pool, and if you do not disturb
24 the pool you keep the cells as they are and you do
25 not have to add a remedy to rectify the

1 Ludwig E. Feinendegen, M.D. 96

2 disturbance of the pool.

3 The remedy to rectify the
4 disturbance of the pool is deoxycytidine, and if
5 you don't need a medicine to rectify this or you
6 don't need it, you don't use it. So the
7 experiment which I had the privilege or to see and
8 to analyze on the basis of Dr. Robbins' report, I
9 came to the conclusion that because of the high
10 specific activity and the very low amount of
11 thymidine given to the cells, far below the level
12 that disturbs the pool, you don't need the remedy
13 to add -- if you would have added you may have
14 done something else with the cell, you don't need
15 it so there is no basis for Dr. Robbins to say
16 this is fraud because he says -- let me finish,
17 please -- he says because deoxycytidine was not
18 added that and that and that happened.

19 My contention is that you don't need
20 to add deoxycytidine at the level below which you
21 disturb the pool, and so the basic premise by
22 Dr. Robbins of expressing doubt and even
23 expressing the suspicion that is falsified data is
24 unjustified, that's my point, only that everything
25 else second to science, and I like to finish by

1 Ludwig E. Feinendegen, M.D. 97
2 saying, I tried to summarize my impression in the
3 conclusion.

4 The allegations put forward by Dr.
5 Robbins may be viewed in two principal separate
6 sections, the data by Bishayee are impossible and
7 thus must have been fabricated. My statement is
8 that they are possible because they used
9 experimental conditions in which the pools were
10 not disturbed and I tried to very elaborately
11 explain that.

12 And my second thing is, the
13 differences in these various experimental outcomes
14 are really scientific questions and they are not
15 of interest anymore once the center point is off
16 the table, namely, there is no basis for being
17 suspicious from what I see and have of fraud or
18 falsification of data, because I again say, the
19 experimental conditions and the experimental
20 procedures and methods were such that you didn't
21 -- they didn't have to need the need for giving
22 deoxycytidine, they didn't have to need, so they
23 got a hundred percent of the cells labeled and
24 this undisturbed passage of the cells through the
25 cell cycle in the presence of trace amounts of

1 Ludwig E. Feinendegen, M.D. 98
2 thymidine led to the fact that hundred percent of
3 the cells became labeled.

4 Now, this labeling intensity per
5 individual cell is a lock shape curved, they are
6 low labeled, high labeled, medium labeled, the
7 majority of the cells have a medium label, and I
8 remember very well discussing in Brookhaven
9 Painter's and Drew's observation and we thought
10 that the cells had enough radio resistance to
11 overcome the low labeling in their experiments so
12 that they survived. There were no further
13 experiments, if I remember correctly, to analyze
14 whether there was any DNA change or so.

15 Nevertheless, the points is that
16 there is a focal question, is there fraud or not,
17 by analyzing the data I have come to the
18 conclusion that it is not a fraud because the
19 experimental conditions were such that hundred
20 percent of the labels are -- of the cells are
21 expected to become labeled, so if enough thymidine
22 permitted to accumulate what they carefully did
23 over 10 hour storage and what is it, yeah, 10.5
24 degrees and then -- so there was a lot of
25 accumulation of tritium to really disturb the

1 Ludwig E. Feinendegen, M.D. 99

2 cells and kill them.

3 Q Could I ask you to confine yourself
4 now to this Keprtova article?

5 A Yes.

6 Q I want to turn your attention to the
7 second page of that, I guess page 82.

8 A Yes.

9 Q If you notice, there's a reference
10 to thymidine in the section entitled "plating
11 efficiency."

12 A Yes.

13 Q You see where it says thymidine-6-3H
14 980?

15 A Yeah.

16 Q Am I correct that that measurement
17 is equivalent to 26 curies per millimole or
18 approximately thereto?

19 A Well, I take your word for that. I
20 have to calculate that.

21 Q Would you agree that that is --

22 A It's a high specific.

23 Q That's a high specific activity of
24 tritiated thymidine, you agree with me?

25 A Yes.

1 Ludwig E. Feinendegen, M.D. 100

2 Q Would you agree that that specific
3 activity of tritiated thymidine was about
4 one-third that used by Dr. Bishayee?

5 A I have to again calculate that, I
6 cannot do that that quickly.

7 Q Assume that it was.

8 A Yeah. So what is the question?

9 Q So this paper which, you know, you
10 indicated that you're not entirely familiar with
11 shows biphasic killing of V79 cells with no added
12 deoxycytidine, so given that this is high specific
13 activity --

14 A Wait a minute. Where is that? That
15 is a broad question, of course. Where is the
16 control without the deoxycytidine?

17 Q The MEM. Again, it says MEM is
18 where -- back where I guided you on the second
19 page, plating efficiency.

20 A Yeah.

21 Q Do you understand that medium to
22 contain or not to contain deoxycytidine?

23 MR. LEONARD: Why don't you give him
24 a minute to look over the report.

25 A I don't see that. Where is that?

1 Ludwig E. Feinendegen, M.D. 101

2 Q You say where it says "plating
3 efficiency"?

4 A Yes.

5 Q "One thousand cells were plated on
6 10 milliliter MEM"?

7 A Yes.

8 Q You understood that that medium did
9 not contain deoxycytidine?

10 A I have to look that up. I cannot
11 say that offhand.

12 Q If I were to represent to you that
13 it does not and given that you have indicated that
14 this is high specific activity, it would appear
15 that -- would you agree that this would have some
16 relevance to the issue, this article?

17 MR. LEONARD: Objection to your
18 hypothetical.

19 MR. PINCUS: You objected to the
20 form.

21 A I mean, again, one of the many
22 papers with or without -- it's beside the point.
23 Of course, this is not irrelevant but it does not
24 -- I am after explaining that we have a V79 cell
25 with a relatively large pool and the amount of

1 Ludwig E. Feinendegen, M.D. 102
2 thymidine used by the experimenter was so small as
3 to not to disturb the pool and we know that no
4 deoxycytidine is needed when that pool is not
5 disturbed, that's the number one.

6 Number two is, the time of labeling
7 is crucially important whether there's a flash
8 label of 30 minutes or whether there's labeling
9 for an hour, a day or two days or four days or a
10 week, and as Burki accumulated the case under
11 those conditions that minimize metabolism, they
12 will freeze them at 96 degrees C or in 10.5
13 degrees, depending on what you want to do, but
14 then depending on the time of the exposure of the
15 cells to the tritiated thymidine, the cells become
16 labeled in a certain range of values.

17 Usually it is a lock normal
18 distribution of the thymidine, so you have some
19 cells which have very little label, some cells
20 which have very much label and some cells have a
21 lot of label that is in the peak of this
22 distribution curve.

23 The longer the labeling period of
24 with the tritiated thymidine is, the more uniform
25 becomes the label of the cell and the more

1 Ludwig E. Feinendegen, M.D. 103
2 accurate, let's say, is the conclusion of the
3 overall cellular response to the individual cell
4 response, if you have a flash label only and you
5 use the experimental condition where the thymidine
6 pool is very small, the added thymidine amount is
7 very small so not to disturb the pool, then you
8 may have biphasic because there are some cells
9 which survive that radiation, and the longer you
10 label and the more activity you put in it, the
11 more effective is the radiation.

12 Q I want to take you back to the HU
13 paper that we were referring to earlier.

14 A To my paper?

15 Q No, the Hu paper.

16 MR. LEONARD: Which one did you hand
17 him?

18 MR. PINCUS: I handed him Fein 10.

19 Q We excused this a little earlier,
20 and again, on the first page, Doctor, over where
21 it says chemicals.

22 A Yeah.

23 Q See where I'm referring to?

24 A Yeah.

25 Q There's a reference to tritiated

1 Ludwig E. Feinendegen, M.D. 104

2 thymidine of approximately --

3 A High specific.

4 Q It's high specific and it says 80

5 curies per millimole, correct?

6 A Yes.

7 Q You acknowledge that that's high

8 specific activity?

9 A Yes.

10 Q Are you aware that that's about the

11 same specific activity that was used by

12 Dr. Bishayee?

13 A Yes.

14 Q You acknowledge that?

15 A Yes.

16 Q So in this article or this piece of

17 literature, this shows that there was biphasic

18 killing of 3 T 3 cells using high specific

19 activity tritiated thymidine, correct, you agree?

20 A Yes.

21 Q And that there was no deoxycytidine

22 used, I think we -- so given those elements, why

23 did you not comment on this paper in your report?

24 A Same answer.

25 Q Fine, that's all you need to say.

1 Ludwig E. Feinendegen, M.D. 105

2 I'll take it back.

3 A I can use a whole library, of
4 course. I have to restrict myself to what's
5 essential to my claim in my report.

6 Q I understand you were looking to
7 substantiate your claim.

8 A Yes, and to --

9 Q But you didn't cite an authority
10 that differs?

11 A No, it's not a difference. It's not
12 a difference. Not a difference.

13 Q Well --

14 A No, no, no, no.

15 Q Okay, you say no, that's fine.

16 A That's a scientific question. We
17 are discussing now scientific questions and that
18 has nothing to do with the argument here and I
19 come back to my conclusion, we have two issues
20 here.

21 Q You don't have to repeat them, we've
22 been over them.

23 A We have the question and we have the
24 accusation of fraud.

25 Q Got you. The last one I just want

1 Ludwig E. Feinendegen, M.D. 106

2 to show you over here is --

3 MR. PINCUS: Mark that as 23,
4 please.

5 (Whereupon, Article by Persaud was
6 marked as Fein's Exhibit 23 for
7 identification, as of this date.)

8 Q Are you familiar with Persaud's
9 article "Assessment of Low Linear Energy Transfer
10 of Radiation Induced Bystander Mutagenesis in a
11 Three Dimensional Culture Model"?

12 A You mean Prescott?

13 Q No, Persaud.

14 A Persaud, yes.

15 Q "Assessment of Low Linear Energy
16 Transfer of Radiation Induced Bystander
17 Mutagenesis"?

18 A Yes.

19 Q You're familiar with this paper?

20 A Yeah, I know the content.

21 Q In fact, Dr. Robbins cited this in
22 his report?

23 A Yes, so it is there.

24 Q This paper shows there that was
25 biphasic killing of Chinese hamster cells with no

1 Ludwig E. Feinendegen, M.D. 107

2 added deoxycytidine, correct?

3 A Yeah.

4 Q You did not discuss this in your

5 report, this paper, did you?

6 A It's not to the point.

7 Q The answer was, did you or did you

8 not?

9 A It's a scientific question and I

10 restricted myself to analyzing the probability of

11 fraud or not. I don't want to go into the science

12 here in discussing the various responses of cells.

13 I was asked to report on the question proposed by

14 Dr. Robbins there is fraud, and is there fraud or

15 is not fraud. I came to the conclusion that the

16 probability of fraud is very low. There's no

17 reason for accusing because Dr. Robbins failed to

18 look at this thymidine pool issue.

19 Q So the papers that I have shown to

20 you in the last few minutes showed that there's

21 exponential survival --

22 A Oh, yes.

23 Q -- when deoxycytidine is present but

24 biphasic survival when deoxycytidine is absent?

25 A No, that is not correct.

1 Ludwig E. Feinendegen, M.D. 108

2 Q That's not correct in the papers
3 that I showed you?

4 A In the papers you showed me --

5 Q That's what my question was.

6 A That may be misleading to somebody
7 who is listening to us.

8 Q You said the one paper that you
9 cited in which the survival was exponential and no
10 deoxycytidine was added other than in the reports
11 from the Howell lab was the Panter article, you
12 mentioned this to me a little earlier?

13 A Yeah, that was one example.

14 Q Let's mark it first and then I'll
15 ask you some questions, okay.

16 MR. PINCUS: Mark this as Exhibit
17 24.

18 (Whereupon, Article by Panter was
19 marked as Fein's Exhibit 24 for
20 identification, as of this date.)

21 Q When we're referring to the Panter
22 article, am I correct that Fein 24 is that
23 article, sir?

24 A That reference -- wait a minute, let
25 me see. Okay.

1 Ludwig E. Feinendegen, M.D. 109

2 Q Am I correct -- you're still
3 looking, I'm sorry.

4 A I found it. Here, okay. I have
5 that.

6 Q So you agree with me that what I've
7 marked as Exhibit Fein 24 is the Panter article?

8 A Yes.

9 Q This notes, I believe, that Panter
10 added 10 to the minus 5 mole thymidine to his
11 incubations; is that correct?

12 A Yes.

13 Q In your knowledge and experience, is
14 it possible that that could have perturbed the
15 thymidine pool?

16 A 10 micro -- yes, if that is 10
17 micromole and 10 micromole is the limit, yeah.

18 Q It is possible?

19 A Yeah.

20 Q Is it possible that Panter forgot to
21 mention that his medium contained deoxycytidine?

22 A Pardon me.

23 Q Is it possible Panter forgot to
24 mention that the medium that he used contains
25 deoxycytidine?

1 Ludwig E. Feinendegen, M.D. 110

2 A No, I don't know what he thought. I
3 cannot answer that question.

4 Q Other than the experiments in the
5 Howell lab and this article, have you found any
6 other -- in the literature in which there was
7 exponential survival for tritiated thymidine
8 without the addition of deoxycytidine?

9 A Many.

10 Q You didn't cite them?

11 MR. LEONARD: I object to form.

12 A Listen, if you go into a forest and
13 you find flowers and somebody comes to you and
14 says, there are no flowers in the forest and they
15 find a flower, that's enough to show that there's
16 flower in the forest. I don't have to have a
17 library to substantiate, it's a question of
18 principle.

19 Q Other than the one article, the
20 Panter article --

21 A I cited several. No, that's not
22 right. I cited several papers.

23 Q Which ones in the reference?

24 A The Burki paper, for example. The
25 question is not the shoulder or not the shoulder,

1 Ludwig E. Feinendegen, M.D. 111
2 that is a scientific question. The question is,
3 how many cells are being killed and I wanted to
4 show that the experiments done in the lab easily
5 can kill 99.1 percent of the cells indicating that
6 they all have become labeled, they all passed
7 through the DNA synthesis phase.

8 That was the point my -- you can add
9 many papers like that but if I have shown two or
10 three papers I think this is enough. The Panter
11 article is my own articles. The point is, and
12 that is the essential point, is it likely or
13 unlikely that under the experimental conditions in
14 the Howell lab a hundred percent of the cells got
15 labeled or not, and my answer is, likelihood of
16 hundred percent labeling is high, very high,
17 highly probable, probably certain.

18 Robbins has been really arguing and
19 that was my point to show that he did not
20 understand it, namely, that under the experimental
21 conditions in the Howell lab only a fraction of
22 the cells became labeled because no deoxycytidine
23 was added. My argument is, you didn't need to add
24 deoxycytidine to get hundred percent of the cells
25 labeled, that is the single point.

1 Ludwig E. Feinendegen, M.D. 112

2 If you once get hundred percent of
3 the cells labeled, everything else becomes a
4 scientific question, how the slope of the curve
5 is, does it have a shoulder or not a shoulder, or
6 if there is even a slight tail at the very end
7 because, as I said, the labeling of each
8 individual cells follows a possible distribution,
9 means a lock normal distribution, and then you may
10 have a few label cells that do not become
11 irradiated enough to be killed, so you can get
12 this kind of a show.

13 But the issue is, Robbins issue was,
14 do you get all cells labeled under the conditions
15 they have chosen in the laboratory, my answer is
16 yes.

17 Q Can you tell me what G2 delay is?

18 A Yes. The cells are very sensitive
19 to radiation, particularly in the G2 phase, so if
20 you look at a cell in the cell cycle they are
21 distributed randomly throughout the cell cycle,
22 some cells are immediately after mitosis or having
23 divided, then there is a period of biochemistry
24 preparing the cell for function and DNA synthesis
25 and then comes the DNA synthesis phase during

1 Ludwig E. Feinendegen, M.D. 113
2 which again many different things happen in the
3 cell, suddenly thymidine kinase is made available
4 or phosphorylating the thymidine because the
5 thymidine is only accepted and going into the cell
6 if it has become phosphorylated and that means the
7 DNA synthesis phase.

8 Then comes after that DNA phase
9 arresting phase, that means with respect to the
10 DNA synthesis, they do not synthesize anymore, but
11 they get ready to divide and that phase is called
12 G2 and that is a very radiation sensitive phase.
13 So if you add thymidine to the cells, rather low
14 amounts are already enough to partially block the
15 cells in that G2 phase from which they are
16 recovering very quickly depending on the dose they
17 get.

18 I have a paper myself, it's also in
19 the book, showing the recovery period of that G2
20 delay and then they go into mitosis and you can
21 measure the G2 delay precisely by the so-called
22 mitotic index, it means the number of cells --

23 Q So, if I understand you correctly,
24 G2 delay can contribute to the biphasic nature of
25 the survival curves because it would add to the

1 Ludwig E. Feinendegen, M.D. 114

2 population of cells that don't enter S-phase
3 during the incubation?

4 A No, that is not correct. G2 comes
5 after the S-phase, and the cells move on and those
6 who have picked up the thymidine during the
7 S-phase move into G2 phase and then they are
8 stopped temporarily preparing, if the irradiation
9 is not too much, or they may be even held longer
10 in that G2 phase, and then they overcome this
11 shock of -- this equilibrium and then they go into
12 mitosis again and continue.

13 That does not disturb the cells from
14 moving into DNA synthesis, so the cells which are
15 in the medium containing tritiated thymidine move
16 from G1 to S to G2 phase. G2 phase make that
17 block but the movement of the cells in G1 phase
18 into S is not blocked. So if you do not disturb
19 the pool, you don't need deoxycytidine, so they
20 continue to move into the S-phase and eventually
21 all the cells in the cycle become labeled.

22 Q You said that G2 delay can occur in
23 very low concentrations?

24 A Yes.

25 Q Earlier I showed you the papers by

1 Ludwig E. Feinendegen, M.D. 115

2 Ehmann, Pollack and Hoy, do you recall that?

3 A Yes.

4 Q Are you aware or did you review
5 those papers for the issue relating to G2 delay?

6 A G2 delay is of no consequence here.

7 Q Okay, that's your position. That's
8 why you chose not discuss that?

9 A The science. The question here is
10 only one, the accusation was, these cells would
11 not have become labeled a hundred percent, that
12 was the statement.

13 Q Okay. You don't have to repeat it
14 again, I've heard you a number of times.

15 A And I say that is not correct
16 because of the pool problem. The pool was so
17 small -- the pool was by the low amount of
18 thymidine added not disturbed, so there was no
19 need to add the deoxycytidine and the probability
20 that all cells went through the cycle is in line
21 with what other people have been observing. I
22 have done studies myself on this.

23 MR. PINCUS: Would you mark that as

24 25.

25 A You understood what I mean.

1 Ludwig E. Feinendegen, M.D. 116

2 Q Yes.

3 (Whereupon, Howell-Li experiment was
4 marked as Fein's Exhibit 25 for
5 identification, as of this date.)

6 MR. PINCUS: Let's take a lunch
7 break.

8 (Whereupon, a luncheon recess was
9 taken.)

10 MR. PINCUS: Let's go back on the
11 record.

12 BY MR. PINCUS:

13 Q Before the lunch break, Dr.
14 Feinendegen, I had marked what I'm going to show
15 you as Fein 25. I believe you make reference to
16 this on this being what I understand to be the
17 Howell-Li experiment --

18 A Yeah, I saw that.

19 Q -- that you cite at page 11 of your
20 report, are you with me?

21 A Yes.

22 Q You're familiar with this document?

23 A Yes.

24 Q You reviewed this?

25 A Yes, late but I did.

1 Ludwig E. Feinendegen, M.D. 117

2 Q Would you agree that the protocol
3 for the first three experiments that were done as
4 shown on the first page and now I'm going to refer
5 you, by the way, to the lower right-hand corner
6 and we call that a Bates stamp number, so where
7 I'm saying B013447. You with me?

8 A Yes.

9 Q The pages which follow just go up in
10 numerical order.

11 A Got it.

12 Q Am I correct that the protocol for
13 the first three experiments are shown on this
14 first page, 13447?

15 A I guess so.

16 Q The colony results, if you turn to
17 B0455.

18 A Yeah. What is the question?

19 Q Those are the colony results; am I
20 correct?

21 A It looks like colonies, yeah.

22 Q Do you agree that there are results
23 but no protocols shown for experiments two and
24 three?

25 A I don't know.

1 Ludwig E. Feinendegen, M.D. 118

2 Q You said you relied upon this
3 experiment in your report and you said you
4 reviewed it and I have some questions about it.

5 So my question to you is: Is the
6 protocol for the first experiment I pointed you on
7 447 along with the colony results on 455, but
8 would you agree with me that there are no
9 protocols shown for experiments two and three?

10 A What do you mean by protocol?

11 Q Protocol would be the description of
12 the procedures that are going to be followed by
13 the person conducting the experiments as is shown
14 on page one.

15 A Yes.

16 Q This document shows a number of
17 experiments, eight in number, and would you agree
18 that within this document, I mean, I'm using the
19 citations from your references in terms of what
20 you relied upon and, you know, in fact, on page 11
21 you specifically cite to the pages that I've given
22 to you.

23 A Yeah.

24 Q So my question is: Would you agree
25 that there is no protocols for experiments two and

1 Ludwig E. Feinendegen, M.D. 119

2 three?

3 A I relied on the data.

4 Q That's not my question. Would you
5 agree that there are no protocols for experiments
6 two and three?

7 A I would have to go through that what
8 you just gave me carefully in order to find out if
9 there are protocols or not, there's a lot of
10 stuff, many, many pages and I cannot commit that I
11 don't see protocols because I have to go through
12 the whole thing, but for me, my citation in the
13 report that's what counts is that these
14 experiments were done to check the survival of
15 these cells properly be labeled.

16 Q You can't answer that question for
17 me sitting here right now you're telling me?

18 A Yes.

19 Q There's a graph shown on page 476.

20 A Yes, I see what you mean.

21 Q This graph relates to experiments
22 six, seven and eight, am I correct?

23 A Six, seven, eight, correct.

24 Q There's further a notation that
25 experiment five was dropped, is that correct, up

1 Ludwig E. Feinendegen, M.D. 120

2 in the upper right-hand corner?

3 A Experiment five dropped, yeah.

4 Q Would you agree with me that there
5 is no record at all in this packet which you cited
6 to for experiment number four?

7 A I don't know. I cannot answer that
8 question.

9 Q You can't glance through this
10 quickly to determine that. If you can't, that's
11 fine, but you tell me.

12 A I should now search the document for
13 experiment four. Can you help me.

14 Q Well, I believe that is --

15 A Can you give it to me and then I'll
16 check.

17 Q I believe that there's no record at
18 all for experiment four, you want to accept that
19 from me?

20 A When you say it, I believe you.

21 Q I'm going to represent to you that
22 it appears to me that there's no protocols or raw
23 data at all for experiments six, seven and eight
24 contained in this packet, are you aware if that's
25 the case?

1 Ludwig E. Feinendegen, M.D. 121

2 A That may be. I believe what you
3 say, but this is of no relevance to what I am
4 after, what I am proposing. I am addressing a
5 question and I take into my report the evidence
6 that tells me that the experiments have been done
7 carefully and they have been controlled, just this
8 guy checked the relationship between tritium
9 uptake and survival.

10 MR. PINCUS: This will be 26,
11 please.

12 (Whereupon, Article by Howell and
13 Goddu was marked as Fein's Exhibit 26 for
14 identification, as of this date.)

15 Q I'm going to show you what you
16 referred to, I believe, as your reference number 4
17 in your report, this article by Dr. Howell, Fein
18 26, entitled "Radioprotection against Lethal
19 Damage Caused by Chronic Irradiation with
20 Radionuclides In Vitro."

21 A Yes, I have that here. Yes.

22 Q You reviewed this?

23 A Yes.

24 Q Would you agree that there's no
25 indication which, if any, of the experiments --

1 Ludwig E. Feinendegen, M.D. 122

2 this discusses the Howell-Li experiment, this
3 paper, does it not?

4 A My report does not discuss the
5 Howell-Li experiment, I refer to it as an
6 indication of what it shows on page -- on page
7 396, cellular uptakes versus survival.

8 Q I'm looking at page 11 of your
9 report.

10 A Yeah.

11 Q You refer to the Howell-Li report
12 there, correct?

13 A Yes. I guess so, yes.

14 Q See "experiments in the Howell
15 laboratory"?

16 A Uh-hum.

17 Q In 1992 and 1996?

18 A Yes.

19 Q It's the Howell-Li experiment --

20 A Yes.

21 Q -- occurred in 1996?

22 A Yeah.

23 Q You identified for me the fact that
24 you're familiar with this document, that you
25 reviewed it, I'm not asking you for purposes but

1 Ludwig E. Feinendegen, M.D. 123
2 you told me you reviewed it and you told me what
3 you understood or didn't understand it to contain,
4 and then you further cited, I believe, as
5 reference number 4 in your report what we've
6 marked now as the Howell paper Fein 26, correct?

7 A Yes.

8 Q In fact, in citing to that paper
9 that paper discusses the Howell-Li experiment,
10 correct?

11 A Yeah, I guess so.

12 Q Can you tell me which, if any, of
13 the experiments that were represented in the graph
14 in your paper were represented in these
15 experiments?

16 MR. LEONARD: Objection to form.

17 A Yes. I have not seen this document,
18 I've seen drafts giving a graphical display of the
19 numbers showing the survival against the uptake
20 and the point here is --

21 Q Hold on a second. You've answered
22 my question. First you told me --

23 MR. LEONARD: Let him finish,
24 Shelly.

25 A Then were are not on terms any more,

1 Ludwig E. Feinendegen, M.D. 124

2 I'm sorry to say that.

3 Q No, no, hold on for one second and
4 I'll let you respond. You told me two things now
5 and let's clarify first. I first asked you
6 whether you had seen and reviewed what we've
7 marked as Exhibit Fein 25 and you answered yes,
8 now you just said to me -- now you're telling me,
9 no, I didn't, I saw something different.

10 Let's first establish what you saw.

11 A I saw the graphical display of the
12 Harapanhalli data, so there's a lot of that and a
13 lot of such pages, this page what you just gave
14 me --

15 Q Fein 25.

16 A -- does not contain any of these
17 graphs which I have and these graphs show that
18 what is also shown in the paper by Howell on page
19 369 and that's not a key --

20 Q Page 369?

21 MR. LEONARD: 396.

22 A 396, I'm sorry. The point is that
23 both the Harapanhalli as well as this report show
24 cellular survival to be followed below one
25 percent, that means 99 percent of cells being

1 Ludwig E. Feinendegen, M.D. 125
2 killed, and that is the point indicating that
3 these cells that were used in these experiments
4 both in this paper as well as Harapanhalli must
5 have incorporated tritiated thymidine throughout
6 the cell cycle, otherwise there would not be a
7 killing to below 99 percent. That means they go
8 to one per mill or one percent, that is the key
9 issue mainly.

10 That is again referring to
11 Dr. Robbins' accusation. He says these data
12 cannot be true because tritiated thymidine was not
13 permitted to get into the cells, to all the cells
14 because of that lack of deoxycytidine. Now, my
15 analysis shows first that the thymidine pool is so
16 small -- sorry, the thymidine added to the medium
17 is so little that it did not disturb the pool and
18 that these data are only understood by all cells
19 or practically all cells have incorporated the
20 tritiated thymidine. So there was no block in the
21 cell cycle phase, otherwise these data could not
22 have occurred.

23 Now, this is only one example here.
24 We have of this example and that was all I was
25 saying in Harapanhalli's data.

1 Ludwig E. Feinendegen, M.D. 126

2 Q So you cannot tell me --

3 A I tell you a lot at the moment, you
4 should listen to what I'm saying.

5 Q But you're not answering my
6 question.

7 MR. LEONARD: Let him finish.

8 A I would like to make clear, I do not
9 to be detracted from an argument by questions
10 whether I saw that document. I have not seen your
11 document, I have seen the data from Harapanhalli
12 which here I have them. These are graphs and
13 these graphs and I don't know whether they're
14 identical.

15 Here, you see the graphs, okay, and
16 these graphs show that what I am aiming to show,
17 namely that the cell killing went below one
18 percent and he just confirms what Howell has
19 published in another paper and both data agree
20 with each other and that's not only one graph,
21 there's quite a few graphs, you don't have that
22 graph in your -- -

23 Q You're right, we don't have it at
24 all.

25 A But I have it.

1 Ludwig E. Feinendegen, M.D. 127

2 Q We'll we're going to get it from
3 you. That's my next question.

4 A Okay. And these graphs, these are
5 the important points. See, these graphs the
6 shoulders are different. I don't have your
7 document. These graphs show quite clearly that
8 the thymidine must have been incorporated into all
9 cells.

10 Q Why don't we mark the graphs that
11 you're referring to because I have questions about
12 them.

13 A I have a few of these graphs.

14 Q Let me ask you some questions first
15 and then we'll decide what we'll do.

16 A Okay.

17 Q So you're referring now to what your
18 report page 11 described as the unpublished
19 results of Dr. Harapanhalli, correct?

20 A That's not what I have.

21 Q My question, and I think you've just
22 responded, that, in fact, you're in possession of
23 the protocols and raw data from that experiment?

24 A The results of this data. I am in
25 possession --

1 Ludwig E. Feinendegen, M.D. 128

2 Q Did Dr. Howell or Dr. Harapanhalli
3 or anyone else make these available to you?

4 A Yes.

5 Q Who?

6 A Dr. Howell.

7 MR. PINCUS: We requested copies of

8 these.

9 MR. FLYNN: I'm pretty sure we
10 produced this. These are Bates.

11 MR. PINCUS: Give me the Bates stamp
12 numbers?

13 MR. FLYNN: Which ones are we
14 referring to here?

15 THE WITNESS: All the graphs,
16 there's quite a few, quite a few of these
17 graphs.

18 MR. PINCUS: Let's go off the
19 record.

20 (Whereupon, a discussion was held
21 off the record.)

22 MR. PINCUS: Back on the record.

23 Q I just want to be clear in reference
24 to the Harapanhalli results.

25 A Yes.

1 Ludwig E. Feinendegen, M.D. 129

2 Q If I understand your statement to me
3 a moment ago, the raw data that you were
4 describing and the results are contained on
5 documents which have been Bates stamped UMNJ004755
6 to 4847; is that correct?

7 A Yes, that's correct. 4826 going up
8 to 4847, yes. I don't know how many of them there
9 are. There's a large number, I was impressed by
10 that.

11 Q Now I understand.

12 A Okay.

13 Q Insofar as Fein 25 was concerned and
14 the graphs that you're referring to -- Fein 25.

15 A Yes.

16 Q Are you still counting, I don't want
17 to go on until you're ready.

18 A I have eight of these data, they all
19 show practically the same thing.

20 Q Which page numbers were you
21 referring to?

22 A That's enough for me to see what I'm
23 talking about.

24 Q Which page numbers?

25 A One is 4757, the other is 4759, the

1 Ludwig E. Feinendegen, M.D. 130
2 next one is 4761, 4786. I hope I don't miss any
3 because there are so many. 4800, 4842 and then
4 4843. So that is the collection of the data which
5 I have.

6 Q Fair enough.

7 A I'm not through with answering my
8 question.

9 Q You did, you gave me the citations
10 to the specific one. Now I have another question
11 of you, okay. I want to turn your attention back
12 to Fein 25 as it relates to Dr. Howell's article
13 that we cited --

14 A Yeah, okay.

15 Q -- as Fein 26. I'm looking at
16 figure 6 now, that is on page --

17 A 396.

18 Q -- 396. You're with me on figure 6?

19 A Yes.

20 Q Those graphs that are set forth in
21 figure 6, am I correct, you know, that you can't
22 tell me which of the experiments in Fein 25 those
23 graphs relate to?

24 MR. LEONARD: Objection to form.

25 A No, that's of no interest to me.

1 Ludwig E. Feinendegen, M.D. 131

2 Q It's not a question of whether it's
3 interest or not. You can't tell me, that's what
4 you're telling me, right?

5 A I want to make something clear. I
6 do not want to be led into stating that I did a
7 bad job, okay. I read that stuff, I did it well,
8 I know your terms, you must do that, this is your
9 responsibility, but I tell you that I reviewed the
10 stuff and I can see that -- now comes the key
11 point -- all these experiments from that lab so
12 far seen show that the survival goes below one
13 percent after letting tritiated thymidine.

14 The details do not count, Robbins
15 assertion that this has been based on the fact
16 that the cells were not permitted to take up
17 tritium thymidine throughout the cell cycle, all
18 this data that is only a small portion of the
19 other stuff, and I referred to that before noon,
20 show that if the pool of thymidine in the cell
21 permits radioactivity to enter as a tracer you get
22 all cells labeled and these data are consistent
23 with the assumption that all cells were labeled
24 and all this contradicts the statement by Dr.
25 Robbins that they cannot be true.

1 Ludwig E. Feinendegen, M.D. 132

2 Q But that's not my question. My
3 question is simple -- my questions, with all due
4 respect to you, Doctor, doesn't afford you a
5 platform to basically tell me what your report
6 says, we're going to cover that.

7 A Okay.

8 Q But when I ask you a specific
9 question I'm entitled, if you can answer it, to
10 have you simply answer it. So my question was, it
11 was very simple: You cannot tell me looking at
12 figure 6 in Fein 26, Dr. Howell's report, which of
13 the experiments in Fein 25 those graphs relate to,
14 correct?

15 A It's not relevant to me. That's my
16 answer.

17 Q It's not a question of whether it's
18 relevant. You can't tell me; isn't that correct?

19 A I am not interested in that.

20 Q So you never took the opportunity to
21 determine it?

22 A No.

23 Q You did not?

24 A No.

25 Q Thank you.

1 Ludwig E. Feinendegen, M.D. 133

2 MR. PINCUS: Would you mark this as
3 27, please.

4 (Whereupon, Article by Bishayee was
5 marked as Fein's Exhibit 27 for
6 identification, as of this date.)

7 Q Dr. Feinendegen, I'm going show you
8 what I've marked as Fein 27, it's the Bishayee
9 article which you cite in your report.

10 A Yeah.

11 Q Do you recall that?

12 A Of course, yeah. Very good paper,
13 by the way.

14 Q Since you cite this paper, is it
15 fair for me to assume that you studied the
16 protocol?

17 A Yes.

18 Q Obviously, did you cite the
19 protocols associated with Dr. Howell's paper that
20 we marked as Fein 26?

21 A Yes.

22 Q When you studied the protocols, did
23 you determine whether the conditioning of the
24 cells was the same?

25 MR. LEONARD: Objection to form.

1 Ludwig E. Feinendegen, M.D. 134

2 A Wait a minute. You have to explain
3 what you mean by conditioning.

4 Q What does it mean to you?

5 A To me the conditioning is a word
6 that explains many things and if you ask me a
7 question, it must be specifically defined what
8 condition is meant to mean otherwise I can't
9 answer it.

10 Q Let me ask you a different question.

11 A If I'm happy I'm conditioned, if I'm
12 sad I'm also conditioned.

13 Q Do you know whether the overnight
14 incubation in rollers in the presence of tritiated
15 thymidine was the same in these two experiments?

16 A That I cannot check.

17 Q Was the medium in the two
18 experiments the same?

19 A I did not check.

20 Q Was the washing of the cells
21 comparable, if you know?

22 A Probably, yes.

23 Q Was the incubation in the cold for
24 72 hours the same in these experiments?

25 A I don't know. These are two

1 Ludwig E. Feinendegen, M.D. 135

2 different papers referring to different issues.

3 Q You don't know. That's fine, if you
4 don't know.

5 A I take the papers as they and check
6 them for accuracy and reliability. Since you
7 asked me about specific -- to answer your question
8 about specific details of protocol, washing
9 temperature, washing time, that is, I may say, a
10 bit asked too much.

11 Q So you don't know?

12 A I have to go into this in detail.
13 This is not the issue of my report, I do not --

14 Q You remember early on I said to you
15 that you may not know the answer to every
16 question, this is one of the times if you don't
17 know simply tell me.

18 A If I say that as you just induce me
19 to say, you induce me to say I was negligent and I
20 do not like to hear that.

21 Q Did I suggest that word?

22 A No.

23 Q Then don't infer why I'm asking
24 those questions.

25 A Yeah, but --

1 Ludwig E. Feinendegen, M.D. 136

2 MR. PINCUS: Would you please have
3 him instruct --

4 A What did you do, not do, you did not
5 do, that makes me appear as someone who did -- who
6 was negligent.

7 MR. PINCUS: John, I don't want this
8 record to keep going on like this because
9 it's nonresponsive.

10 MR. LEONARD: Why don't we all take
11 a deep breath, okay.

12 A Let's be fair with each other.

13 MR. LEONARD: Agreed. Let's be fair
14 with each other. Do you want to take a few
15 minutes?

16 THE WITNESS: No, I'm fine. I just
17 want to make the statement what is my job.

18 MR. PINCUS: It's not far for it to
19 go on like this, John. Tell him to just
20 answer the questions. I'd rather you take a
21 minute and talk with him privately.

22 A I'm answering questions, I'm
23 familiar with that.

24 Q In the Howell-Li experiment, am I
25 correct that the experiments were carried out in

1 Ludwig E. Feinendegen, M.D. 137

2 Falcon tubes?

3 A Yes, they were both using Falcon
4 tubes.

5 Q The cells were suspended in 2
6 milliliters of medium?

7 A I have to look it up and see whether
8 it's correct what you say. I believe you, so I
9 take it.

10 Q In the Bishayee experiment, am I
11 correct that the cells were transferred to Helena
12 tubes?

13 A They were both Helena and Falcon
14 tubes.

15 Q They were transferred to Helena
16 tubes from Falcon tubes, correct?

17 A Yes.

18 Q In the Howell-Li experiment, am I
19 correct that the tubes were in the cold were
20 incubated on rollers which would aerate the cells
21 and the medium?

22 A I answer that question by saying
23 this is of no relevance to my report.

24 Q Do you know or don't you know is
25 really the response that I'm looking to have from

1 Ludwig E. Feinendegen, M.D. 138

2 you. It's not a question of whether you believe
3 it's relevant or not, I'm asking what you know.

4 A I do not know these details as you
5 just spelled out, whether 2 milliliters or 4
6 milliliters.

7 Q Fair enough. Are you aware that in
8 the Bishayee experiment the cells in the Helena
9 tubes remained stationary?

10 A Yes.

11 Q The dissolved air was consumed and
12 not replaced, in other words, correct?

13 A What?

14 Q The dissolved air was consumed and
15 not replaced; am I correct?

16 A That I do not know.

17 Q Do you consider that the differences
18 between the Falcon tubes, the Helena tubes, the
19 large volume of air versus no room for air,
20 stationary pellets had any significance in these
21 experiments, based on your experience?

22 A I have to ask a question. Am I
23 always to say yes or no?

24 MR. LEONARD: No.

25 A That is what I mean. I am not

1 Ludwig E. Feinendegen, M.D. 139

2 supposed to say yes or no and then being led into
3 being painted as someone who did a sloppy job.

4 MR. LEONARD: No, answer the
5 question, as best you can.

6 A Then I say, this is not relevant to
7 my report.

8 Q You don't find any significance to
9 those differences?

10 A This is not relevant to my report.

11 Q The question was not whether it was
12 relevant, do you find any significance to those
13 differences?

14 MR. LEONARD: Objection to the form.

15 Q Significance to the differences,
16 Falcon tubes, the Helena tubes, the large volume
17 of air, the rolling that I've just described to
18 you, did you draw any significance whatsoever, yes
19 or no?

20 A They have significance regarding the
21 scientific issue but they have no significance
22 regarding the accusation of falsification.

23 Q What was the significance in regard
24 to the scientific issue?

25 A That I can give you an hour lecture.

1 Ludwig E. Feinendegen, M.D. 140

2 Q No, I don't need an hour lecture.

3 A Of course, I know what I'm talking
4 about.

5 Q Do you think that incubation and
6 suspension in the Falcon tubes with aeration would
7 likely produce a different response in the cells
8 compared to incubation --

9 A Yes.

10 Q Let me ask the whole question. Do
11 you think that incubation in suspension in the
12 Falcon tubes with aeration would likely produce a
13 different response in the cells compared to
14 incubation in pellets in the Helena tubes with no
15 aeration?

16 MR. LEONARD: Objection to form.

17 A Yes.

18 Q Were you surprised at all that the
19 results of these two experiments were the same
20 given your response?

21 A No, I'm not surprised.

22 Q I think I know the answer based on
23 some of your earlier testimony, but did you
24 examine the protocols of the Lenarczyk and Howell
25 experiments that failed to repeat Dr. Bishayee's

1 Ludwig E. Feinendegen, M.D. 141

2 results?

3 A Yes, very much so.

4 Q You did examine each of those

5 protocols?

6 A Yes, because I ask my question --

7 yes. This is a very important question and I'm

8 glad you asked that question.

9 Q You answered it, you said yes, okay?

10 A In a way yes.

11 Q Were the protocols that Lenarczyk

12 and Howell followed in their attempts to repeat

13 the results reported in Dr. Bishayee's paper

14 significantly different from the Bishayee

15 protocols?

16 A Yes.

17 MR. LEONARD: Objection to form.

18 Q They were. Do you acknowledge in

19 your review of the Robbins' report that Dr.

20 Robbins compared the protocols and results of

21 Dr. Bishayee versus those of Dr. Lenarczyk and

22 concluded that the protocols were the same?

23 A That I cannot answer the question.

24 I only know that this is a scientific question

25 which is of great importance and it has nothing to

1 Ludwig E. Feinendegen, M.D. 142
2 do with the accusation of falsification. It is of
3 enormous importance scientifically, it's
4 fascinating to see this differences coming up and
5 I have come -- let me just add that, in my own
6 career, similar situations where I just failed to
7 reproduce data and I have paper here that I even
8 cited that in my report, a slight change of
9 conditioning now, it may be the page, it may be a
10 similarity, it may be the concentration of buffer,
11 it may be the type of buffer, all this influences
12 an experimental outcome, and the lack of
13 reproduction of the second group is a scientific
14 question of great interest, but it has nothing to
15 do with the accusation of falsification.

16 It's of great scientific interest
17 but it does not refer to whether the first data
18 were -- and that was the starting point of it all,
19 was falsified or not. That is a very fascinating
20 topic you are opening up here and it is a
21 fascinating scientific issue and I spent, to
22 finish my answer, to make you aware of what that
23 means, I spent more than the whole year once in
24 order to find out why I could in my own laboratory
25 not reproduce data, and then after a whole year of

1 Ludwig E. Feinendegen, M.D. 143

2 very precise efforts I found the conditions, what
3 I called conditions, as you referred to that, and
4 then we could reproduce and then we were off.

5 Q It was important for you to go
6 through those steps to see if you could produce
7 it?

8 A Yes, yes and that needs to be done
9 as a scientific challenge, a real scientific
10 challenge.

11 Q When you talk about the need to
12 reproduce the data as a scientific challenge, that
13 is one of the bedrocks of scientific research,
14 correct, you agree?

15 A Yes.

16 Q I want to turn your attention to
17 page 13 of your report and continuing on to page
18 15 in which you refer to --

19 A Let me just check.

20 Q The issue of hypoxia.

21 A Where are we now?

22 Q Page 13 to 15.

23 A Yes, I'm familiar with that. Here
24 we have the same thing, yeah. Yes.

25 Q The first thing --

1 Ludwig E. Feinendegen, M.D. 144

2 A This is a scientific issue.

3 Q In this regard you refer to what I'm
4 going to mark as Fein 28.

5 (Whereupon, Bishayee report was
6 marked as Fein's Exhibit 28 for
7 identification, as of this date.)

8 Q I'm going to show you -- I just want
9 to make certain that what I'm showing is Exhibit
10 Fein --

11 A Okay.

12 Q In regards to your reference to the
13 Bishayee 1999 experiment, this was the document,
14 again, I realize it's somewhat small but the Bates
15 stamp number B007891 appears down below.

16 A Yes.

17 Q Are you aware that this was the
18 experiment that caused Dr. Hill's attention to
19 possible fabrication of data by Dr. Bishayee?

20 MR. LEONARD: Objection to form.

21 A No.

22 Q You weren't aware of that fact?

23 A No.

24 Q Are you aware that the Office of
25 Research Integrity analyzed Dr. Bishayee's coulter

1 Ludwig E. Feinendegen, M.D. 145

2 counts for this experiment?

3 A No.

4 Q So are you aware that they found
5 them highly significant for insignificant digits?

6 A Highly significant for insignificant
7 digits.

8 Q Yeah, that's the quote.

9 A I'm sorry, this is something not
10 familiar to me.

11 MR. PINCUS: Mark this, please, as
12 Fein 29.

13 (Whereupon, Analysis of Data by DIO
14 was marked as Fein's Exhibit 29 for
15 identification, as of this date.)

16 Q I'm going to show you what we've
17 marked as Exhibit Fein 29.

18 A Thank you.

19 Q Have you ever seen this portion of
20 the ORI report?

21 A No.

22 Q And the second page --

23 MR. LEONARD: Shelly, let me just
24 object. This is not the ORI report, this
25 looks like an attachment to a report.

1 Ludwig E. Feinendegen, M.D. 146

2 MR. PINCUS: Hold on.

3 MR. LEONARD: He's already testified
4 that he knows nothing about the ORI report
5 nor is he being offered as an expert in the
6 this regard.

7 MR. PINCUS: But I'm entitled to ask
8 him whether he saw anything or not.

9 MR. LEONARD: I understand.

10 Q The purpose of the terminology which
11 you thought was comical was contained in the
12 attachment to ORI's report which you've never
13 seen?

14 A No.

15 Q If you've not seen this, you've
16 never seen any portion of the report or any of the
17 attachments?

18 A Of what report?

19 Q The ORI report that is relating to
20 Dr. Howell?

21 A I don't know what ORI stands for.

22 Q You're not familiar with the Office
23 of Research Integrity?

24 A Oh, I see, that's what you mean.

25 No, I'm not familiar with that report. I didn't

1 Ludwig E. Feinendegen, M.D. 147

2 see it.

3 Q So you don't know whether the --

4 A No.

5 Q Let me ask the question. You don't

6 know whether the experiment which you cited in

7 your report which we marked as Fein 28 --

8 A That I have.

9 Q -- you're not aware that that became
10 the subject of scrutiny by ORI, are you?

11 MR. LEONARD: Objection to form.

12 He's already testified now, Shelly, don't
13 mischaracterize what it was.

14 A My 28 is Reddy, is that what you are
15 talking about, Reddy.

16 MR. LEONARD: He's talking about a
17 report that found no official misconduct
18 twice.

19 A 28 is Reddy. What are we talking
20 about?

21 Q You have not seen that report?

22 A What one?

23 Q The ORI report.

24 A No. But you are referring to 28 and
25 that is Reddy. I'm not familiar with that.

1 Ludwig E. Feinendegen, M.D. 148

2 Q You're not aware that this
3 experiment was analyzed by ORI?

4 A No. I got Dr. Robbins' report and
5 Dr. Robbins' report doesn't refer to that, so I
6 didn't go into this. I didn't see any referral in
7 Dr. Robbins' report to ORI.

8 MR. LEONARD: There was none.

9 A It didn't concern me.

10 Q It didn't concern you?

11 A Yeah.

12 Q Fair enough. I understand your
13 response.

14 A Okay, thank you.

15 Q You cited in your report to a
16 certain paper by Neti?

17 A Yes.

18 MR. PINCUS: Let me have this marked
19 as 30.

20 (Whereupon, Article by Neti was
21 marked as Fein's Exhibit 30 for
22 identification, as of this date.)

23 Q Is there a difference between
24 chronic and acute radiation?

25 A Very much so.

1 Ludwig E. Feinendegen, M.D. 149

2 Q Am I correct that chronic doses
3 delivered over a long period of time, perhaps
4 days, yes?

5 You have to give a verbal response.

6 A I'm sorry.

7 Q Am I correct that a chronic dose
8 might be delivered over a long period of time,
9 perhaps days?

10 A Yes.

11 Q An acute dose would be delivered in
12 a short period of time?

13 A Yes.

14 Q You agree that acute radiation is
15 more lethal because the chronic has time to
16 repair?

17 A Yes. Per unit does, right.

18 Q That's what I was referring to
19 earlier when I asked you about sublethal damage
20 repair, remember?

21 A Yes.

22 Q You agree with me?

23 A Yes.

24 Q Am I correct that this paper by Neti
25 deals with chronic rather than acute radiation?

1 Ludwig E. Feinendegen, M.D. 150

2 A That was given over 72 hours, that
3 is chronic radiation, yes.

4 Q You agree with me, correct?

5 A Yes.

6 Q When we go back to Dr. Robbins'
7 report that you said you reviewed which was Fein 1
8 and I want to turn your attention to -- that is
9 his figure 7 which I believe is the last page of
10 that document. I'm talking about Dr. Robbins'
11 report. Go to the last page.

12 A Okay. The last page which is?
13 Figure 7, yeah.

14 Q When you reviewed figure 7, was it
15 your understanding that this figure dealt with
16 acute rather than chronic radiation?

17 A I saw it, I read it and I realized
18 that it is of no consequence to --

19 Q That's not answering my question,
20 please. I really don't want to keep going through
21 this exercise. I'm asking you a very simple
22 question. Please try to confine yourself to
23 responding to it.

24 Is it your understanding that figure
25 7 deals with acute rather than chronic radiation?

1 Ludwig E. Feinendegen, M.D. 151

2 A I say both.

3 Q On what basis do you say both?

4 A I believe it is both, I have to
5 check it again.

6 Q Please take the opportunity to do
7 so.

8 A I divided Dr. Robbins' report into
9 two issues, scientific one and the accusation of
10 fraud. Figure 7. I remember very well when I
11 read this, Elkind and all these people. The
12 average does of a surviving --

13 Q Please try not to talk out loud
14 because the reporter is going to take it down.

15 A I'm sorry. My answer to your
16 question is there is no reference in Dr. Robbins'
17 report whether it's chronic or --

18 Q So you don't know?

19 A No. There is no reference to that.

20 Q Can you distinguish for me in
21 regards to the same cells for hypoxia between
22 indirect and direct methods for doing so?

23 A I'm sorry.

24 Q Can you distinguish between indirect
25 versus direct methods to assay cells for hypoxia?

1 Ludwig E. Feinendegen, M.D. 152

2 A That's a scientific question that
3 may have very many facets. That depends on the
4 methods you choose on the staining technique, you
5 apply the timing -- again, I'll answer this
6 question.

7 Q Take your time --

8 A I cannot answer your question in
9 one sentence.

10 Q In the addressing question of
11 hypoxia in clusters, were you aware that
12 Dr. Howell used indirect methods to assay the
13 cells under conditions that he thought might
14 demonstrate or refute hypoxia?

15 A Yes, and the measurements. Yes.

16 Q You were aware of that?

17 A Yes.

18 Q Are there any other methods that he
19 might have employed to determine the oxygen
20 concentration in the tubes?

21 A I cannot answer that question.

22 Q Do you know about --

23 A Probably yes.

24 Q Probably yes?

25 A Yes.

1 Ludwig E. Feinendegen, M.D. 153

2 Q Are you aware of something known as
3 dissolved oxygen electrode?

4 A Yes.

5 Q Have you ever employed the method
6 itself?

7 A No, in our laboratory, of course. I
8 didn't do it but my people did it.

9 Q At page 15 of your report, in
10 regards to this hypoxia, down at the bottom, you
11 make a statement that "it would take quite
12 sometime before hypoxia sets in"?

13 A Yes, I'm very familiar with that.

14 Q Can you tell me how much time it
15 would take?

16 A No, you cannot say that. Nobody can
17 really say that. It depends when I ask that
18 question a little more elaborately, it depends on
19 the temperature, on the state of cellular
20 metabolism, oxygen consumption rate which differs
21 under different conditions of the media, so that's
22 a very intricate thing to answer.

23 Q You're telling me that you have no
24 actual evidence on which to determine whether it
25 would take quite sometime before hypoxia set in?

1 Ludwig E. Feinendegen, M.D. 154

2 MR. LEONARD: Objection to form.

3 A That questions is yes, it takes time
4 but how much time, that is open. It takes time.

5 Q You don't have any actual evidence
6 that allowed you to measure the amount of time or
7 determine the amount of time, correct?

8 A Well, you could do it if you have
9 the time and you set up a special laboratory for
10 doing that.

11 Q You didn't do it?

12 A I didn't do that.

13 Q That's what I wanted to know. You
14 answered me.

15 MR. PINCUS: Let's mark this as 31,
16 please.

17 (Whereupon, Summary of Experiments
18 was marked as Fein's Exhibit 31 for
19 identification, as of this date.)

20 Q On page 16 of your report you have
21 that little picture over here, see where I'm
22 referring to?

23 A Yes. That's a very important one,
24 yes, sir.

25 Q About the supplier of bottles and

1 Ludwig E. Feinendegen, M.D. 155

2 vials?

3 A Yes.

4 Q To your knowledge, does that figure
5 say anything about Falcon or Helena tubes?

6 A A lot.

7 Q It specifically references Falcon or
8 Helena tubes?

9 A Generally.

10 Q Generally. It doesn't specifically
11 mention Falcon or Helena tubes, that's all I want
12 to know?

13 A It's the conditions of keeping track
14 of cleanliness and properness of your tools and

15 Falcon and Helena tubes are part of the tool set
16 and that is a situation that is generally called
17 the tool set, you need to keep the tools -- all
18 you do in tissue culture cells, it's the same
19 thing as with the timing, it is very variable, you
20 can cut off here, you can cut off there, you can
21 measure the timing and then you get different data
22 depending on the condition of the cell, and here
23 this supplier very definitely states what you must
24 observe to get --

25 Q Clean Falcon and clean Helena tubes?

1 Ludwig E. Feinendegen, M.D. 156

2 A Everything, yeah.

3 Q Your recollection is he specifically
4 mentions Falcon and/or Helena tubes?

5 A I think so because it is a general
6 -- part of the vials in general, the whole thing
7 is --

8 Q Would it assist you if I gave you a
9 blowup?

10 A It's included.

11 Q Your recollection in inserting this
12 quote or this --

13 A I read it very carefully.

14 Q Your recollection is there was a
15 specific reference to Falcon and/or Helena tubes?

16 MR. LEONARD: Objection to form.

17 A No, general vials and tubes, not
18 specific, general vials and tubes.

19 Q Okay, you've answered my question.

20 A Okay.

21 Q You've said that there's all
22 possible -- a lot of possible things can happen?

23 A Yes.

24 Q Do you have any evidence or were you
25 presented any evidence that the methods that

1 Ludwig E. Feinendegen, M.D. 157

2 Dr. Howell or Lenarczyk used to clean their
3 bottles were any different than the methods that
4 were used by Dr. Bishayee to clean his bottles?

5 A No, I have not.

6 Q You have not?

7 A I have no idea.

8 Q I'm going show you what we marked as
9 Fein 31. Have you seen that document?

10 A No, I haven't.

11 Q This was not shared with you?

12 A No, I don't have that. I don't even
13 know what is in it.

14 MR. PINCUS: Mark this as 32,
15 please.

16 (Whereupon, Feinendegen reference
17 was marked as Fein's Exhibit 32 for
18 identification, as of this date.)

19 Q I want to turn your attention to
20 page 17 of your report and you were talking about
21 the pH of the media?

22 A Yes.

23 Q I think it's up at the top.

24 A Yeah.

25 Q Where you say that pH changes of

1 Ludwig E. Feinendegen, M.D. 158

2 only 0.1 of a unit --

3 A Yeah.

4 Q -- completely abrogated some
5 experimental responses in your laboratory,
6 correct?

7 A Yes.

8 Q You cite, in fact, to an article
9 that you co-authored which I now show you which
10 has been marked as Exhibit Fein 32?

11 A Yeah.

12 Q You're familiar with that "Acute and
13 temporary inhibition of thymidine kinase in mouse
14 bone marrow cells after low-dose exposure"?

15 A That's one of the papers I'm most
16 proud of.

17 Q I want to turn your attention to
18 page 206 in the article, I believe figure 1.

19 A Yes, correct.

20 Q This was what you were referring to,
21 correct?

22 A Yeah.

23 Q Am I correct that this article shows
24 that it was changes of 0.2 of a pH unit, not 0.1
25 unit led to a decrease of about 25 percent?

1 Ludwig E. Feinendegen, M.D. 159

2 A We have here the pH value down at
3 the abscissa and you can see that there are quite
4 a few experiments and the statistical analysis was

5 -- nevertheless, you see that the pH change from
6 about 7.4 to 7.6 fully abolished the response of
7 the cells.

8 Q There was a change of what you just
9 described to me as 0.2, not 1?

10 A Yeah, but this in between, this is
11 science, this is a scientific question.

12 Q I'm sorry, I have to ask you some
13 scientific questions.

14 A I'm very familiar with science.

15 Q You're saying that this chart does,
16 in fact, show a change of 0.2 of the pH unit, not
17 1?

18 A No. These are the data points and
19 the data points are connected by curves and the
20 process of linking these data points is called
21 extrapolation, and by extrapolation you can
22 clearly say that the changing from 7.4 to 7.6 you
23 abolish.

24 Q Your position is this chart shows a
25 complete abrogation, not a decrease of about 25

1 Ludwig E. Feinendegen, M.D. 160

2 percent from 4,000 to 3,000?

3 A It's not the right way.

4 Q Explain it.

5 A The scientific effect lies in the
6 difference between these two curves, the control
7 and the irradiated, okay, and this irradiated
8 curve which is the solid curve with the dot and
9 the other one is the solid curve with a light dot,
10 the upper curve is the control and the lower curve
11 is the irradiated curve, so you abolish the
12 radiation effect in that system by moving the pH
13 from 7.5 to 7.6.

14 Q Would you agree that the effects
15 that are described in this paper, Fein 32, have
16 nothing to do with cellular survival after
17 exposure to tritiated thymidine?

18 A I do not want to say that. I leave
19 it open to interpretation, I only want to clearly
20 state that minute changes -- now we come back to
21 that word conditioning, that means a similarity
22 buffer, pH, temperature, et cetera, et cetera,
23 these slight changes may drastically alter an
24 experimental outcome, that's my point.

25 Q But you would agree that this paper

1 Ludwig E. Feinendegen, M.D. 161

2 does not discuss cellular survival after exposure?

3 A That was not the issue.

4 Q It didn't discuss it, correct,

5 that's all you have to say?

6 A No, it discusses it, I'm sorry. It

7 discusses the allegations by Dr. Robbins in his

8 report.

9 Q No, I'm not talking about your

10 report, I'm talking about this paper that we just

11 marked. Am I correct that the effects described

12 in the paper itself have nothing to do with

13 cellular survival after exposure to tritiated

14 thymidine?

15 A I cannot answer this question with

16 yes or no, it is perhaps.

17 Q Perhaps, okay, I understand. Still

18 on page 17, in that subsection C where you talk

19 about level of trace elements in the water,

20 wetting agents on filter apparatus, methods used

21 to clean bottles, sodium bicarbonate product

22 change.

23 A Yes.

24 Q For any of those four reasons, do

25 you have any facts or data to support any of these

1 Ludwig E. Feinendegen, M.D. 162

2 as being the reasons leading to the inability to
3 replicate the experiments in question?

4 MR. LEONARD: Objection to form.

5 A This is a scientific question, I
6 can't answer that.

7 Q You can't answer it?

8 A No. It's possible, it's likely.
9 It's even likely.

10 Q Anything is possible.

11 A It's likely.

12 Q On what basis do you say it's
13 likely?

14 A This is just an example of two of
15 these conditions, the pH and sodium bicarbonate.

16 Q Did you do any investigation or
17 analysis to determine whether the reasons had a
18 basis in fact in this case?

19 MR. LEONARD: Objection to form.

20 A In what case?

21 Q In this particular case.

22 A It's a scientific question, it's a
23 hypothesis. You know, hypothesis is the meat of
24 science.

25 Q I understand you're stating a

1 Ludwig E. Feinendegen, M.D. 163

2 hypothesis but do you have any facts to support
3 the hypothesis?

4 A We have plenty of facts.

5 Q What facts do you have that led you
6 to conclude that the level of trace elements in
7 the water affected the ability or inability to
8 replicate these experiments?

9 MR. LEONARD: Objection to form.

10 A That question is -- I don't know,
11 that is out in the wood.

12 Q What facts do you have that led you
13 to conclude that wetting agents on the filter
14 apparatus contributed to the inability to
15 replicate these experiments?

16 MR. LEONARD: Objection to form.

17 A You've asked the wrong question.
18 You said contributed, I said there is a
19 possibility that changes in the media condition
20 may very seriously interfere with cellular
21 behavior leading to different cellular data
22 outcome.

23 Everyone who experiments, if you are
24 not extremely careful in handling the cells, even
25 if you breath on cells, so to say, they change

1 Ludwig E. Feinendegen, M.D. 164

2 their behavior. I have in my book samples of
3 slight changes with time, temperature,
4 conditioning so they behave totaling different.

5 Q You measured those things, right,
6 that's why it's in your book?

7 A Yes.

8 Q What data or what facts do you have
9 to support that the wetting agents --

10 A Can do that.

11 Q Not can, did.

12 MR. LEONARD: Objection to form.

13 A I did not say that.

14 MR. LEONARD: You're
15 mischaracterizing his testimony.

16 Q Do you have any facts, not whether
17 it's possible, I'm saying, do you have any facts
18 to support that the wetting agents, in fact,
19 affected the ability to replicate these
20 experiments?

21 MR. LEONARD: Objection to form.

22 A I did not do the work in the
23 laboratory. This question is unanswerable.

24 Q Did Dr. Howell supply you any facts?

25 A No. That question is not

1 Ludwig E. Feinendegen, M.D. 165

2 answerable.

3 Q Did he supply you any facts with
4 regard to the sodium bicarbonate product?

5 A We are talking science, that has
6 nothing to do with my report.

7 Q You don't have any actual facts or
8 data on which to support that these four items
9 that you've described on page 17 of your report
10 were a basis on which the experiments could not be
11 replicated?

12 MR. LEONARD: Objection to form.

13 A Cannot be answered.

14 Q You did no independent investigation
15 or analysis?

16 A On what?

17 Q On these four questions.

18 A I did. I mean, in other condition
19 circumstances.

20 Q No, not other. As to these
21 circumstances?

22 A I did not do these experiments. I
23 did not work in Howell's lab. I worked in a
24 different lab and I had my own experiences and I
25 know that if you work hard in the garden, you get

1 Ludwig E. Feinendegen, M.D. 166
2 colors on your hands, okay, and when that happens
3 to me it's likely to happen also to you and it's
4 likely also to happen to you.

5 If you get into the sunshine and you
6 are, let's say, brunette you get tanned, that
7 happens to me even if I'm blue eyed, but it
8 happens also to others. So that is a
9 generalization which is totally valid to make.

10 If the earth shakes buildings break
11 down, just not one building but other buildings
12 too. If you ask me whether I had evidence that
13 that particular building broke down, I cannot
14 answer that question.

15 Q You've answered me.

16 A You agree with me, of course.

17 Q You cite on page 17 a link, the
18 hyclone.com link, you see where I'm at?

19 A Where is it?

20 Q On page 17 of your report.

21 A Where is it?

22 Q Below those four items.

23 A Yeah.

24 Q Are you aware that that's a dead
25 website?

1 Ludwig E. Feinendegen, M.D. 167

2 A No.

3 Q Do you have a copy of the document?

4 A No, I did not look at that website.

5 I cited it but I did not look at that website, I

6 tried to but I didn't look at it. I thought it

7 was not available anymore so I took it as it is

8 and the document asks "actually supported by

9 recommendations regarding the testing of

10 disposable laboratory supplies." So this -- I

11 took that to be expressed by this figure here.

12 Q You went to look at the link, when

13 you looked at the link it was not available?

14 A Yeah. I should have crossed it out

15 perhaps.

16 Q You don't have a copy of what those

17 recommendations were that you allude to in your

18 report, correct?

19 A What?

20 Q You don't have a copy of what those

21 recommendations were that you cite in your report?

22 A No. Here we are, there is a general

23 recommendation by every manufacturer, any

24 manufacturer who supplies tissue culture tools,

25 they all more or less say the same thing, it is

1 Ludwig E. Feinendegen, M.D. 168
2 just like a -- let's say a recommendation how you
3 cross street, you should first look, then look
4 left, then right, then see if cars are coming or
5 not, these are generally valid.

6 This what I put in here is only to
7 fortify the need to be extremely careful in
8 handling the conditions of culture. Now,
9 inadvertently, and that you cannot avoid, that has
10 nothing to do with falsification, we are talking
11 science, there may be perhaps a change in
12 temperature or a slight change in the ratio of
13 oxygen to carbon dioxide in the medium which will
14 flush on the tubes, all these little things are
15 very well known to everyone who works with tissue
16 culture cells, and inadvertently you cannot
17 control that all the time perfectly, things may
18 happen, even despite you being very careful it
19 happens, and then some things come up which are
20 totally unexpected.

21 This is what I wanted to point out,
22 this is science, it has nothing to do with Dr.
23 Robbins' statement that the data should be
24 falsified, this is totally different things.

25 Q I just want to be clear, you never

1 Ludwig E. Feinendegen, M.D. 169

2 actually had the opportunity to review --

3 A That website.

4 Q -- that website?

5 A Correct.

6 Q In regard to serum which you then
7 refer to on page 17.

8 A Same thing.

9 Q What same thing?

10 A If you took the serum and you are
11 not very careful about the amount of ATP in that
12 serum you may get totally different results. It
13 happened to me, it happened to others, you have to
14 -- it's really a lot of work to find out why
15 something comes out differently, that's a mystery,
16 but it has nothing to do with falsification.

17 Q Do you have any evidence for any of
18 the differential responses to Trypsin or serum in
19 any experiments that were taken in the Howell lab?

20 A No.

21 Q Are you aware that Dr. Howell
22 himself eliminated serum as a reason after he
23 obtained the original serum used by Dr. Bishayee
24 from the supplier?

25 A No. Now, this --

1 Ludwig E. Feinendegen, M.D. 170

2 Q You answered my question.

3 A Okay.

4 MR. PINCUS: This will be 33.

5 (Whereupon, Article by Zyuzikov was

6 marked as Fein's Exhibit 33 for

7 identification, as of this date.)

8 Q Let me first establish what we've

9 marked as Fein 33, that's the paper that we're

10 referring to; is that correct?

11 A Yes.

12 Q You have your copy?

13 A Yes.

14 Q So, am I correct that this paper

15 refers to survivals of different clones in V79

16 cells, correct?

17 A Yes.

18 Q Are you aware that Dr. Howell did

19 not isolate clones that were, in fact, controlled

20 for genetic drip by freezing down aliquots of

21 cells to renew his cultures from time to time?

22 A I just don't know.

23 Q You don't know?

24 A No.

25 MR. LEONARD: Objection to form.

1 Ludwig E. Feinendegen, M.D. 171

2 Q Assume that he did for purposes of
3 my next question. Do you agree that that
4 procedure would assure uniformity of cells that he
5 used?

6 MR. LEONARD: Objection to form.

7 You can answer, if you can.

8 A Well, no, it's unpredictable,
9 period.

10 Q Why is it unpredictable?

11 A Because the cells make jumps without
12 us knowing it and they do it, they make all kinds
13 of -- they call that sometimes genomic
14 instability, for example.

15 Q I'm sorry, give me the name?

16 A We call that genomic instability.
17 To make sure that a cell does not change during an
18 experimental procedure is very difficult, you
19 assume it is and sometimes when it's lucky enough
20 to have the same cell for five years and then
21 otherwise the cells don't do it anymore, they have
22 changed.

23 So cells are living systems and
24 living systems are always subject to all kinds of
25 environmental influences in the media and in the

1 Ludwig E. Feinendegen, M.D. 172
2 physical environments, temperature, even a
3 magnetic field may change cellular metabolism, I
4 have measured that, and so there are certain --
5 cells are living things which behave sometimes
6 very erratically without any reason, we don't
7 know, and then it is -- if we do have the courage
8 and the time to analyze these, great, but
9 sometimes other questions are more urgent and go
10 in a different direction.

11 My point again is, the question put
12 to me, is there any evidence whether the data
13 published by Bishayee in Dr. Robbins' report have
14 been falsified or not. My conclusion is from all
15 what I know, there is no evidence to maintain the
16 accusation of falsification.

17 Q The question that was put to you --

18 MR. LEONARD: Excuse me.

19 (Pause.)

20 Q Who put that question to you?

21 A Which?

22 Q That you just cited to?

23 A That's Dr. Robbins' report. Dr.

24 Robbins makes this claim, he states it very

25 clearly in the beginning. He says, experiments

1 Ludwig E. Feinendegen, M.D. 173
2 that were reported in two publications and used as
3 permanent data have not and indeed cannot be
4 replicated. Secondly, there's observations
5 reported by Dr. Bishayee in the cause of
6 performing Dr. Bishayee's experiments using a cell
7 culturing, and then he goes on and says later on,
8 cannot be true and that I picked up in my report.

9 You see, there's a very serious
10 statement and from all what I know this -- the
11 answer to that question that Dr. Robbins poses is,
12 can cells in that laboratory under this condition
13 be hundred percent labeled in order to get the
14 thymidine in all cells so that all cells can be
15 irradiated and all cells can be damaged except
16 that they may be dead. The answer is yes because
17 of the way the experiment was conducted, and I say
18 it again, the amount of thymidine used in this
19 experiments were far below the level of thymidine
20 that was needed to disturb the pool. If that
21 would not have been the case, I would agree with
22 Dr. Robbins.

23 Q Are you aware that there were at
24 least 22 experiments performed by Dr. Howell and
25 Lenarczyk did that did not reproduce the results?

1 Ludwig E. Feinendegen, M.D. 174

2 A Yes. Fascinating, yes.

3 Q Let me ask the question.

4 A I'm sorry.

5 Q Are you familiar that there were at

6 least 22 experiments performed by Dr. Howell and

7 Lenarczyk that did not reproduce the Bishayee

8 results -- the results reported in the two papers

9 in radiation research --

10 A Yes.

11 MR. LEONARD: Objection to form.

12 Q -- and which were cited as

13 preliminary results in the original grant

14 application and in the renewal application for the

15 grant, you are aware of that?

16 MR. LEONARD: Objection to form.

17 A Yeah, I'm aware that these

18 experiments were done and there is now a very

19 great challenge.

20 Q All you had to do is say you're

21 aware of the experiments?

22 A Yes.

23 Q Were you ever privy to a report that

24 Dr. Howell gave to his supervisor in April of 2001

25 in which he did not disclose the fact that eleven

1 Ludwig E. Feinendegen, M.D. 175

2 experiments had already been performed by Dr.
3 Lenarczyk?

4 MR. LEONARD: Objection to form.

5 Q You weren't aware of any such
6 report?

7 A No.

8 Q Given your experiences, obviously
9 you've worked in controlled labs for years, do you
10 think that in the event there was an issue or a
11 problem regarding reproducibility, Dr. Howell had
12 an obligation to report it to his chairman?

13 A No. Here we come to the question of
14 science. I put myself now in the position of
15 Dr. Howell who I didn't know at that time. If
16 that would happen to me, I get an experiment and
17 then I try to repeat the experiment and don't
18 succeed, an alarm bell goes off, why, there must
19 be a reason, and this reason is a scientific
20 question. What is the reason.

21 It could be -- I could name off not
22 only just that we discussed the vials,
23 cleanliness, it could be the composition of the
24 culture medium unknown, it happened to me from the
25 same supplier, suddenly our cells didn't respond

1 Ludwig E. Feinendegen, M.D. 176
2 anymore as they used to do and we found out
3 eventually the hard way it was a change in the
4 media supplied by the same company, my lab, and so
5 this -- and the search, as I understand, is still
6 going on why is there a discrepancy but that is a
7 scientific question.

8 Q As a group leader, would you expect
9 your subordinates to report such problems to you?

10 A No, it's a scientific question. I
11 mean, depending on to whom I report. If I am an
12 independently working scientist, I'm responsible
13 to the scientific community, that's not a question
14 of reporting to the superior, it's a scientific
15 question, what did we do, what is wrong.

16 Q If you had associates working on a
17 grant with you in your lab and they had
18 difficulties reproducing results, you would have
19 no expectation that your subordinate would report
20 that to you?

21 A That question is not quite complete
22 in that it was in certain way reproducible because
23 many other people saw the thing, same thing, and
24 it was reproducible in a way, but suddenly it
25 changed. It is not so as you now implied that

1 Ludwig E. Feinendegen, M.D. 177
2 there was one experiment and then the others
3 didn't follow, there was a number, a large -- I
4 gave you the data before, I don't know how many,
5 they all showed this exponential survival curve,
6 so it was something strange and suddenly something
7 that was reproducible becomes not reproducible
8 anymore.

9 It was not one experiment that could
10 not be reproduced, it was a whole series of
11 experiments which were in line actually with
12 observations other people have made, myself
13 included.

14 Q Were you aware that by the time the
15 University's First Committee on Scientific
16 Misconduct adjourned that Dr. Howell or Lenarczyk
17 had performed 18 experiments that did not
18 reproduce the Bishayee results?

19 MR. LEONARD: Objection to form.

20 A No.

21 MR. LEONARD: You can answer.

22 A I don't know anything.

23 Q Do you think he had an obligation to
24 report that to the committee?

25 MR. LEONARD: Objection to form.

1 Ludwig E. Feinendegen, M.D. 178

2 A What?

3 Q That they were unable to reproduce

4 the results of Dr. Bishayee in those 18

5 experiments?

6 A No, it's a scientific question.

7 Q I'm asking you to answer it.

8 MR. LEONARD: He did, he said no.

9 Q Your answer is they had no

10 obligation?

11 A To whom?

12 Q To the committee?

13 A Which committee?

14 Q The University Committee on

15 Scientific Misconduct.

16 MR. LEONARD: How would he know

17 their policy, Shelly.

18 A I don't know what the issue was.

19 Q You don't know?

20 A I don't know. I don't know the

21 circumstances. I cannot answer this.

22 Q Have you ever submitted reports to

23 the National Institute of Health regarding grants?

24 A No.

25 Q You never have?

1 Ludwig E. Feinendegen, M.D. 179

2 A No, I work there.

3 Q Were you aware that he submitted
4 annual reports to the NIH without ever mentioning
5 the inability of members of his lab to reproduce
6 the Bishayee results?

7 MR. LEONARD: Who?

8 Q Dr. Howell?

9 MR. LEONARD: Objection to form.

10 A I can't answer that question, it
11 goes far beyond -- in order to answer that
12 question honestly, I must know the details.

13 Q You don't know any details, is what
14 you're telling me?

15 A No. I have the report by Dr.
16 Robbins, okay, I examined the report by
17 Dr. Robbins and I accept the probabilities.

18 Q You limited yourself to the Robbins'
19 report, I understand what you're saying.

20 A Yes.

21 Q Let me ask you this question: Are
22 you aware that the two papers by Bishayee that are
23 in radiation research contain results that have
24 been cited numerous times in the literature since
25 then?

1 Ludwig E. Feinendegen, M.D. 180

2 A Yes, because they weren't confirmed
3 with other people's observations, it was nothing
4 strange.

5 Q Do you believe that a scientist has
6 an obligation to the scientific community to
7 inform a journal that results in the papers could
8 not be reproduced?

9 A No. I'm sorry. If you do an
10 experiment, you do that in your own laboratory
11 many times and they did that and they could
12 reproduce it, it's not just one experiment that
13 could not be reproduced, there's a whole series of
14 experiments that you have to -- then they are
15 ready.

16 Now the new experiment was done and
17 different results come up and then you ask why,
18 and now it's a question in subsequent publications
19 to -- that is being done, why is that so.

20 Q Are you telling me that it's your
21 understanding that Dr. Howell was able to
22 reproduce the Bishayee results?

23 A Initially, yes. There was a whole
24 series of experiments, they all showed the same
25 data, and then suddenly it didn't work anymore, so

1 Ludwig E. Feinendegen, M.D. 181

2 that is a scientific --

3 Q In other words, the experiments that
4 you're referring to that all showed the same thing
5 were the ones that Bishayee performed?

6 A No, Harapanhalli, for example.

7 Q I'm confining my question right now
8 to the experiments that were done within the
9 Howell lab itself, nothing external, you with me?

10 A Yeah. Harapanhalli was at this
11 place. You see, it's not so -- I mean, as I
12 understand that what was in front of me, right, I
13 have no other further detail, I didn't visit the
14 lab, I didn't ask people, I don't know, I didn't
15 even ask you what really happened, I just got that
16 what I have and my question was, is this
17 accusation -- the suspicion of fraud justified and
18 my conclusion is no, it is not, and that is the
19 point, everything else is scientific, and it
20 happens not rarely that people cannot reproduce
21 initial data, even if initially they were
22 reproducible.

23 Let's say you do it three, four,
24 five times and they work, I think you've done it
25 eight times, if I remember correctly, from the

1 Ludwig E. Feinendegen, M.D. 182

2 data I have here, and they're all showing the
3 indication that all cells, hundred percent cells
4 have been labeled, that means they have gone
5 through the cell cycle, there was no cell cycle
6 block that had to be overcome by deoxycytidine.

7 Q Are you aware that Dr. Howell is a
8 member of the Medical Internal Radiation Dose
9 Committee?

10 A No. I guess he should be.

11 Q In the spring -- we're almost done
12 here. In the spring of 2001, am I correct that
13 yourself, Dr. Hill, Dr. Azzam, Dr. Howell, Dr. Hei
14 of Columbia and a Dr. Dilmanian at Brookhaven were
15 engaged in writing a grant application together?

16 A Yes, very much so.

17 Q That was ultimately submitted to the
18 Department of Education?

19 A No, energy.

20 Q Energy, okay. You developed a
21 friendly relationship with members of this group;
22 is that fair to say?

23 A We became friends. I stayed at
24 Lanie Hills house and enjoyed very much taking
25 walks with the dogs in the morning.

1 Ludwig E. Feinendegen, M.D. 183

2 Q In March of 2002 did Dr. Hill
3 arrange for you to give a seminar at the New
4 Jersey Medical --

5 A Yes, I enjoyed this very much.

6 Q Did you receive an honorarium for
7 that seminar?

8 A I don't even know.

9 Q You've been a guest in the Hill
10 house?

11 A Yes.

12 Q Did they take you to dinner?

13 A Yes.

14 Q Did they take you as a guest to the
15 Explorers Club banquet?

16 A Yes, I enjoyed that.

17 Q Did they take you to the reception
18 the next day?

19 A Did we? Lanie, what was it, did we
20 go to the reception the next day? I think we went
21 home that night.

22 Q Did you see any basis in those
23 experiences to recuse yourself in this proceeding?

24 MR. LEONARD: We're done, right?

25 MR. PINCUS: Not quite.

1 Ludwig E. Feinendegen, M.D. 184

2 A What?

3 Q Did you see any basis in which to
4 recuse yourself from this proceeding based on your
5 experiences?

6 A No, this is strict science, that has
7 nothing to do with personal relationships or
8 friendships. We are arguing here science and I
9 got a report here that was a very interesting
10 question and also a scientific question and I
11 tried to do the best and I didn't see any -- I
12 felt sorry that --

13 Q On page four --

14 MR. LEONARD: Let him finish his
15 answer. He felt sorry what?

16 MR. PINCUS: He's giving the same
17 speech over and over again.

18 MR. LEONARD: You felt sorry. You
19 felt sorry about what?

20 A That our personal relationship was
21 there but I thought I could clarify and help by
22 going through that Robbins' report very carefully
23 and did the best I could to come to some kind of
24 an understanding for this happening.

25 Q Are you aware of the acronym of

1 Ludwig E. Feinendegen, M.D. 185

2 which is IUPAC-IUB as it being an authority on
3 biochemical acronyms?

4 A Would you spell that?

5 Q Sure. IUPAC-IUB.

6 A Yeah.

7 Q Do you recognize that as an
8 authority on biochemical acronyms?

9 A Yeah.

10 Q Are you aware that that body
11 abbreviates thymidine as T small d capital R?

12 A Listen --

13 Q Just answer my question.

14 A My answer is the following: There
15 are many ways of acronyming thymidine. My point
16 is and I think you are referring to that, I
17 criticize as kind of a negligence to stick to one
18 acronym, I don't care which one, in the report but
19 don't use different acronyms in one report in
20 order for those who are not familiar with it, I am
21 familiar with it, I don't care, but it is just a
22 slight oversight which I pointed out.

23 Q I wanted to understand the basis for
24 your comment.

25 A Yes. I don't care whether you call

1 Ludwig E. Feinendegen, M.D. 186
2 it TdR or ThR. If you write a report you should
3 choose only one acronym in order to make yourself
4 understood.

5 MR. PINCUS: I'm done. Thank you
6 for your time. Unless you have any
7 questions.

8 MR. LEONARD: No questions.

9 (Time noted: 3:25 p.m.)

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1			188
2		I N D E X	
3	WITNESS	EXAMINED BY	PAGE
4	LUDWIG E. FEINENDEGEN	Mr. Pincus	4
5			
6		E X H I B I T S	
7	FEIN	DESCRIPTION	PAGE
8	1	Dr. Robbins' report	16
9	2	Dr. Feinendegen's report	16
10	3	Dr. Feinendegen's C.V.	16
11	4	Pitt report	16
12	5	Article by J.E. Cleaver and R.M. Holford	45
13			
14	6	Article by Fujikawa- Yamamoto and Odashima	47
15	7	Article by Ehmann	51
16	8	Article by Alan Pollack	52
17	9	Article by C.A. Hoy, et al	54
18			
19	10	Article by Valerie Hu	56
20	11	Feinendegen chart	67
21	12	Article by Burki	68
22	13	Article by Sinclair	70
23	14	Article by Elkind and Sutton	72
24			
25			

1			189
2		E X H I B I T S (Cont'd)	
3	FEIN	DESCRIPTION	PAGE
4	15	Document "Technical Resources-Media Formulations"	73
5			
6	16	Article by Bedford	81
7	17	Article by Marin and Bender	83
8			
9	18	Article by Chan	85
10	19	Article by Burki and Okada	87
11	20	Article by Drew and Painter	91
12			
13	21	Article by Drew and Painter	93
14	22	Article by Keprtova and Minarova	94
15			
16	23	Article by Persaud	106
17	24	Article by Panter	108
18	25	Howell-Li experiment	116
19	26	Article by Howell and Goddu	121
20	27	Article by Bishayee	133
21	28	Bishayee report	144
22	29	Analysis of Data by DIO	145
23	30	Article by Neti	148
24	31	Summary of Experiments	154
25			

1			190
2	E X H I B I T S (Cont'd)		
3	FEIN	DESCRIPTION	PAGE
4	32	Feinendegen reference	157
5	33	Article by Zyuzikov	170
6	DOCUMENT REQUESTS:	PAGE	LINE
7	List of references	21	13
8	List of literature read		
9	for purposes of gaining		
10	knowledge to prepare report	23	18
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

