

0001

UNITED STATES DISTRICT COURT
DISTRICT OF NEW JERSEY
CASE NO. 03-4837 (DMC)

1	UNITED STATES OF AMERICA EX	:
2	REL. DR. HELENE Z. HILL	:
3		:
4	Plaintiffs,	:
5		:
6	-v-	:
7		:
8	UNIVERSITY OF MEDICINE &	:
9	DENTISTRY OF NEW JERSEY, DR.	:
10	ROGER W. HOWELL and DR. AUPAM	:
11	BISHAYEE,	:
12		:
13	Defendants.	:

VOLUME I
DEPOSITION OF: DR. ROGER W. HOWELL
DATE: DECEMBER 18, 2008

TAYLOR & FRIEDBERG
Certified Court Reporters
120 Washington Street
Morristown, New Jersey 07960
(973) 285-0411
E-mail: csr@taylorfriedberg.com

0002

A P P E A R A N C E S:

BUCCERI & PINCUS
BY: SHELDON H. PINCUS, ESQ.
1200 Route 46
Clifton, New Jersey 07013
(973) 773-5665
Attorney for Plaintiff

McELROY, DEUTSCH, MULVANEY & CARPENTER, LLP
BY: SCOTT S. FLYNN, ESQ.
BY: JOHN P. LEONARD, ESQ.
1300 Mount Kemble Avenue
Morristown, New Jersey 07962
(973) 993-8100
Attorney for Defendants

9
10
11
12
13

14
15
16
17
18
19
20
21
22
23
24
25
0003

1 TRANSCRIPT of the deposition of the
2 above-named witness, called for Oral Examination in the
3 above-entitled matter, said deposition being taken pursuant
4 to Superior Court Rules of Civil Practice and procedure, by
5 and before LORI YUCHT, a Certified Court Reporter and Notary
6 Public of the State of New Jersey, License No. 30X100200400,
7 at the Law Offices of McElroy, Deutsch, Mulvaney &
8 Carpenter, LLP, 1300 Mount Kemble Avenue, Morristown, New
9 Jersey, on Thursday, December 18, 2008, commencing at 9:39
10 in the forenoon.

11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0004

I N D E X

1	WITNESS	DIRECT
2		
3	DR. ROGER W. HOWELL	
4	By Mr. Pincus	5

5
6
7
8
9
10
11
12
13
14
15

E X H I B I T S

NUMBER	DESCRIPTION	PAGE
Howell-1	Experiment dated 9/6/99	18
Howell-2	Experiment dated 9/6/99	18
Howell-3	Experiment dated 9/20/99	28
Howell-4	Pg. 29 of Grant application	44
Howell-5	Experiment dated 10/2/00	48
Howell-6	Experiment dated 12/14/00	48
Howell-7	Experiment dated 5/3/01	48
Howell-8	Experiment dated 5/21/01	48

16	Howell-9	Experiment dated 6/21/01	48
17	Howell-10	Article	63
18	Howell-11	Article	63
19	Howell-12	Experiment dated 11/11/00	70
20	Howell-13	Experiment dated 11/28/00	70
21	Howell-14	Experiment dated 2/19/01	70
22	Howell-15	Experiment dated 7/16/01	82
23	Howell-16	Experiment dated 9/27/01	82
24	Howell-17	Experiment dated 12/26/00	93
25	Howell-18	Experiment dated 1/15/01	93

0005

1

I N D E X

2

(Continued)

3

E X H I B I T S

4	NUMBER	DESCRIPTION	PAGE
5	Howell-19	Experiment dated 2/5/01	93
6	Howell-20	Experiment dated 6/14/01	93
7	Howell-21	Experiment dated 7/5/01	93
8	Howell-22	Experiment dated 11/20/00	110
9	Howell-23	Experiment dated 11/28/00	110
10	Howell-24	Experiment dated 2/15/01	110
11	Howell-25	Recount of 4/17/01 tubes	125
12	Howell-26	Experiment dated 4/19/01	125
13	Howell-27	Experiment dated 5/3/01	125
14	Howell-28	Experiment dated 6/28/01	125
15	Howell-29	Summary of Experiments	139
16	Howell-30	Article	196
17	Howell-31	Experiment dated 4/2/01	206

18

19

20

21

22

23

24

25

0006

1

D R. R O G E R W. H O W E L L,

2

residing at 2 Aaron Drive, Millington, New Jersey, having

3

been duly sworn by the Notary, testifies as follows:

4

5

DIRECT EXAMINATION BY MR. PINCUS:

6

Q Dr. Howell good morning. I know I

7

met briefly with you a few moments ago but my name

8

is Sheldon Pincus. I am representing Dr. Hill in

9

this matter that is pending in the United States

10

District Court for the District of New Jersey

11

against the University, yourself and Dr. Bishayee.

12

Have you ever had your deposition

13

taken before?

14

A No, I have not.

15

Q Then let me give you a few ground

16

rules.

17

Depositions are part of a process

18

known as Discovery. Discovery is a pretrial fact

19

finding exercise that attorneys engage in, it is one

20

of a number of tools that we have available to us.

21 In this particular one, we have the opportunity to
22 meet with individuals whom we reasonably believe
23 have information, factual information, relevant to
24 the matter in dispute. What it is is essentially a
25 question-and-answer session. The only differences

0007

1 are the following: You have been administered an
2 oath. And having done that it is important that you
3 know that you must tell the truth. While we are
4 seated informally around this conference table in
5 your attorney's law office this proceeding has as
6 much seriousness and solemnity as though we were
7 actually in a courtroom. And in fact everything is
8 being taken down by our stenographer, Lori, here
9 today, that is one of the other differences, and
10 will be transcribed into a booklet known as a
11 transcript, which is used by us for various reasons
12 during the course of the proceeding.

13 That being said what I am going to do
14 is, I'm going to ask you a series of questions and I
15 simply want you to answer them to the best of your
16 ability. I am not here to trick you, I am not here
17 to mislead you. So if you don't understand a
18 question that I ask it is important that you tell
19 me. So if you don't understand a term or something
20 else that I have said please don't hesitate to ask
21 for clarification or repetition because if you
22 answer the question, I am going to assume that you
23 understood that question and you're capable of
24 answering it fully and responsively.

25 Do you understand that?

0008

1 A Yes. But I have a question.

2 Q Go ahead.

3 A You know this is a technical subject
4 so there is probably going to be instances where you
5 don't understand. And how will we deal with that?

6 Q Well, I will have to do some
7 follow-up questions if I don't understand exactly
8 what it is you have responded to me. Okay? We will
9 cross that bridge. But let me just give you these
10 other general questions.

11 If you need a question repeated don't
12 hesitate. As I said, you may not know the answer to
13 every question simply tell me that. But keep in
14 mind the distinction between not knowing and not
15 remembering. Not knowing means, I can sit here and
16 ask you the same question from now until eternity,
17 you won't be able to answer the question. But if
18 you say, I don't remember, I may be able to jog your
19 memory by orienting you to an event, or events, or
20 to a particular document that may assist you to
21 respond to the question.

22 During the course of my questioning,
23 your counsel, Mr. Flynn may have an objection to a
24 question. In the event that he does kindly hold
25 your response affording him the opportunity to set

0009

1 forth his objection on the record and then will
2 direct you whether to answer the question or not; in
3 other words there may be instances where Mr. Flynn
4 sets forth an objection but he nonetheless directs
5 you to respond to the question, that is just
6 attorneys doing their lawyering thing. But if he
7 says, you can answer the question, you go right
8 ahead and do so.

9 While I expect this to last awhile it
10 is important that you know that this is not a
11 marathon session and if you need a break, or breaks,
12 I don't want you to be bashful, I want you to tell
13 me if you need to use the men's room, you want
14 something to drink or eat. Please don't hesitate,
15 we will afford you that courtesy.

16 The most important -- go ahead.

17 A I will need to take some breaks here
18 and there to conduct business at the school. I'm
19 organizing a symposium and I have to...

20 Q If you need that let me know and we
21 will do our best. My goal is to finish today if at
22 all possible. And so hopefully, you can attend to
23 your needs while at the same time allowing me to
24 attend to mine.

25 This isn't a videotaped deposition so

0010

1 it is important that you give a verbal response. If
2 you give a gesture, or you're describing something,
3 I may describe it for the record that -- and if I
4 remind you to give a verbal response please don't
5 take offense it is just that we want the transcript
6 to come out accurately insofar as what your response
7 was.

8 And the last and most important
9 instruction that I can give to you is the following:
10 So we don't get yelled at by Lori in terms of
11 slowing down or -- let me ask my whole question and
12 I will afford you the courtesy of allowing you to
13 give a complete response. And our goal is to cover
14 the ground that we need to cover and have an
15 accurate transcript of what your responses are to my
16 questions.

17 You feel you will be able to abide by
18 those instructions?

19 A Yes.

20 Q You understand them?

21 A Yes.

22 Q Do you have any other questions of me
23 in regards to them?

24 A No.

25 Q Are you on any medications today that

0011

1 would influence your ability to answer my questions
2 fully and truthfully and/or accurately?

3 A I am on medication. I don't believe
4 that they would influence my ability to answer.

5 Q You feel comfortable proceeding?

6 A Yes.

7 MR. FLYNN: I'd just like to put one
8 thing on so I don't forget to do it later. Because
9 of the science stuff, I'd like to reserve the right
10 to a read and sign. But I will forget later so just
11 put it on there now. Thank you.

12 MR. PINCUS: Fair enough.

13 Q Dr. Howell there was a Coulter
14 counter, C-O-U-L-T-E-R, that apparently Dr. Bishayee
15 used during the course of various experimentation
16 that he did in regards to the matters in dispute. I
17 believe that the inventory tag for it, or at least
18 the one that I have identified as something,
19 SN030788. And I have been led to understand that
20 that was discarded at some point in time. Is that
21 correct?

22 A That is correct.

23 Q Can you identify for me when it was
24 discarded?

25 A The unit was discarded sometime in

0012

1 2007. The unit -- well, I will let you continue.

2 Q Why did you discard it?

3 A It was not functional. It was
4 missing its mercury manometer removed by the
5 technician who installed the new Coulter counter
6 back in 2006 and it was no longer functional.

7 Q And who was the technician who
8 performed that do you know?

9 A Performed -- he is from Beckman
10 Coulter. His name is...

11 THE WITNESS: Lainie, you know who he
12 is, the Beckman Coulter guy? He probably worked in
13 your liquid simulation (phonetic) counter. He's
14 been there for years.

15 A It will come to me.

16 Q B-E-C-K-M-A-N?

17 A Yes, Beckman Coulter. He used to
18 just be Beckman. He worked for Beckman Coulter and
19 Beckman merged and he became part of the merge, I
20 guess. He just moved -- he just moved the liquid
21 simulation counter in the cancer center and he's
22 moved it two or three times.

23 Q If you can think of it, you will
24 identify his name --

25 A I certainly can get that later, I

0013

1 can't think of it right now but...

2 Q I assume you had to fill out some
3 paperwork insofar as discarding University property?

4 A Yes.

5 Q Can you describe for me, you know,
6 what form, or forms, or other documents needed to be
7 filled out in order to dispose of that particular
8 Coulter counter?

9 A When a disposal is to be made, you

10 send a request with the University I D numbers to --
11 what are they called? The people who keep track of
12 all the equipment, I forget what they're called,
13 inventory control, or something like that. So, you
14 send that to them, they send back a list of the
15 items that you have requested to dispose of along
16 with stickers that say discard, I believe is what
17 they usually have. And then -- then from there, you
18 fill out a work order, I believe, to get the
19 materials removed from the premises once you have
20 permission to remove them.

21 Q Was the fact that this Coulter
22 counter had, or at sometime had, mercury in it had
23 any other requirements insofar as disposal of
24 hazardous waste or chemicals?

25 A Absolutely. And that is why the

0014

1 technician offered -- the installation technician
2 offered to remove the manometer because you can't
3 dispose of the unit without a manometer removed
4 because it's got, I don't know, maybe 50 M L of
5 mercury, or 25 M L of mercury.

6 Q And I assume when the technician
7 removed the manometer -- is that M-N-E-M --

8 A Just how it sounds, M-A, manometer.

9 Q Manometer. Okay.

10 When the technician offered and did
11 in fact remove the manometer was there paperwork
12 that had to be filled out so that the University
13 knew that mercury was disposed of in a proper
14 function?

15 A The mercury was disposed through
16 Environmental Health and Safety and that would have
17 been done by Sundeep Schukla.

18 Q Spell please?

19 A S-A-N-D-E-E-P. Schukla,
20 S-C-H-U-K-L-A.

21 Q Okay. And he works for Environmental
22 Health and Safety?

23 A No, he was a postdoc in the lab.

24 Q And what type of paperwork would have
25 to be filled out insofar as complying with the

0015

1 Environmental Health and Safety requirements?

2 A We keep -- each lab is required to
3 maintain a location for chemical waste, we have ours
4 in the hood. So anything that requires disposal
5 through E O H S S goes into there. So, he would
6 have put his methanol, his bromodeoxyuridine, he
7 would have put that there and any other chemicals
8 requiring -- they all go in the same bin. We have a
9 plastic bin about yeah big. So, the mercury would
10 have been in that bin as well. We dispose of things
11 not often at all. We let it build up and then we --
12 then we get rid of the whole batch.

13 Q And to your knowledge what period of
14 time was the documentation associated with

15 discarding equipment and/or environmentally
16 sensitive materials be maintained, do you know?
17 A I don't understand the question.
18 Q How long do the records of the
19 disposal have to be maintained, if you know?
20 A I have no idea. Yeah.
21 Q To your knowledge these records still
22 exist in regard to --
23 A I would assume that they do, yes.
24 Q Are you aware of the fact that
25 approximately in April of 2008, I made a request to

0016

1 be provided with all of the documentation associated
2 with not only this particular Coulter counter but an
3 inventory of other Coulter counters in your offices?

4 A Yes. And I produced those documents.

5 Q When did you do that?

6 A I don't know, April or whenever it
7 was. I don't remember.

8 Q You collected all of those
9 materials --

10 A Some of them. I don't know exactly
11 what got turned over to you but I located -- I
12 requested from Bernie Sarrel, I believe, the list
13 of -- that disposal list.

14 Q Spell Sarrel please?

15 A S-A-R-R-E-L.

16 Q And he is who?

17 A He is the facilities manager, I
18 think, for planning. I don't know what his exact
19 title is but he basically keeps track of all the
20 research space. And I assume his responsibility
21 also is to monitor the inventory but he doesn't
22 maintain the inventory, I think he just passes the
23 papers from one place to the next.

24 Q And did you also locate and obtain
25 the environmentally related documentation?

0017

1 A No, I did not.

2 Q Why did you not do that if I may ask?

3 A Why would I do it?

4 Q Because it was requested.

5 A Nobody requested me for Environmental
6 Health and Services about mercury so not that I know
7 of.

8 Q Well, you said that in regard to this
9 particular Coulter counter there would have been
10 such documentation. Correct?

11 A Yes, I did.

12 Q So sitting here as of today, you have
13 never been requested to obtain that information?

14 A No.

15 MR. PINCUS: Scott can you explain
16 this please? What is going on?

17 MR. FLYNN: He doesn't work in
18 Environmental Health and Services.

19 MR. PINCUS: Yeah. But we made these

20 requests --

21 MR. FLYNN: And I have made them to
22 the appropriate people and I have pushed since our
23 last meeting so...

24 MR. PINCUS: Why haven't I gotten the
25 documents that he said he turned over in April?

0018

1 MR. FLYNN: That him and I talked
2 about. You are going to get one document today that
3 he did fill out. It is being Bates'd right now.

4 Q Were there any other documents that
5 you recall were generated insofar as maintaining
6 inventories of any Coulter counters used in your lab
7 and/or the disposal of them that we haven't
8 identified in the course of this questioning?

9 A Not that I know of. That is the only
10 Coulter counter that was actually purchased and that
11 was purchased when I arrived in 1987 when I first
12 came to the school. All Coulter counters that
13 arrived since then, which -- none of which I have
14 used, were donated by pharmaceutical companies.

15 Q When they're donated is it correct
16 for me to assume that they become University
17 property?

18 A In the past, no. More recently yes,
19 at least as far as I know. In the past, no.

20 Q So when you say in the past can you
21 be more specific by way of a time frame as to when
22 the practice changed?

23 A That I don't know. But I used to
24 insist that they not be designated as University
25 property in the event that somebody wanted to move

0019

1 them elsewhere and nobody ever argued with me.

2 Q So to the extent that your wishes
3 were respected, they would not have been tagged and
4 inventoried?

5 A Correct.

6 Q What about if you needed to discard
7 them what would you have done?

8 A Then we would have requested -- in
9 fact, we still have items that -- small items that
10 aren't tagged, you know. They don't tag every
11 single -- every single item, they go through and
12 look do you want to tag that, this and that? So
13 anything that you want to discard, you have got to
14 fill out a form and ask them to discard and you will
15 tell them it is not -- it doesn't have a University
16 I D number.

17 Q Okay.

18 MR. PINCUS: Howell-1 please.

19 (Exhibit Howell-1, Dr. Bishayee's V79
20 experiment dated 9/6/99, is received and marked for
21 identification by the reporter)

22 (Exhibit Howell-2, Dr. Hill's
23 experiment dated 9/6/99, is received and marked for
24 identification by the reporter)

25
0020

1 BY MR. PINCUS:
2 Q Dr. Howell, I'm going to show you
3 what I have marked for identification as Exhibits
4 Howell-1 and Howell-2. By way of further
5 instruction, you see we placed exhibit stickers on
6 them called Howell-1 and Howell-2. In the course of
7 my questioning, I may also refer you to a series
8 of -- to series of numbers that typically appear in
9 the lower right-hand corner of any page. We put
10 them there with a little machine called a Bates
11 stamper and it helps attorneys keep track of all the
12 paper that oftentimes go back and forth during the
13 course of the case --

14 A I'm sorry for interrupting. Does
15 this really require a description?

16 Q You may not be aware of it. If you
17 are familiar with it then I am sure you will be able
18 to respond to my questions. But some people aren't
19 so let's continue. Did that answer your question?

20 A Yes. I mean, you put a number on the
21 paper. I don't understand why that requires
22 explanation.

23 Q I will show you Howell-1 and I will
24 show you Howell-2.

25 A Okay. What would you like?

0021

1 Q I'd like you to take a moment to look
2 at them and do you recognize these as documents
3 associated with experiment -- an experiment that was
4 performed by both Dr. Bishayee and Dr. Hill on or
5 about September 6, 1999?

6 A That appears to be an experiment,
7 yes. That was done in the lab and this one is the
8 same or different? This one is...

9 Q What I can represent to you is, I
10 believe that the Howell-1 comes from Dr. Bishayee's
11 lab notebook, Howell-2 comes from Dr. Hill's
12 notebook.

13 A Then why is it called Howell-1? It
14 should be called Hill-2.

15 Q Because I get to identify the
16 exhibits the way I want not the way you necessarily
17 want.

18 A Okay.

19 So this one, I guess, I haven't seen.
20 This one is Hill's? But this looks the same also as
21 that. Twelve noon. Okay. So that is a copy with
22 some of Hill's handwriting. This is Bishayee's
23 writing.

24 Q Right.

25 A Okay.

0022

1 Q And this, I believe, is from Dr --
2 Howell-2 is from Dr. Hill's notebook.

3 A Howell-2, you said is Hill's

4 notebook. Okay. And this is, I guess, from Hill's
5 notebook. Okay. And further from Hill's notebook.
6 Okay.

7 Q Do you recognize these documents as
8 the records associated with this experiment that was
9 done by both Dr. Bishayee and Dr. Hill in or -- on
10 or about September 6th, 1999?

11 A I don't know that Dr. Hill's
12 documents were done at that time. I don't recall
13 seeing these documents at that time.

14 Q You don't recall. Okay.
15 Do you recall that in regards to this
16 experiment, the protocol was for purposes of
17 determining whether there was hypoxia in the
18 clusters in Helena tubes that were used in the
19 course of this experiment?

20 A Repeat the question?

21 Q Do you recall whether the purpose of
22 the protocol that is set forth on these documents
23 was to determine if there was hypoxia in the
24 clusters in the Helena tubes used to conduct these
25 experiments?

0023

1 A I do not recall if the experiment was
2 specifically to look for hypoxia. I know that the
3 reviewers had requested some information from us, or
4 comments from us, in regard to hypoxia.

5 Q Are you able to determine -- are you
6 able to respond to the question from a review of the
7 protocol?

8 A Respond to which question?

9 Q The question that I just asked you.

10 A I don't think there is any indication
11 here of anything about hypoxia. Do you see anything
12 here about hypoxia? I don't see anything about
13 hypoxia. Let's see acute clusters suspension...

14 I know that we were trying to get
15 preliminarily data for mutations but I don't see
16 anything here about -- a single word about hypoxia.

17 Q What do you recall as the purpose of
18 this experiment being?

19 A Oh here it is, hypoxic versus aerobic
20 clusters. Now, who made this plot?

21 Q Do you know?

22 A I don't recall. Is that either Hill
23 or Bishayee, I presume?

24 Q This is from Dr. Bishayee's lab
25 notebook. You're looking at Howell-1.

0024

1 A Okay. I asked who made the plot?

2 Q Is it reasonable to assume that if
3 this came from Dr. Howell's -- Dr. Bishayee's lab
4 notebook that he constructed the plot?

5 A Possibly if he is working in
6 conjunction with Dr. Hill. It is also possible that
7 she created the plot, I don't know.

8 Q You don't have any recollection?

9 A No, I do not.
10 Q That is what you're answering me.
11 Do you recall whether -- can you
12 glean from reviewing these documents that
13 Dr. Bishayee was working on the survival arm of the
14 experiment while Dr. Hill appears to have been
15 working on the mutagenesis arm of the experiment?
16 A Well here it looks like, in
17 Bishayee's document, there is mention of
18 mutagenesis. So, Bishayee was also involved with
19 the mutagenesis apparently.
20 And Hill -- what is the date on this
21 one, 9/10? Okay. I don't see the mutation -- oh
22 here. Is this it? Without spending a lot of time,
23 I couldn't tell you if this is mutation or not.
24 Q Well, I am asking you to.
25 A I'd have to go back and spend -- you
0025
1 know, I can't tell from here if it is -- oh here we
2 go, P100 plate counts, Day 17. Okay. So that might
3 indicate that it was -- let's see.
4 The protocol from what I see here in
5 Hill's notes only goes to survival.
6 Q Isn't the protocol for mutation in
7 this experiment the same protocol that you used in
8 later experiments regarding mutation?
9 A There is no where here that the
10 protocol for mutation is written, at least I don't
11 see it right now. It stops at count colonies.
12 There must be 25 and 250 for this to be a valid data
13 point, that is for survival. So, I don't see any --
14 anything here that says anything about a mutation
15 protocol.
16 Q Would you agree, looking at Howell-1,
17 and in particular the page that you're looking at,
18 which is B01...
19 A 3926.
20 Q 3926. No, I'm sorry.
21 A Yes, 39 --
22 Q 1920.
23 A 1920.
24 Q That Dr. Bishayee indicates that this
25 survival experiment was terminated due to
0026
1 contamination however, the mutation arm survived?
2 A Yes. So --
3 Q You recognize that writing?
4 A So in Howell-1, yes there is an
5 indication of mutation. And there is an indication
6 of mutation at the end of Howell-1, which is Page
7 22, or Bates 013926.
8 Q Two six. Okay.
9 And specifically what are you
10 referring to where it says mutagenesis arm?
11 A Mutagenesis, yes. But that is not in
12 Hill's document.
13 Q If you look at Dr. Hill's document am

14 I correct that her results in regards to the
15 mutagenesis arm that she was working with showed an
16 increase in the mutants in the resuspended aerated
17 cells as a function of dose?

18 A Which document you're in now?

19 Q Howell-2.

20 A Howell-2. Where at the front page?

21 Q Yes.

22 A And you would like to know what?

23 Q Does her results show an increase in
24 the mutants and the resuspended aerated cells as a
25 function of dose?

0027

1 A Aerobic cells according to this
2 plot -- yes there is an increase in mutants as a
3 function of the gamma ray dose. Yes.

4 Q Am I also correct that the cells that
5 were not resuspended showed no increase in mutants?

6 A Yes. That is apparently what is
7 shown.

8 Q Is it fair to say that that suggests
9 that hypoxia existed in the Helena tubes when the
10 cell pellets were not resuspended?

11 A *Well, one may construe that. But one
12 can also construe that something was done with that
13 experiment that couldn't have been corrected all
14 because -- if you will note there is a negative
15 slope in that curve, which would imply that you
16 would have a factor of infinity essentially for the
17 degree of protection, or if you want to call it
18 that, afforded by the hypoxia, which is completely
19 impossible.*

20 Q Is that surmised on your part or do
21 you have any facts to bear out the statement that
22 you just made based on --

23 A Most --

24 Q Let me finish please, based on your
25 review of the document?

0028

1 MR. FLYNN: Objection to form. Go
2 ahead.

3 A *The oxygen enhancement ratios in the
4 literature as Dr. Hill pointed out are generally
5 somewhere between one and three, would be a typical
6 oxygen enhancement ratio. Her claim was that it
7 couldn't be greater than three. I don't know if she
8 claimed that it wasn't greater than three but three
9 is about the max that has been observed. And if you
10 calculated the oxygen enhancement ratio for her
11 curve it would be infinity.*

12 Q Looking at these documents, these two
13 exhibits, would you conclude from the results that
14 you have before you that there was hypoxia in the
15 Helena tubes when cells remained in pellets?

16 A We determined that there was some
17 hypoxia in the pellets and we reported that.

18 Q Well, I am asking you specifically

19 about this experiment. I just want to be clear.
20 A This particular experiment?
21 Q Yeah.
22 A *This experiment -- well again, I said*
23 *here something is clearly wrong with this curve.*
24 *So, I would not give -- I would not lend any*
25 *credence to a curve that has a negative slope.*

0029

1 MR. PINCUS: I will take those.
2 (Exhibit Howell-3, Dr. Bishayee's
3 experiment dated 9/20/99, is received and marked for
4 identification by the reporter)

5

6 BY MR. PINCUS:

7 Q Dr. Howell, I am going to show you
8 what I have marked for identification as Exhibit
9 Howell-3. Appears to be the records associated with
10 an experiment performed by Dr. Bishayee on or about
11 September 20th, 1999. Take a moment to review
12 that and let me know when you're ready.

13 A What was the date on the other one on
14 1 and 2?

15 Q September 6th, 1999.

16 A And this is September 20th. Okay.

17 Q Or at least that is what it appears
18 to be. You can satisfy yourself by looking at the
19 protocol.

20 A Okay. So -- and your question was
21 what again?

22 Q I didn't ask a question yet, I simply
23 asked you to review it and let me know when you're
24 ready for me to ask a question.

25 A Sure.

0030

1 So go ahead.

2 Q You are familiar with this document?

3 A I believe so.

4 Q Does it appear to be all the
5 documents associated with this particular experiment
6 that was commenced on or about September 20th,
7 1999?

8 A To the best of my recollection, yes.

9 Q Am I correct that there was no change
10 in the protocol for this experiment compared to the
11 protocols that I showed you in Howell-1 and 2?
12 You're welcome to look at them if you need to.

13 A Yes. The protocols appear to be
14 similar on the Howell-3. There are additional
15 details regarding the mutation arm of the
16 experiment, which are not shown in Howell -- what is
17 this one?

18 Q Howell-1.

19 A Howell-1.

20 Q So other than those handwritten
21 comments that you just identified that appear on, I
22 believe, 7896, the protocols are identical?

23 A Appear to be, yes.

24 Q And am I further correct that this
25 experiment appeared to be done solely by

0031

1 Dr. Bishayee and not also by, or a part thereof, by
2 Dr. Hill?

3 A That I have no idea about. However,
4 I don't see any of Dr. Hill's writing.

5 Q Is it reasonable to conclude that the
6 absence of any writing by Dr. Hill from these lab
7 notebooks associated with this experiment that
8 Dr. Hill was not involved in this particular one?

9 MR. LEONARD: Objection. Asked and
10 answered. You can answer if you can.

11 A Yeah. You can't tell from -- if the
12 absence of writing whether or not that is the case.

13 Q Now in Howell-3 there are both
14 survival results and mutagenesis results. Isn't
15 that correct?

16 A Yes there is survival results.

17 Q And am I correct that the results
18 show that there was somewhat less killing in this
19 experiment than somewhat fewer mutants for the cells
20 that remained in clusters?

21 A In suspension there is somewhat
22 less -- wait a minute. In the pellets there is
23 somewhat less killing according to this graph here.

24 And your question about mutants is
25 what?

0032

1 Q That there was somewhat fewer mutants
2 for the cells that remained in clusters?

3 A I am not sure that it indicates which
4 tubes are which in the writing here but I would like
5 to make sure of this.

6 Q Take your time.

7 A As far as I can see there is no
8 indication of which tubes are which.

9 Q So, you're telling me you can't
10 answer the question?

11 A So, I don't believe I can answer the
12 question.

13 Q Fair enough.

14 Can one conclude from this experiment
15 in Howell-3 that there was some hypoxia noted but
16 not a great deal of it?

17 A Assuming that the plot -- you know,
18 as I said there is no indication of which one is
19 which, assuming that the plot is correctly
20 representing which ones were in suspension and which
21 ones are in the cluster and it doesn't even say it
22 on the plot. There is nowhere on the plot that it
23 even says it.

24 MR. LEONARD: Objection. Don't
25 guess. If you can't figure it out by looking at the

0033

1 document...

2 A Ah this one has it. This plot --

3 Q You are referring to 7892?
4 A 7892 indicates -- it indicates which
5 one is suspension and which one is pellet. But
6 nowhere in the data that I can see is that
7 indicated. So assuming -- if one assumes that this
8 is correct --
9 Q That is 7892?
10 A -- then one would see the plot shows
11 that the pellet is less affected than the
12 suspension. But again there is nothing in the
13 document to say that that data corresponds to the
14 data here.
15 Q But assuming 7892 is correct can you
16 conclude that there was some hypoxia but not a great
17 deal?
18 MR. LEONARD: Objection. Objection
19 to form. I don't want you to guess. If you can
20 tell by that data then he is entitled to an answer.
21 But I don't want you giving guesses based on
22 assumptions.
23 THE WITNESS: Now what is the
24 assumption then because if there isn't a direct
25 indication of which tube is which here then, you
0034
1 know, what am I -- what can I say about this?
2 MR. LEONARD: Nothing.
3 A Okay. So in the absence -- in the
4 absence of knowledge of a direct connection between
5 the tubes and the data on the plot then I can't make
6 a conclusion because I don't see any connection.
7 Q Looking at Howell-3 as compared to 1
8 and 2 how do the results of this experiment compare
9 with the results that were obtained in that
10 experiment?
11 A There is no survival plot so how am I
12 going to tell you that?
13 Q Well what about mutagenesis?
14 A Mutagenesis plot. Do we have one? I
15 think on the next one there is no mutagenesis plot,
16 which there isn't. So how am I going to tell you
17 that?
18 MR. PINCUS: One second please.
19 Q Let's go back and we will come back
20 to this question.
21 If you look at Howell-3 and if you
22 look at 78B007894, Number 12 in the protocol, does
23 that assist you in terms of which tube was which for
24 purposes of responding to my earlier question?
25 A Tubes 1 through 5, yes. And where is
0035
1 the next one? And where does it say about Tubes 6
2 through the other? So it says here that Tubes 1
3 through 5 are resuspended in 400 microliter and put
4 into the Rainin pipet tip box.
5 Tubes 1 through 5, according to Item

6 12, are resuspended in a pellet of 400 microliter
7 and placed into the tubes and then into the Rainin
8 pipet tip box.

9 Q You wrote these protocols did you
10 not?

11 A I don't recall if I typed this
12 directly or in unison with Dr. Bishayee. I don't
13 recall.

14 Q Assuming that the reference to Tubes
15 1 to 5 are what they say they are and if you assume
16 that Tubes 6 to 10 are unchanged can you respond to
17 my question?

18 A So, Tubes 1 through 5 would appear to
19 be corresponding to the clusters, according to Item
20 12. And I don't see anywhere -- so one would have
21 to assume, I guess, that 6 through 10 are the other.

22 THE WITNESS: Do you see, Dr. Hill,
23 any indication of what --

24 Q She can't respond. I am asking you
25 to assume that they were unchanged for purposes of

0036

1 responding to my question.

2 A Assuming what was unchanged?

3 MR. LEONARD: Yeah.

4 Q Tubes 6 to 10?

5 A Were unchanged? What does that mean?

6 Q That 1 to 5 were resuspended and 6 to
7 10 were the clusters?

8 A As I said, I see here so far, and we
9 will go through -- right now, I see that 1 through
10 5, yes appear to be the clusters. And I don't yet
11 see where it says that 6 through 10 -- let's see.
12 Ah yes, Item 13.

13 Okay. In Item 13 it says one tube
14 for pellet and one for the suspension. Okay. It
15 does not refer to 6 to 10. But one would have to
16 infer that if one was to make that assumption.

17 Q So would I be correct looking at this
18 that the results show somewhat less killing and
19 somewhat fewer mutants for the cells that remained
20 in the clusters?

21 A Hang on a moment. I am looking at
22 Item 14.

23 Top of Tubes 6 through 10.

24 MR. LEONARD: Shelly, you can't sit
25 and interpret these documents. I mean if she has

0037

1 questions then maybe she needs to write them out.

2 But he is reading line by line trying to

3 interpret -- make assumptions.

4 MR. PINCUS: You don't have to
5 testify for him, John. If he can't answer the
6 question --

7 MR. LEONARD: He already said he
8 can't.

9 MR. PINCUS: He has been instructed
10 not to. And I asked him to assume something and I

11 am simply asking whether he can do it. If he can't,
12 we will move on.

13 MR. LEONARD: Well then, I am going
14 to tell him not to answer any questions based on
15 assumptions. I mean this -- if you're asking him to
16 do -- make scientific decisions, or interpret
17 scientific data --

18 MR. PINCUS: How can you instruct him
19 not to respond? Are you objecting as to form? Are
20 you objecting as to privilege?

21 MR. LEONARD: I am objecting as to
22 form because you're asking him questions based on
23 numerous assumptions we are going through. He is
24 helping you by finding letters in the protocol. She
25 is writing, you know, until she is getting blisters

0038

1 on her fingers. If you can get the questions
2 right --

3 MR. PINCUS: Cut the commentary
4 because that is not going to do anything. We will
5 take a five-minute break. Can I use the next room?

6 MR. LEONARD: Sure.

7 (Whereupon, a recess was taken.)

8

9 BY MR. PINCUS:

10 Q So, Dr. Howell are you telling me
11 that sitting here and looking at Howell-1 and 2,
12 which you have identified are one experiment, and
13 Howell-3 that you can't compare the results of the
14 experiments for me in any way?

15 A You asked me to compare the mutation
16 results first of all -- well first of all the
17 survival results, I said there wasn't a plot, I
18 think, in Howell-3 for survival, right? Oh no there
19 is.

20 Q There is. And you identified that on
21 Page 7892.

22 A Okay. So what is your question?

23 Q So are you able to compare the
24 mutagenesis results between the two experiments?

25 A In 1 and 3?

0039

1 Q No, 1 and 2 and 3.

2 A One and two and three?

3 Q Yeah.

4 A Okay.

5 Q Well, 1 and 2 are the same experiment
6 are they not?

7 A Yes.

8 Q Okay. So that is why --

9 A Well at least we think they are, yes.

10 Q So that is why I was giving you the
11 benefit of both numbers.

12 A So for mutagenesis -- and I will only
13 look at one. We have here a plot by whom we do not
14 know who made the plot.

15 Q Tell me what page you're looking at

16 please?

17 A I am looking at Page 13921.

18 Q Okay.

19 A And I have no mutagenesis plot on the
20 next item to compare with.

21 Q What about 7891 in Howell-3 the first
22 page? Flip it.

23 A Okay. So what would you like?

24 Q Compare the results for me please
25 between the two experiments in regards to

0040

1 mutagenesis between -- for purposes of our
2 discussion, I believe you were using Howell-1 and
3 Howell-3.

4 A Yeah. Unfortunately on this page it
5 doesn't say which one is hypoxic and which one is
6 aerobic on this plot here.

7 So this plot in Howell-1 it tells me
8 which one is supposedly aerobic and which one is
9 hypoxic. Howell-2 or -- Howell-3 excuse me, does
10 not have any labels to tell me which one is which.

11 Q So, you're unable to answer the
12 question is that what you're telling me?

13 A Not with that information in front of
14 me.

15 Q Is there anything else located within
16 the experiment itself, the documents associated with
17 Howell-3, that allows you to respond to the
18 question?

19 A Okay. Yes there are numerical
20 results on the 7899. So, we should be able to
21 figure out which one is which so...

22 Q When you're doing it describe the
23 process that you're utilizing please?

24 A Yes. If you look at Tube 5 maximum

25 mutants per cell ends in 425, which is --

0041

1 corresponds to a closed circle on the plot in
2 Howell-3.

3 Q Which is on 7891. Correct?

4 A 7891 that is correct.

5 So, Tubes 1 through 5 correspond to
6 the closed circle tubes, 6 through 10 would
7 correspond to the open square.

8 Q So does that now allow you to respond
9 to my question insofar as comparing mutagenesis
10 results?

11 A Let me first make sure that Tubes 1
12 through 5 are identified, which is -- So, Item 12
13 suggests that Tubes 1 through -- this is Item 12 on
14 7894, suggest that those tubes are the clusters.
15 Okay?

16 That is interesting. So, I just said
17 the closed circles are the clusters, right? And the
18 mutations are higher for them. Let me make sure I
19 have that right.

20 Q Are you sure that it is not 6 to 10
21 are the clusters?

22 A Well here it says, on Bates 7894,
23 Item 12, it says that 1 through 5 are the clusters.

24 Q But looking at the data that you
25 identified on 7899, you say it is the opposite. Is
0042

1 that correct? At least what you were reading?

2 A Tubes 1 through 5 -- now let me see.
3 No, I didn't say it was the opposite.

4 Tubes 1 through 5 demonstrate the
5 highest number of mutants per cell. So the closed
6 circle would be the clusters according to what is
7 written here.

8 Q Read Number 12 again on 7894 please?

9 A Twelve. Tubes 1 through 5 resuspend
10 the pellet in 400 microliter and place all tubes on
11 perforated plate of Rainin pipet tip box.

12 Usually when we go to the perforated
13 plate of the Rainin pipet tip box that has little
14 holes in it that are about 4 millimeter in diameter,
15 maybe -- maybe a little more than that, maybe 5
16 millimeter. And the Rainin tube fits into it and
17 there is pictures of those tip boxes somewhere. So
18 a big tube, or any other tube other than those,
19 isn't going to fit in there. So, Item 12 is the
20 Rainin pipet tip box, which would suggest that it is
21 the clusters.

22 Q It says after 72 hours for Tubes 1 to
23 5 carefully remove the supernatant and resuspend a
24 pellet in 400-milliliters MEMA and place all tubes
25 on the perforated plate of Rainin pipet tip box
0043

1 containing ice to maintain approximately
2 10.5 degrees centigrade.

3 Did I read that correctly?

4 A Yes, you did.

5 Q And you're saying to me that you
6 conclude from that that Tubes 1 to 5 were the
7 clusters as opposed to the resuspension or aerobic?

8 A I am only saying -- the reason I am
9 not -- the reason I concluded that was because it
10 says that the tubes are put in the perforated plate
11 of the Rainin pipet tip box. So the only tubes that
12 can fit in there are the -- are the cluster tubes.

13 Q And if you look at 7899 does the
14 data, under the area of mutant colonies, suggest
15 that the tubes that you have identified as the
16 clusters as opposed to the suspended aerobic tubes
17 are the opposite?

18 A Try me again?

19 Q The data that you identified on Page
20 7899 in responding to --

21 A Okay. These --

22 Q Does the data that is set forth there
23 suggest that Tubes 6 to 10 are the clusters and 1 to
24 5 are the resuspended aerobic tubes?

25 A Where on 7899 does it say that 6
0044
1 through 10 are the --
2 Q I am asking. It doesn't say that, I
3 am asking does the data suggest that to you in any
4 way?
5 A The data on Page 7899 doesn't say one
6 way or the other.
7 Q You can't extrapolate anything from
8 this data is that what you're telling me?
9 A From Page 7899?
10 Q Yeah.
11 A It says nowhere on Page 7899 which
12 tube is which.
13 Q But you can't extrapolate anything
14 from the data compared to the graphs as to which
15 tubes they're referring to. Is that what you're
16 telling me? That is all you have to ask -- to
17 answer.
18 A I told you already that I can tell
19 you that the data on the graphs 6 through 10, and I
20 related the values that we were talking about,
21 point -- the 273 on Tube 10 corresponds to open
22 square. Okay? I already told you that.

23 Q Okay.
24 A Very clearly. And the 425, I told
25 you very clearly corresponds to the closed circle.

0045
1 Q Would I be correct that insofar as
2 your grant application, you relied on Howell-3 as
3 opposed to Howell-1 or 2 --
4 MR. LEONARD: Objection to form.
5 MR. PINCUS: I didn't finish the
6 question yet please.
7 MR. LEONARD: Go ahead.
8 Q To argue that a small amount of
9 hypoxia would not -- strike that.
10 Q Would I be correct that you used the
11 results...
12 THE WITNESS: Excuse me.
13 (Whereupon, a recess was taken.)
14

15 BY MR. PINCUS:

16 Q So, my question to you was am I
17 correct that you used the results of Howell-3 in
18 your grant application to argue a small amount of
19 hypoxia would not interfere?
20 A Perhaps you could provide me with a
21 copy of the grant application?
22 Q Sure.
23 MR. PINCUS: For our purposes would
24 you mark this as Howell-4 please?
25 (Exhibit Howell-4, Page 29 of the

0046
1 Grant Application, is received and marked for
2 identification by the reporter)

3

4 BY MR. PINCUS:
5 Q Dr. Howell, I am going to show you
6 what our -- we marked for identification as
7 Howell-4, I am going to represent to you are Pages
8 29 to 30 of the grant application, the full copy of
9 which I have placed before you just in case you wish
10 to satisfy yourself. But if you look at Howell-4,
11 Bates stamped 122, in particular, Figure 7, am I
12 correct that you used the results of Dr. Bishayee's
13 September 20th experiment in your grant
14 application?

15 A Interesting. This symbol is wrong.

16 Q Well isn't it a fact that when O R I
17 reviewed this matter, they indicated that there
18 appeared to be a reversal of the symbology?

19 A Perhaps you have to show me the
20 review.

21 Q I don't have that available right
22 now. But you tell me what you said was interesting
23 and then you can respond to the question. You have
24 no recall of having read the O R I report and them
25 indicating something to this effect?

0047

1 A Can you tell me when the O R I report
2 was delivered?

3 Q I don't have the exact date available
4 to me.

5 A Wait. The O R I report or the --
6 what are you talking about, O R I report? We are
7 talking about a grant review.

8 Q I am talking about the O R I report
9 that reviewed your grant and the documentation
10 associated with it. Did you ever read any comment
11 about the symbology being the opposite of that set
12 forth in your grant application? Do you have any
13 recollection of --

14 A In the O R I report?

15 MR. LEONARD: Objection to form. Did
16 you read the O R I report?

17 THE WITNESS: Probably a year ago, I
18 believe.

19 Q Do you have any recollection of
20 reading that?

21 A Do I have any recollection of reading
22 the O R I report?

23 Q About the reversal of symbols?

24 A I would need to see it.

25 Q And so, you have no recollection

0048

1 sitting here today. Is that your response?

2 A I would like to see the report.

3 Q That is not my question. My question
4 is sitting here today do you have a recollection of
5 reading anything about reversal of symbols?

6 A I don't recall at this day reading
7 about reversal of symbols.

8 Q Then you have answered my question.

9 A Okay.
10 Q Now, my question to you is did you
11 use the results from Dr. Bishayee's September 20th
12 experiment for purposes of Figure 7 in your grant

13 application that is before you as Howell-4?

14 A It appeared the data in Howell-3,
15 survival data, appear to be the data that correspond
16 to Figure 7. However, the symbols are not
17 appropriately matched in the figure caption.

18 Q Fair enough.
19 Was it important to your hypothesis
20 for the grant regarding nonuniform distribution of
21 radioactivity that your cluster model not be greatly
22 hypoxic?

23 A *Hypoxic -- the reason for the concern*
24 *over hypoxia was that that could alter the shape of*
25 *the curve in a manner which might be similar to that*

0049
1 *which would occur from nonuniform distribution of*
2 *radionuclide. That was the concern so...*

3 Q You responded to my question. Let me
4 have these back please. I will take back all these
5 documents please. Thank you.

6 (Exhibit Howell-5, Dr. Lenarczyk's
7 V79 experiment dated 10/2/00, is received and marked
8 for identification by the reporter)

9 (Exhibit Howell-6, Dr. Lenarczyk's
10 experiment dated 12/14/00, is received and marked
11 for identification by the reporter)

12 (Exhibit Howell-7, Dr. Lenarczyk's
13 experiment dated 5/3/01, is received and marked for
14 identification by the reporter)

15 (Exhibit Howell-8, Dr. Lenarczyk's
16 experiment dated 5/21/01, is received and marked for
17 identification by the reporter)

18 (Exhibit Howell-9, Dr. Lenarczyk's
19 experiment dated 6/21/01, is received and marked for
20 identification by the reporter)

21
22 BY MR. PINCUS:

23 Q Dr. Howell, you had a postdoctorate
24 fellow named Marck Lenarczyk working in your lab for
25 a period of time. Is that correct?

0050
1 A Correct.

2 Q And do you recall him doing various
3 experimentation associated with the grant that is
4 the subject matter of this dispute?

5 A Yes, he did experimentation.

6 Q I am going to show you what we have
7 marked as Exhibits Howell-5, 6, 7, 8 and 9, I am
8 going to represent to you are certain experiments
9 that he has already identified as having performed
10 within your laboratory between October 2, 2000 and
11 June 21, 2001. Okay?

12 A October 2000 to June '01.

13 Q Yeah.
14 A Okay.
15 Q All right. Let's just make sure that
16 you're in agreement that Howell-5 appears to be an
17 experiment that he did with V79 cells, I understand
18 to be known as a hundred percent experiment on or
19 about October 2, 2000. Do you recognize this
20 document as the documentation associated with
21 Dr. Lenarczyk's experiment?
22 A No, I don't recognize it because I
23 don't think that I have seen this document.
24 Q You have never seen -- you were his
25 supervisor and you have never seen this?

0051

1 A I do not recall seeing this. I see,
2 I think, what is Dr. Hill's writing on here.
3 Q What about Howell-6, experiment that
4 apparently you did along with Dr. Lenarczyk in or
5 about December 2000. Have you ever seen this?
6 A I am still looking at Document 5.
7 Q Okay. Well then let's confine
8 ourselves --
9 A October when? October 2000?
10 Q Let's confine ourselves to this
11 document --
12 A No. I am going to finish --
13 Q I am. I am confining myself to this
14 document. It appears to be dated October 2, 2000.
15 You see that?
16 A Yes, I do. I am debating whether I
17 was in the hospital at that time that is what I am
18 trying to figure out. October 2000, '99. No, I was
19 in the hospital in '99. Okay. Go on.
20 Q So, you're saying you don't recall
21 ever seeing this document?
22 A I don't recall looking at this
23 document.
24 Q I am going to note that the Bates
25 stamping comes from your own attorneys. Does that

0052

1 in any manner refresh your recollection as to
2 whether you have ever seen this document before?
3 MR. LEONARD: Objection to form.
4 A Yes. I just don't recall -- I don't
5 recall if I have seen it or not.
6 Q Now looking at this document however
7 and this experiment, which was apparently performed
8 in your lab, if you would look at the document that
9 is Bates stamped 091453 and 019454 would you agree,
10 looking at the results that are graphed, that
11 insofar as this hundred percent experiment insofar
12 as survival was concerned, Dr. Lenarczyk's results
13 were biphasic?
14 A Biphasic -- which one?
15 Q What does this tell you in terms of
16 the results about the amount of killing that
17 occurred during this experiment? Can you glean that

18 from the data that is set forth?
19 A I would have to go through and
20 analyze -- reanalyze the whole thing. That is a
21 plot in front me. I have no idea whether or not it
22 represents the data within. I have no idea.
23 Q You can't answer my question?
24 A Not without analyzing all the data.
25 Q Okay. And what would you have to do
0053

1 for purposes of doing that, sir?
2 A I would have to go through and
3 calculate -- let's see. What do we have plotted
4 here? I would have to determine the uptake of the
5 cells. Okay? So that would require cell numbers,
6 activity from liquid sinulation counter, I would
7 have to look at the colonies and their dilutions, I
8 would have to go through and analyze the whole
9 thing. It would take a long time.
10 Q Let's look at Howell-6 please, the
11 experiment that you did along with Dr. Lenarczyk on
12 or about December 14, 2000.
13 A Okay. December 14. Yep.
14 Q Does the documentation associated --
15 does the documentation comprising Howell-6 appear to
16 be all of the documentation associated with this
17 experiment?
18 A I have no idea.
19 Q You have no idea?
20 A How can I tell you if it's all the
21 documentation of the experiment? How would I
22 possibly tell you that?
23 Q Because you participated in it and
24 they apparently come from documentation provided by
25 your counsel, which previously had been supplied to
0054
1 the U S Attorney's Office in response to a Subpoena
2 Duces Tecum. And you're telling me, you don't have
3 any recollection as to whether there are any
4 documents that are missing --
5 A That is not what I told you.
6 MR. LEONARD: Hang on. Objection.
7 Objection to form. First of all, we represent all
8 the defendants. And insofar as you did not serve
9 each individual defendant with Discovery, you don't
10 know which documents are coming from whom.
11 B; the fact that documents are
12 produced in no way shape or form represents that it
13 is all the documents related to any particular
14 experiment.
15 C; this experiment is dated 2000. To
16 ask this man in 2000, a year -- eight years later
17 whether or not what is stapled here represents all
18 the documents in connection to this experiment is an
19 absolute joke.
20 MR. PINCUS: Okay.
21 MR. LEONARD: Any other questions?

22 MR. PINCUS: Yeah, I have plenty.
23 MR. LEONARD: Let's get to them.
24 MR. PINCUS: Oh, we will regardless
25 of how long it takes.

0055

1 MR. LEONARD: Well, you got a day.
2 MR. PINCUS: I may have more than a
3 day. We had an agreement that we were not confining
4 ourselves to the one-day rule. Is that correct?
5 MR. LEONARD: Let's go. Let's just
6 move.
7 MR. PINCUS: Am I correct?
8 MR. LEONARD: We did unless we are
9 going to do this all day.
10 MR. PINCUS: No, we had an agreement.
11 Okay?

12 Q Am I correct that the results of this
13 experiment show that the survival curves were
14 biphasic?

15 A Again how can I answer the questions
16 without going through and analyzing --

17 Q Because I'm asking you to look at the
18 graphs --

19 A Okay.

20 Q Let me ask the question. You asked
21 me to give you the courtesy of asking a question and
22 I will then give you the courtesy of giving a
23 response.

24 MR. LEONARD: Move on.

25 Q Are you ready?

0056

1 A I am ready.

2 Q Okay. May I ask my question now
3 without being interrupted?

4 A Yes, you may.

5 Q Thank you.
6 If you look at B019492 --

7 A Yes.

8 Q -- the graph that appears in the
9 upper right-hand portion of that document. Does
10 that indicate to you that survival was biphasic?

11 A *The points on that graph indicate
12 that whatever is graphed is biphasic.*

13 Q *Thank you.*

14 *And is there any question in your
15 mind that you participated in this experiment with
16 Dr. Lenarczyk?*

17 A *No.*

18 Q *Thank you.*

19 Let us look at Exhibit 7 please,
20 Howell Exhibit 7. Do you recall Dr. Lenarczyk
21 performing a hundred percent cluster experiment with
22 tritiated thymidine on or about May 3rd, 2001?

23 A No, I don't recall him performing an
24 experiment.

25 Q If you would look at the graphs that

0057

1 appear on Page 19472, the upper right-hand corner --
2 A Excuse me, I've got another call.
3 (Whereupon, a recess was taken.)
4

5 BY MR. PINCUS:

6 Q If you look at the graph appearing at
7 the upper right-hand corner of B019472.

8 A Yes.

9 Q Am I correct that the points of that
10 graph suggest that survival was biphasic?

11 A *Those points on the graph appear to*
12 *be biphasic.*

13 Q I show you Howell-8. Do you
14 recognize this document as a 100-percent cluster
15 experiment involving tritiated thymidine that
16 Dr. Lenarczyk performed on May 21, 2001?

17 A That is what the document says but I
18 don't recall.

19 Q You don't recall reading this
20 document before sitting here today?

21 A I don't recall reading this document,
22 no.

23 Q Okay.

24 Similarly if you look at the Bates
25 stamp that is dated -- that is Bates stamped 921

0058

1 would I be correct that the graph in the upper
2 right-hand corner of this document shows -- the
3 points on that graph show biphasic results?

4 A Which one are you talking about
5 again?

6 Q 921.

7 A 921. *No wouldn't suggest biphasic.*

8 Q *What does it suggest to you?*

9 A *It looks like expediential.*

10 Q *The upper right-hand -- this one*
11 *here?*

12 A Yes.

13 Q *That is expediential to you? Explain*
14 *to me why please?*

15 A *Because it doesn't have two*
16 *components. Biphasic means two components. This*
17 *has one component.*

18 Q *What is the minimum survival that the*
19 *data shows please, the data to the right of the*
20 *graph?*

21 A *The data points on this -- the*
22 *minimum survival is approximately 50-percent*
23 *survival.*

24 Q And inasmuch as there is a 50-percent
25 survival that still suggests to you an expediential

0059

1 result as opposed to a biphasic result?

2 A Do you understand what biphasic
3 means?

4 Q Yes, I do.

5 A Then how can you ask the question?
6 Q I just did.
7 A Then it is a ridiculous question to
8 ask.
9 Q You can't answer it?
10 A I can answer it. It shows to me a
11 single component. That means exponential not
12 biphasic.
13 Q Okay. You have responded. Thank
14 you.
15 I show you what has been marked as
16 Howell-9, experiment that Dr. Lenarczyk conducted on
17 June 21, 2001, a hundred percent experiment
18 involving tritiated thymidine. Are you familiar
19 with this document?
20 A I don't recall reading this document.
21 Q If you turn to Page B019627, the
22 upper graph, does that appear to show results that
23 are biphasic?
24 A Which one am I looking at?
25 Q B019627.

0060
1 A Am I in the right -- oh here we go.
2 Yes that graph appears to be
3 biphasic.
4 Q And so by biphasic what we are
5 referring to is that insofar as survival there is a
6 decline followed by a plateauing of survival. Isn't
7 that correct?
8 A There is an initial decline, which
9 has a steeper slope than the second component of the
10 survival curve.
11 Q Do you have any reason to deny the
12 fact that Dr. Lenarczyk conducted the various
13 experiments that I have just shown you, which we
14 have marked as Howell-5 through 9, in your
15 laboratory?
16 MR. LEONARD: Objection to form.
17 Q Do you have any -- I will rephrase
18 the question.
19 Do you have any basis to deny that
20 Dr. Lenarczyk performed any of the experiments that
21 we have identified as Howell-5, 6, 7, 8 or 9?
22 MR. LEONARD: Objection to form.
23 A Do I have a basis?
24 Q Any factual basis to deny that these
25 experiments were performed in your laboratory by
0061
1 Dr. Lenarczyk?
2 A I would need to go and see the
3 original notebooks. And assuming it is his writing
4 then, I guess, I would assume that he did the
5 experiment.
6 Q But other than that and assuming that
7 they were in his lab notebooks, you have no -- is
8 there any other factual basis on which you can deny
9 that he conducted these experiments?

10 MR. LEONARD: Objection to form. I
11 don't even know how you'd answer that.

12 A I am puzzled as to what you're trying
13 to get at.

14 Q In other words if they're in his lab
15 notebook, you're --

16 A Are you asking if Marck did
17 experiments in the lab?

18 Q I am asking if he performed -- do you
19 have any reason to deny that he performed these
20 various experiments that I have just shown you?

21 MR. LEONARD: Objection to form. I
22 think the problem is, if I can, Shelly, I think the
23 problem is admit or deny.

24 If these were in his notebook would
25 you assume that he did them?

0062

1 THE WITNESS: I would assume that he
2 probably did them but I wouldn't have any evidence
3 that he necessarily did them.

4 Q If he testified under oath that he
5 performed these experiments in your lab would that
6 satisfy you?

7 A Yeah. If he testified under oath,
8 yeah. I guess that would be satisfactory.

9 Q Okay. And these experiments appear
10 to be associated with the grant we have been
11 speaking of. Isn't that so?

12 A Draw the connection for me in what
13 way that they're related?

14 Q Well it is involving tritiated
15 thymidine and it is --

16 A Yes, they involve tritiated
17 thymidine.

18 Q Part of your grant involved doing
19 certain -- a hundred percent experiments associated
20 with tritiated thymidine. Isn't that correct?

21 A Please provide me with the grant to
22 refresh my memory.

23 This is -- which one? This is the --

24 Q Howell-4. This is your grant
25 application.

0063

1 A Well, I submit lots of grants so this
2 is one of them. So this is --

3 Q You don't really seriously mean to
4 tell me that you don't know what grant this suit is
5 all about. Is that what you're telling me?

6 A No. I didn't know which document
7 that you passed to me.

8 Q Okay. Well why would I give you any
9 other grant given the fact --

10 A You are giving me all kinds of stuff
11 so, God knows. So anyway this grant, yes, was
12 submitted in 2000. And your question is what?

13 Q That there were a hundred percent
14 experiments that were conducted in regard to

15 tritiated thymidine were there not?

16 A I am going to look because this was
17 dated -- that was acquired many moons ago. Let's
18 see here.

19 Q That were to be. That were to be
20 performed as part of the grant?

21 A That were to be performed. Well let
22 me look here.

23 Yes. *To be performed according to*
24 *the specific gains would be a hundred percent*
25 *experiments with tritiated thymidine. That is*

0064

1 correct.

2 Q I will take that back, you answered
3 my question. Thank you.

4 (Exhibit Howell-10, Article, is
5 received and marked for identification by the
6 reporter)

7 (Exhibit Howell, 11, Article, is
8 received and marked for identification by the
9 reporter)

10

11 BY MR. PINCUS:

12 Q Dr. Howell, I am going to show you
13 what we've marked as Exhibits Howell-10 and
14 Howell-11. Take a moment and tell me if you are
15 familiar with those documents.

16 A Yes.

17 Q You co-authored both of these
18 documents. Isn't that so?

19 A Correct.

20 Q And the experiments that I showed you
21 a few moments ago, Howell-5 to Howell-9, I think,
22 just so we are clear, they all involved V79 cells.
23 Correct?

24 A That is what it indicates, I believe.
25 But let me look.

0065

1 Q And while you're looking please also
2 satisfy yourself that they were all so-called
3 hundred percent experiments. Okay?

4 A Uh-huh.
5 This says V79 at the top.

6 Q You're looking at 5?

7 A I am looking at 5.

8 Q And it was a hundred percent
9 experiment?

10 A Yes it appears to be a hundred
11 percent.

12 Q Okay. So what about Howell-6 both
13 V79 and 100 percent. Is that correct?

14 A Okay. So it is certainly indicated
15 as such.

16 Q Are you satisfied?

17 A And I will first check the protocol
18 that is written.

19 Yes it appears to be a hundred

20 percent.
21 Q Same questions, Howell-7. Both V79
22 cells and 100 percent?
23 A That too appears to be 100 percent.
24 Q Howell-8 both V79 and 100 percent?
25 A That also appears to be a hundred

0066

1 percent.
2 Q And last, Howell-9. Same question
3 please?
4 A That appears to be a hundred percent.
5 Q Now, I'd like you to look at what we
6 marked as Exhibit Howell-10. I will call it the
7 Rapid Communication.
8 A Could I backup one moment? On all of
9 these documents, just so that is clear for the
10 record, that all of these are typed documents. So,
11 I am making that statement based on a typed
12 document. The protocol is not handwritten it is
13 typed, just for the record.
14 Q Now if you would go to Howell -- we
15 marked it Howell-10. And I will just refer to it as
16 the Rapid Communication article.

17 A Uh-huh.
18 Q And I turn your attention to the
19 document that is Page 91 of that article and what is
20 Bates stamped 402. Would I be correct that in this
21 article, Figure 3 is indicative of the survival of
22 V79 cells as a function of cluster activity of
23 tritiated thymidine?

24 A Yes.
25 Q And that insofar as the hundred

0067

1 percent experiments the results are signified by the
2 use of either of a triangle either shaded or
3 unshaded?

4 A Yes.
5 Q And would I be further correct that
6 insofar as this particular graph the survival
7 results of a hundred percent experiments are shown
8 as being exponential?

9 A Yes.
10 Q And that they appear to all be the
11 hundred percent survivals are exponential down to
12 about 0.001?

13 A 0.002.
14 Q Okay. Why does the line continue
15 then, if I may ask, down to the horizontal axis
16 signified by 0001?

17 A Because when you use the plotting
18 program when it was plotted, it wasn't selected to
19 terminate at the last data point. There is an
20 option to do that.

21 Q But you're saying it goes down to
22 0002?

23 A The last data point is at 0002.

24 Q And now if you would for a moment

25 please go to Howell Exhibit 11, the Free Radical
0068

1 Initiated document. And again if you would go to
2 Page 337 of that article, or what is Bates stamped
3 as 412.

4 A Uh-huh.

5 Q Am I correct that Figure 1 in this
6 document also shows the survival of V79 cells in the
7 hundred percent experiments?

8 A Yes it says a hundred percent, V79.

9 Q And that would be the line that is --
10 the data points are represented by what appear to be
11 a line to the far left. Is that correct? How would
12 you describe that for me please?

13 A Rephrase the question. I don't know
14 what you're asking.

15 Q Which line on the graph represents
16 those survivals?

17 A Which survival?

18 Q Of the V79 cells in the hundred
19 percent experiments?

20 A Under what conditions?

21 Q What are you showing here?

22 A The thing says survival of V79 cells
23 as a function of activities per labeled cell, 100
24 percent are labeled. And there is various
25 conditions. So, I am asking you, which --

0069

1 Q You are showing an exponential
2 decline in what I have highlighted on my copy. What
3 condition does that represent? Can you look at what
4 I am showing you please?

5 A So, you're looking at the left, the
6 steeper curve?

7 Q That is correct.

8 A Okay. Which is designated by open
9 squares and open circles. So if we go to the
10 caption is that an open square -- wait a minute.
11 Okay. Open square -- do you have the original?
12 This looks a little odd here. I am trying -- the
13 open square is appearing twice. Let's see.

14 Q *In any event while you're looking*
15 *would I be correct that the line that we are*
16 *observing and discussing shows an exponential*
17 *decline?*

18 A Yes.

19 Q *How do you explain the difference in*
20 *survivals between what is represented in the hundred*
21 *percent experiments and these two articles and the*
22 *results of the Lenarczyk experiments that you*
23 *identified as being biphasic?*

24 A *I don't have an explanation for why*
25 *they're different. There are many reasons that*

0070

1 *there could have been, which I went through with the*
2 *attorneys not with respect -- not the attorneys what*
3 *are they called? The federal guys, whatever they're*

4 called.
5 Q We will call them the Feds.
6 A Whatever the --
7 Q I understand who you're speaking of.
8 So, you don't have an explanation is what, your
9 response?
10 A I don't have an explanation, no.
11 Q Notwithstanding that you don't have
12 an explanation did you discuss the difference or
13 discrepancy with Dr. Bishayee and/or Dr. Lenarczyk?
14 A The hundred percent experiments?
15 Q Yes.
16 A I don't recall to what extent the
17 hundred percent experiments were discussed.
18 Q So then just so we can come full
19 circle if you don't recall discussing it with them
20 is it fair for me to say that you don't recall
21 anything --
22 A Let me.
23 Q You want to respond again?
24 A I would like to go back to the
25 previous one.

0071

1 Q Fair enough.
2 A What aspect of the hundred percent --
3 Q As to why the articles are showing an
4 expeditious decline and the experiments that I
5 shared with you in regards to Dr. Lenarczyk, and
6 even one that you performed, was showing biphasic?
7 A *I don't recall having that discussion*
8 *with Dr. Lenarczyk.*
9 Q *Or Dr. Bishayee?*
10 A *Or Bishayee.*
11 Q *And then just so we can just come*
12 *full circle if you don't recall a discussion is it*
13 *fair for me to conclude you don't recall anything*
14 *that either one of those individuals may have said*
15 *to you about that?*
16 A No, I don't recall.
17 (Exhibit Howell-12, Dr. Lenarczyk's
18 experiment dated 11/11/00, is received and marked
19 for identification by the reporter)
20 (Exhibit Howell-13, Experiment dated
21 11/28/00, is received and marked for identification
22 by the reporter.)
23 (Exhibit Howell-14, Experiment dated
24 2/19/01, is received and marked for identification
25 by the reporter)

0072

1 BY MR. PINCUS:
2 Q Dr. Howell can you tell me what AL-N
3 cells are?
4 A Those are a cell line that Marck
5 suggested using upon his arrival.
6 Q They're Chinese hamster ovary cells.
7 Is that correct?
8 A I believe a mutant version of them.

9 Q Where V79 cells are Chinese hamster
10 lung cells?
11 A Correct.
12 Q Are the AL-N cells similar to V79
13 cells to your knowledge?
14 A Similar? I wouldn't be able to tell
15 you whether or not they're similar. I mean --
16 Q Why is --
17 A -- an ovary cell is not similar to a
18 lung cell but...
19 Q For purposes of conducting the
20 research why did he suggest that you use --
21 A That I can tell you, he suggested
22 using them and it was an excellent idea. And it
23 wasn't something that we had planned but because it
24 was such a good idea, we pursued it, which was these
25 cells have the capacity to be prevented from forming
0073
1 colonies depending on what antibiotic you add to the
2 medium. So that would enable one to look at labeled
3 versus unlabeled cells independently.
4 Q Would one expect them to have -- that
5 is, the AL-N cells, the same or similar properties
6 with respect to their responses to tritiated
7 thymidine as with the V79 cells?
8 A I have no idea.
9 Q Did you not form any expectations
10 insofar as electing to use them at the time these
11 experiments with the AL-N cells were done?
12 A I may or may not have had
13 expectations, I don't recall the reason. They were
14 picked is, we would be able to look individually at
15 labeled and unlabeled, that is the whole purpose.
16 Q Well insofar as these AL-N
17 experiments that I have placed before you,
18 Howell-12, 13 and, I believe, 14, let's look at them
19 individually if we could.
20 A Okay.
21 Q Howell-12 appears to be a 100 percent
22 experiment done with AL-N cells by Dr. Lenarczyk on
23 or about -- appears to say November 11, 2000.
24 Correct?
25 A November -- yeah, November something.
0074
1 I mean it is scribbled out. November 10th, I think
2 it is.
3 Q Yeah. And then there is a Number 11
4 above. Isn't that correct?
5 A I don't see an 11, I see a 10.
6 Q Well 11, 10 is fine for our
7 discussion.
8 And if you go to the document that is
9 Bates stamped B019553 and insofar as survival does
10 this --
11 A Got it.
12 Q I will represent to you, or the data
13 represent to you, that there was biphasic survival?

14 A Maybe maybe not. Yeah, *I guess you*
15 *could construe that to be biphasic.*

16 Q Well do you?

17 A Yeah just -- but there is one -- I
18 don't know what that data point is up at way above
19 the line, that is obviously an aberrant point. And
20 then there is one data point outside of a pure
21 expediential response.

22 Q And that is the one --

23 A That is the one at .6 mili-Becquerel
24 per cell.

25 Q Now, I am going to show you

0075

1 Howell-13. It appears to be documentation
2 associated with another AL-N 100 percent experiment
3 that Dr. Lenarczyk performed on or about
4 November 28th, 2000. Correct?

5 A Yes.

6 Q And similarly do the results of
7 survival appear to be biphasic?

8 A You know this particular experiment
9 is extremely puzzling how you can get survivals of
10 two and seven, I think it is. I have no idea. So,
11 you got to wonder about anything in this experiment
12 with a two and a seven. The data is expediential
13 down to, you know, aside from those two points,
14 which are off the deep end --

15 Q What do they call them wild points?

16 A Aberrant, I don't know. Whatever you
17 want to call it.

18 Q Aside from those two that are above
19 the 1.0 line --

20 A There is one data point, which would
21 suggest that is outside of the realm of
22 expediential, one set of data points at 1.5
23 mili-Becquerel per cell.

24 Q So, you're saying that it is
25 biphasic?

0076

1 A Based on one data outside, I could
2 not conclude that. You need multiple points to
3 conclude if it is biphasic or not.

4 Q The minimum survival here is .2061 if
5 you look at Tube 6. Is that correct?

6 A .2 -- oh where are you now?

7 Q I am on the first page.

8 A Oh yes. The number indicated there
9 for this 11/28 experiment is 0.2061.

10 Q Does that assist you in any fashion
11 in terms of indicating whether this is biphasic or
12 expediential?

13 A *If you're going to make a conclusion*
14 *regarding whether or not it is biphasic and we have*
15 *worked with biphasic curves for years, we attempt to*
16 *try to have at least three points on the first*
17 *phase, another three points on the second phase, if*
18 *we are looking for a biphasic curve. That way, you*

19 can determine a slope on the second phase. If you
20 have one point on the second phase, you can't
21 determine a slope. I mean, you can but you would
22 have no idea if it has any relevance or not.

23 Q If I were to represent to you that
24 Dr. Lenarczyk characterized the survival results of
25 this experiment as biphasic are you telling me you

0077

1 disagree or agree or what?

2 A You know all I can tell you is that
3 there is one point outside of expediential. Now if
4 you want to call that biphasic, one would, you know,
5 really -- in order to say scientifically that it is
6 biphasic, you need three points.

7 Q Okay. I am with you.

8 And now if we go to Howell-14 do you
9 recognize this as a document associated with a
10 hundred percent AL-N experiment that Dr. Lenarczyk
11 performed on or about February 19, 2001?

12 A Yeah. There is an experiment here
13 apparently that according to what is typed says that
14 Marck did an experiment that began on
15 February 19th, 2001.

16 Q Now if you go into the body of that
17 document, to the document that is Bates stamped 865?

18 A 865. Okay.

19 Q The upper right-hand chart. Do you
20 agree that these results suggest biphasic survival?

21 A Yes according to what is plotted.

22 Q Do you have any recollection -- well
23 strike that.

24 The hundred percent survivals in
25 these experiments appear to be similar to the

0078

1 survivals in the V79 experiments that I showed you
2 insofar as being biphasic. Correct?

3 A The V79's that you showed me, I
4 think -- what was the lowest? I don't recall what
5 the lowest survival was. But in general --

6 Q In general.

7 A -- they followed similar patterns in
8 the experiments performed that you showed me, the
9 data books or whatever these are, that you showed
10 me.

11 Q And again comparing the articles that
12 I shared with you in which the hundred percent
13 survivals are shown to be expediential as opposed to
14 biphasic how do you explain the discrepancy, or the
15 difference, in these AL-N experiments from what is
16 represented in those articles, if you can?

17 A I don't have an explanation.

18 Q Again as I asked you before do you
19 recall having any discussion with Dr. Bishayee about
20 these three experiments performed by Dr. Lenarczyk
21 either singularly or collectively?

22 A No, I don't. Not regarding the
23 survival aspects.

24 Q Do you have any recollection of
25 having any discussion with Dr. Lenarczyk concerning
0079

1 the survival aspects of these three experiments?

2 A Of the hundred percent experiments?

3 Q Of these three AL-N hundred percent
4 experiments.

5 A Yeah. I am still on -- so can we
6 backup to the previous question?

7 Q Sure.

8 A So, we are on AL-N's and I lost track
9 of that.

10 Q So let me go back and so we are
11 clear.

12 A Yes.

13 Q My questions were relating to these
14 three a hundred percent AL-N experiments. And my
15 first question to you was do you have any
16 recollections of having any discussions with
17 Dr. Bishayee regarding the survival aspects of these
18 three experiments?

19 A No.

20 Q Are there any documents that would
21 refresh your recollection insofar as such
22 discussions with Dr. Bishayee?

23 A Not that I know of.

24 Q Same question in regards to
25 discussions with Dr. Lenarczyk regarding the

0080

1 survival aspect. Do you have any recollection of
2 discussions with him concerning the survival aspects
3 of these three experiments?

4 A Not that I recall.

5 Q Are there any documents that would
6 refresh your recollection?

7 A Not that I know of.

8 Q Okay. At this point in time, you
9 know, specifically by the time we looked at the last
10 experiment, which was February 19, 2001, I believe
11 that was Howell-14.

12 A Are we on V79's now?

13 Q I am on AL-N's.

14 A Okay.

15 Q By the time Dr. Lenarczyk did this
16 last experiment in February 2001 did you form any
17 conclusion that Dr. Bishayee's results were not
18 reproducible?

19 A February 2001, I don't remember on
20 what date that, you know, any issues were raised. I
21 think it was after February 19, 2001.

22 Q By that point in time had you formed
23 any conclusions in your mind that Dr. Bishayee's
24 results with regard to the 100 percent survivals
25 were not reproducible?

0081

1 MR. LEONARD: Objection to form.

2 A No.

3 Q Had you formed any suspicions?
4 MR. LEONARD: Objection to form.
5 A No.
6 Q *And you would agree with me would you*
7 *not that insofar as all the experiments that I have*
8 *shown you to date regarding the hundred percent*
9 *experiments, all of the dates of these experiments*
10 *occurred prior to the time when you met with*
11 *Dr. Baker -- when you spoke with Dr. Baker, or met*

12 *with the campus committee, on research integrity?*

13 MR. LEONARD: Objection to form.

14 A And provide me with a date that I met
15 with them, I don't recall.

16 Q Subsequent to April.

17 A Yeah.

18 Q Of 2001?

19 A So --

20 Q So, you would agree with me that all
21 of these experiments preceded?

22 A *I believe the latest date we have*
23 *here is February 19th, 2001 so yes.*

24 Q Explain to me the process, or
25 protocol, that you had with regard to your postdocs,

0082

1 whether it be Dr. Bishayee or Dr. Lenarczyk, as they
2 concluded these experiments. How they came to cross
3 your desk if at all?

4 A Generally, they would perform the
5 experiment, analyze the data. We compare to
6 whatever data that we had on hand and assemble.
7 Once we thought we had sufficient amount of data to
8 obtain a similar result then we would move forward
9 for publication otherwise, we wouldn't.

10 Q *But generally speaking can you*
11 *characterize a time frame in terms of when the*
12 *experiment the postdocs are doing are concluded when*
13 *it comes to land on your desk?*

14 A *That could vary anywhere from days to*
15 *years.*

16 Q What about these experiments. Can
17 you in any manner recall how long it was?

18 A A sub L, I don't even remember
19 landing on my desk. I know that there was some
20 discussions of A sub L in my office of some of the
21 studies, that I do recall we had some discussions.
22 I don't remember if we discussed these. Given that
23 it was February 19th, I would assume not. But I
24 don't remember.

25 Q Let me take those back so I can keep
0083

1 track of the three that I just marked.

2 (Exhibit Howell-15, Experiment dated
3 7/16/01, is received and marked for identification
4 by the reporter)

5 (Exhibit Howell-16, Experiment dated
6 9/27/01, is received and marked for identification

7 by the reporter)

8

9 BY MR. PINCUS:

10 Q Dr. Howell, I am going to show you
11 what we marked, as Exhibits Howell-15 and Howell-16.
12 You recognize these documents as experiments that
13 you performed on or about July 16, 2001 and
14 September 27, 2001 respectively?

15 A Yes these are my writing.

16 Q And am I correct that these documents
17 represent experiments that you performed at the
18 request of Dr. Raveche in the aftermath of the
19 complaint that Dr. Hill --

20 A Not that I recall.

21 Q Both of these are a hundred percent
22 experiments are they not?

23 A They indicate that they're a hundred
24 percent, yes.

25 Q And were these -- strike that.

0084

1 And insofar as Howell-15, I want you
2 to go to Page 74555 if you would please?

3 A Okay.

4 Q And would I be correct that the
5 minimum survival that you observed insofar as
6 conducting this experiment was -- is represented by
7 what appears to be 210 at 0.3623. Is that correct?

8 A That appears to be correct.

9 Q And insofar as the chart that appears
10 in the upper right-hand portion correct that looking
11 at the points of those charts that the results
12 showed survival to be biphasic?

13 A Again there is one point outside of
14 the expediential, perhaps two. So it is
15 expediential for all but one set of the points. So
16 yeah, I mean maybe you could construe that but you
17 would need more data points to ensure that it is
18 indeed biphasic.

19 Q I am interested how you construe it
20 however. Can you answer the question?

21 A I can't make a conclusion based on
22 this.

23 Q How do the results of this experiment
24 compare with the survival results in the two papers
25 that we reviewed earlier? I am happy to share them

0085

1 with you again if you need be.

2 A I'd have to look at that, yes.

3 Q I am going to show you again,
4 Howell-10 and 11 -- I'm sorry, 11 and 12, I
5 apologize. So let's first go to -- oh no, no, no it
6 was Howell-10 and 11.

7 A Okay.

8 Q So if we go to Howell-10 first and we
9 go to Figure 3 on the document Bates stamped 402,
10 Page 91?

11 A Yep.

12 Q Would you compare the results of the
13 experiment that you performed on July 16, 2001 with
14 the results of the hundred percent experiment shown
15 in Figure 3 of Howell-10 please?

16 A They're different. They're different
17 axes. The X axes is different on them. One is
18 cellular uptake mili-Becquerel per cell the other is
19 cluster activity in kilo-Becquerel.

20 Q What about insofar as expediential
21 versus biphasic, the kinetics so to speak?

22 A The article, which has the survival
23 versus the cluster activity, appears to be a single
24 component expediential. The data in Exhibit 15 has
25 most of the data showing expediential with a single
0086

1 point appearing not to fit in the expediential line.
2 You might construe two points but one for sure.

3 Q Well what is the other one that you
4 say one might construe?

5 A I mean if in the absence of the last
6 point, I would fit that with a pure expediential
7 curve. If I took -- if I put my thumb over that one
8 point and I were going to pick a function to fit
9 that data, I would pick an expediential function.

10 MR. PINCUS: So let the record
11 reflect that Dr. Howell has his thumb over the point
12 that appears at the far right, a portion of the
13 chart above the horizontal axis, which is denoted at
14 approximately 3.6.

15 Q Is that correct?

16 A 3.6. Yes.

17 Q And that 3.6 by the way corresponds
18 with the minimum survival of that that you
19 identified earlier?

20 A Correct.

21 Q But if you include that is it
22 biphasic?

23 A It may or may not be. I mean, you
24 can't say that it definitively is unless you got at
25 least three points on the far side that second
0087

1 phase.

2 Q I understand your position.
3 Now let's look if you will please at
4 Howell-16. This is an experiment that you performed
5 on September 27, 2001. Is that correct?

6 A September 27, 2001. Yes.

7 Q And this was a hundred percent
8 experiment. Correct?

9 A A hundred percent, yes.

10 Q And survivals are shown on 7485. Is
11 that correct?

12 A 7485. Yes.

13 Q And would you characterize the
14 survival here as expediential or biphasic?

15 A That one, I would characterize as

16 biphasic maybe even triphasic.
17 Q So it appears that at least in the
18 majority of the experiments I have shown you and I
19 have asked you to comment on the survivals, the
20 results of survival, were biphasic. Is that fair?

21 A Excuse me?

22 Q Insofar as the majority of the
23 experiments that I -- hundred percent experiments
24 that I have reviewed with you here this morning
25 where I have asked you to review and comment upon

0088

1 survivals, you have characterized those survivals as
2 biphasic rather than expediential. Isn't that so?

3 A No that is not true. I said that --
4 and in fact, I am looking at this one more closely.
5 Again there is only one data point outside of what
6 appears to be expediential. Indeed there is a shift
7 of all of the data points relative to unit survival
8 but there is only one data point outside of what
9 would appear to be expediential. So again even with
10 this one scientifically, one couldn't conclude
11 either way. So from a purely scientific standpoint,
12 you can't conclude biphasic.

13 Q Well isn't the expediential decline
14 at -- represented by the far left portion of the
15 graph where it goes from one down to -- I am going
16 to point it to you that is the easiest, to that
17 point, which, I guess, is zero-point about eight or
18 so -- I am just guessing looking at it upside down.

19 A Yes.

20 Q And doesn't one then characterize the
21 biphasic nature of the survival as what goes -- is
22 represented by the points that occur after that?

23 A *Well in this case what is unusual*
24 *about this curve is there is no points between the*
25 *first point and the control. So, you don't know*

0089

1 *what is going on there. So, you have to ask*
2 *yourself did the whole curve get shifted for some*
3 *reason by some systematic error or -- you know, I*
4 *can't explain why the first point there starts at*
5 *.2, 3, 4, 5, .6. That is a little odd.*

6 Q But you would agree with me that
7 insofar as the survivals that are represented in
8 Howell, I believe, 10, the Figure 3, these results
9 are different than what was reported in the article.
10 Isn't that so?

11 A Here.

12 Q What we were looking at a couple of
13 moments ago, Howell Exhibit 10, Figure 3. And where
14 I had asked you to compare -- let me ask the
15 question in fairness to you. When I asked you to
16 compare the results in -- at least that paper,
17 compare them for me please. Compare the results of
18 the experiment that you performed on September 27,
19 2001 to the results that are set forth in Figure 3
20 of the paper that is identified as Howell-2.

21 A Figure 3 shows the surviving fraction
22 as a function of cluster activity as I indicated
23 before. And this figure in Exhibit 16 plots
24 survival fraction versus cellular uptake in
25 mili-Becquerel per cell. So again, the X axis is

0090

1 different between the two.

2 Q Go to, if you would, let's take
3 Howell-11 please. I am just reaching to assist you
4 here.

5 And if you go to Figure 1 can you
6 answer the question again please?

7 A I might be able to. This is Exhibit
8 11 --

9 MR. LEONARD: Can I get the question
10 read back to me?

11 MR. PINCUS: Do you want me just to
12 rephrase it, John?

13 MR. LEONARD: Yeah.

14 Q Would you compare the results in
15 regards to survival between the experiment that you
16 performed on September 27, 2001 and the results that
17 are depicted in Figure 1 of Howell-11 please?

18 A Okay. So normally, we characterize
19 our curves in terms of the 37-percent survival
20 value, or amount of activity, that would be required
21 to achieve 37-percent survival.

22 Q And what does that mean? Define that
23 in layperson's term please?

24 A I just did.

25 Q Okay.

0091

1 A I just did. The amount of
2 radioactivity, average radioactivity, per cell that
3 would be required to reduce the population to
4 37-percent survival, more technically that would be
5 the A37, A for activity.

6 Q Okay.

7 A So --

8 Q Don't write on that please.

9 A Okay. So if I look at this and try
10 to estimate the D37 from this and maybe it is even
11 in a table somewhere.

12 Okay. A hundred percent so, .8
13 mili-Becquerel per cell. It says, D37 is .8
14 mili-Becquerel per cell. And 2037 here it looks
15 like it is about two. So, the D37's are somewhat
16 different.

17 Q Okay.

18 A What else would you like me to
19 compare?

20 Q You answered my question. Thank you.
21 So would you agree with me that this
22 experiment did not replicate the result that is set
23 forth in the paper?

24 MR. LEONARD: Objection to form.

25 A If you look --

0092

1 Q It is a very simple question yes or
2 no?

3 A No.

4 Q It did not.

5 A No. I would say that it doesn't tell
6 you that. You have two experiments and you're
7 telling me to say that this doesn't replicate that.
8 I can't make that statement.

9 Q Why can't you do that?

10 A Because if you do any two or three or
11 four experiments and look often, the mili-Becquerel
12 per cell can change from experiment to experiment.
13 So, I am not going to conclude, based on the fact
14 that this is 2 mili-Becquerel per cell and this D37
15 is .8 mili-Becquerel per cell, that I haven't
16 reproduced it. That I am not going to say at all.

17 Q If a principle investigator cannot
18 replicate published results what is your
19 understanding that that principle investigator
20 should do?

21 MR. LEONARD: Objection to form.
22 Where?

23 A That is such a general -- general
24 question. I can't answer the question. You need to
25 be more specific.

0093

1 Q Do you have any understanding insofar
2 as any obligation on the part of a principle
3 investigator to report the inability to replicate
4 results with the entity that afforded them, or
5 granted to them, the grant monies?

6 MR. LEONARD: Objection to form. You
7 can answer if you can.

8 A Do you really think that each and
9 every experiment everyone does, they get exactly the
10 same thing? And each time that they don't, they
11 call and say hey, I didn't get?

12 Q I am asking you. I get to ask the
13 questions, you just get to answer them.

14 A I would say that if somebody doesn't
15 get the exact same results no, they would not pick
16 up the phone every time.

17 Q So, you didn't see any obligation
18 based on the experiments, the hundred percent
19 experiments that we have gone through here this
20 morning, to report the inability to replicate the
21 survival results with the N I H?

22 MR. LEONARD: Objection. Asked and
23 answered.

24 A My focus was not as much on the
25 hundred percent experiments with respect to

0094

1 survival. I was looking to see if there was a
2 mutation response so...

3 Q So, the answer was that you did not
4 feel an obligation to report these results.

5 Correct?
6 A No, I did not.
7 Q Okay.
8 (Exhibit Howell-17, Experiment dated
9 12/26/00, is received and marked for identification
10 by the reporter)
11 (Exhibit Howell-18, Experiment dated
12 1/15/01, is received and marked for identification
13 by the reporter)
14 (Exhibit Howell-19, Experiment dated
15 2/5/01, is received and marked for identification by
16 the reporter)
17 (Exhibit Howell-20, Experiment dated
18 6/14/01, is received and marked for identification
19 by the reporter)
20 (Exhibit Howell-21, Experiment dated
21 7/5/01, is received and marked for identification by
22 the reporter)
23
24 BY MR. PINCUS:
25 Q Before we move to these when I was
0095
1 discussing the hundred percent experiments to you, I
2 asked whether you felt any obligation to report
3 those experiments to the National Institute of
4 Health. Did you report these experiments in any way
5 shape or form to Dr. Baker?
6 MR. LEONARD: Objection to form.
7 A No.
8 Q Dr. Putterman?
9 MR. LEONARD: Objection to form.
10 A The hundred percent experiments?
11 Q Yeah.
12 A No.
13 Q Did you report them to the Committee
14 on Scientific Integrity in or about April 2001 to
15 your recollection?
16 MR. LEONARD: Objection to form.
17 A No.
18 Q The answer was no?
19 A Not to my recollection.
20 Q Let's start looking at these.
21 If you look at Howell-17 this appears
22 to be a 50-percent experiment done with V79 cells by
23 Dr. Lenarczyk on or about December 26, 2000. Is
24 that correct?
25 A Which one, 17 we are at now?
0096
1 Q Seventeen.
2 A December 26, 2000 -- yes, Lenarczyk
3 V79's.
4 Q So was this an experiment looking for
5 a bystander effect?
6 A I don't know. How can I tell that by
7 looking at this?
8 Q Well do you recognize it?
9 A I don't remember it specifically, no.

10 Q So can you describe what this
11 experiment was?

12 A If I sit and look at it, I might be
13 able to.

14 There is no protocol so, I don't
15 know.

16 Q Let's look at the survivals on Page
17 900 if you would please?

18 A Okay.

19 Q Can you describe the survival in
20 terms of it being expediential, biphasic?

21 A I wouldn't conclude anything at all
22 about that survival curve because there is four --
23 essentially four points scattered in various
24 directions so, I wouldn't make any conclusion at all
25 from that.

0097

1 Q Are you able to compare the survivals
2 with the survivals reported for the 50-percent
3 experiments in the papers, Howell-10 and Howell-11,
4 that we looked at earlier?

5 MR. LEONARD: Objection to form. You
6 can answer.

7 A Okay. The only thing that I see that
8 relates to 50 percent is on the first page, which
9 says fraction of cells labeled. And it does say .5.
10 But that is a computation, which is independent of
11 the experiment. Meaning that those were numbers
12 plugged into an Excel spreadsheet.

13 Q Would it assist you at all to know
14 that Dr. Lenarczyk identified this as a 50-percent
15 experiment involving the V79 cells at the time we
16 deposited him?

17 MR. LEONARD: Objection to form.

18 A I don't. How would that help me?

19 Q I am simply seeking to refresh your
20 recollection.

21 A As I said, I don't have a
22 recollection. *And the only thing here that says*
23 *that this is a 50-percent experiment is an entry in*
24 *an Excel spreadsheet. That is...*

25 Q Does this survival chart on Page 900

0098

1 *show a bystander effect?*

2 A *How can I say that if I don't know if*
3 *it is 50 percent?*

4 Q *Assume it is.*

5 A *Assume it is 50 percent. Does it*
6 *show -- I don't know. I see data points there that*
7 *say corrected and uncorrected. How can I tell if*
8 *there is a bystander effect?*

9 Q *Are you telling me that you can't*
10 *answer the question?*

11 A *Based on what I see here, I can't*
12 *answer the question based on what I see on that.*

13 Q *Let's go to Howell-18. Do you*
14 *recognize these documents?*

15 A I may or may not have seen them, I
16 don't recall.

17 Q Again, I will represent to you that
18 Dr. Lenarczyk represented that these were 50-percent
19 experiments involving V79 cells that he performed on
20 or about January 15, 2001.

21 A Okay. Again there is no protocol
22 same as before. And I have an entry on a
23 spreadsheet that says .5.

24 Q Go to Page 906 please.

25 A Yes.

0099

1 Q Do these results, assuming this is a
2 50-percent experiment, demonstrate a bystander
3 effect?

4 A Again it doesn't even say what the

5 two symbols are. *You can't conclude anything from*
6 *this. The symbols are defined as corrected and*
7 *uncorrected.*

8 Q What about the data to the left of
9 it? Does that in any way assist you?

10 A The data to the left?

11 Q Of the chart.

12 A Okay. So assuming -- you said
13 assuming that there is a 50-percent experiment can I
14 conclude whether or not there is a bystander effect?

15 Q Yes.

16 A It would appear that if this is a
17 50-percent experiment that there is -- *there is no*
18 *indication of a killing bystander effect in this*
19 *experiment.*

20 Q Would you agree then that this
21 result, assuming what you just said, is different
22 than that reported in the papers that we have
23 referred to earlier, Howell-10 or 11?

24 MR. LEONARD: Objection to form.

25 A Yes. It looks different than what is

0100

1 reported, yes.

2 Q I am happy to share these with you
3 anytime you need to.

4 MR. LEONARD: Just so we are clear
5 this is all predicated on the assumptions as to what
6 this represents?

7 MR. PINCUS: That is correct.

8 Q Okay. Let's go to Howell-19 if you
9 would please. And again, I am going to represent to
10 you that Dr. Lenarczyk identified this as a
11 50-percent experiment involving V79 cells that he
12 conducted on February 5, 2001.

13 MR. LEONARD: Shelly just so I'm
14 clear, you can't represent that that is in fact what
15 this is?

16 MR. PINCUS: I am saying what he
17 testified that is what.

18 MR. LEONARD: Okay. So, you don't

19 know that is what that is?

20 MR. PINCUS: No. I am saying that
21 that is what he testified it was.

22 MR. LEONARD: I am just trying to be
23 clear.

24 MR. PINCUS: No, no that is perfectly
25 fine that you say that. But that's what he

0101 testified.

1 Q So if you look at the survival on
2 Page 894 do those survivals show the bystander
3 effect as I asked you before?

4 A Okay. So as before this is indicated
5 as a 50-percent experiment on a spreadsheet, which
6 was -- this would have been recorded after the
7 experiment was conducted during the analysis of the
8 experiment. And it says, according to that entry
9 there in the spreadsheet, that it is 50 percent. No
10 protocol is indicated as before and we have -- if we
11 assume that this is a 50-percent experiment then if
12 there is any -- there is a -- perhaps a weak
13 bystander effect in that it looks like the last
14 set -- those two data points at 15 and 20
15 mili-Becquerel per cell appear to be below a
16 survival of .5.

17 Q That is the basis on why you say
18 perhaps?

19 A That is why I would say perhaps, yes.

20 Q Now if you go to -- if you would
21 please, Howell-20?

22 A Howell-20. Okay.

23 Q And again assume Dr. Howell testified
24 that this is a 50-percent experiment --

0102 A Dr. Lenarczyk.

1 Q I apologize. Dr. Lenarczyk
2 identified that as a 50-percent experiment involving
3 V79 cells that he conducted on June 14, 2001. If
4 you would go to Page 888 do the survivals show the
5 existence of a bystander?

6 A Let's hold on just a moment. I'd
7 like to backup for a second.

8 Q Backup to where?

9 A Yeah. *Did he note anything about the*
10 *V79's where these came from? I don't see any*
11 *notation here where these particular V79's came from*
12 *for that matter in any of these experiments. What*
13 *V79 cells are these?*

14 Q I don't know to be able to answer
15 your question here right now.

16 A Okay. So then for the record,
17 Items -- you know in fact almost every single
18 experiment we have looked at so far, *the source of*
19 *the V79 is not indicated for the record.*

20 Q Okay. My question to you is insofar
21 as this document, Howell-20, and the survivals that
22 are depicted on Page 888 of Howell-20, do the
23

24 survivals indicate to you, assuming a 50-percent
25 experiment, that there was a bystander effect shown?
0103

1 A Okay. So for these V79's, which we
2 don't know the whereabouts of, these show -- I
3 should say the source of it -- strike the
4 whereabouts, the source of. Okay? So here there
5 appears to be, based on these data, *no killing*
6 *bystander effect*.

7 Q And the last one that I want to
8 review with you and then we will finish up this
9 particular set of questions on these documents is if
10 you go to Howell-21 again, Dr. Lenarczyk identified
11 this as a 50-percent --

12 A Yes.

13 Q -- V79 cells on July 5, 2001?

14 A Yes.

15 Q Same questions as the survivals that
16 appear on Page 882 show a bystander effect?

17 A Page 882. If this indeed is
18 50 percent only one data point indicates a small
19 bystander effect.

20 Q Which one are you referring to
21 please?

22 A Data point Number 7.

23 Q Show me on the chart where you are
24 referring to please?

25 A I don't know which one is data .7.

0104

1 Let's assume that is ten, nine, eight, seven. It
2 certainly can't be that one.

3 Q So, you're talking about data .7
4 would be represented by?

5 A The table. And it indicates the
6 survival fraction as .47.

7 Q .4732?

8 A Yes.

9 Q What you are referring to?

10 A Yes. 0.47 --

11 Q 0.4732. Just wanted to be sure.

12 And would I be correct that insofar
13 as those experiments in which you indicated, based
14 on the assumptions that we made, do not show a
15 bystander effect that differs from the results that
16 were reported in the two papers, Howell-10 and 11?

17 MR. LEONARD: Objection to form. You
18 can answer.

19 A Yeah. No these don't appear to be
20 following the same pattern. *There are a few data*
21 *points here and there which might indicate a small*
22 *bystander effect. But the pattern appears to be*
23 *different than what was in the document -- which*
24 *document did you say?*

25 Q Ten and 11?

0105

1 A Ten and 11. Ten and 11.

2 Q Do you have any facts on which you
3 relied to explain the differences?

4 MR. LEONARD: Objection to form.

5 A Facts to explain the differences.
6 Well let's see. What do we have to consider as a
7 fact? I have --

8 MR. LEONARD: Are you asking for a
9 possible explanation?

10 MR. PINCUS: No, I am not asking for
11 a possible explanation.

12 Q Did you conduct any investigation, or
13 did you do anything, to determine why these
14 experiments don't show existence of a bystander
15 effect as compared to what the papers report?

16 MR. LEONARD: Objection to form.

17 A I am still not sure what you're
18 asking.

19 Q And that is exactly what I want you
20 to do. You've identified that those experiments
21 that don't show the bystander effect obviously
22 differ insofar as what is represented and reported
23 in the two papers, Howell-10 and 11, that we have
24 identified here earlier?

25 A Uh-huh.

0106

1 Q Is there any factual basis of which
2 you have personal knowledge as to why these
3 experiments did not show the existence of a
4 bystander effect notwithstanding what is reported in
5 those two papers?

6 MR. LEONARD: Objection to form.

7 A Yeah. The basis -- the only basis
8 that I have -- would have is that *I know there are*
9 *variables that are different than at the time, or*
10 *may have been different, and some that are different*
11 *than at the time the experiments were done, the*
12 *original experiments were done.*

13 Q And those variables did you actually
14 test those variables in any form or fashion?

15 A Yes. If you look in fact at the
16 last -- one of the variables is source of the cells.
17 And that is why I raised that point earlier, the
18 last document that you raised. In fact, Marck -- I
19 asked Marck to order cells from the American Tissue
20 Type Collection, A T C C so, we wanted to look at
21 another line of V79's because V79's are very well
22 known to have many different versions of them and
23 are known to mutate readily. So, I asked them to
24 look at the A T C C in addition to whatever stocks
25 that we had frozen at the time. And that was one

0107

1 item that we did to try to determine whether or not
2 cells had a role in it.

3 Q So the reference that you just made
4 to Howell-21 refreshed your recollection of having
5 discussions with Dr. Lenarczyk regarding the results
6 of these experiments. Is that correct?

7 MR. LEONARD: Objection to form.
8 A Now when -- are you referring to a
9 question that you asked earlier?
10 Q Well, I am saying does your
11 identification of the reference, A T C C on
12 Howell-21, refresh your recollection as to whether
13 these experiments -- let me ask the question please.
14 Whether these experiments, Howell-17 to 21,
15 individually or collectively, were discussed with
16 Dr. Lenarczyk?
17 MR. LEONARD: Objection to form.
18 A Why are you using the word refresh?
19 Why -- what does the choice of the word refresh
20 mean?
21 Q Because before, you said you weren't
22 sure whether you could identify these documents or
23 not. So, I will ask you the question this way --
24 A And that is still correct.
25 MR. LEONARD: I don't think that has
0108
1 anything to do with the discussion about what he did
2 as opposed to just looking at documents to say --
3 MR. PINCUS: I will ask the question
4 again. Question withdrawn. Let's just try to move
5 this along.
6 Q Did you have any discussions with
7 Dr. Lenarczyk regarding the experiments, which we
8 have marked for identification, as Howell-17 to 21?
9 A I will note the following. The
10 previous time that you asked me that was about the
11 hundred percent --
12 Q I am not asking about the hundred
13 percent so let's not go there. I am asking you --
14 A This is a fresh question.
15 Q I am asking about these experiments,
16 these 50 percent, Howell-17 to 21.
17 A Yes. So -- okay. So did I have any
18 discussion with Lenarczyk? Yes, I am sure that I
19 did. Because that was the reason for ordering the A
20 T C C's.
21 Q When did you have that discussion, or
22 discussions?
23 A I don't remember.
24 Q Are there any notes that you have
25 that would refresh your recollection as to when the
0109
1 discussion, or discussions, took place?
2 A No there are not.
3 Q Tell me the substance of the
4 discussion as best you can recall that occurred
5 between you and Dr. Lenarczyk?
6 MR. LEONARD: Objection to form.
7 A Yeah. I don't recall.
8 Q You don't recall the substance of the
9 discussion?
10 A What does substance mean?
11 Q If you can't relate to me

12 word-for-word, you can talk -- you can relate to me
13 what the general subject matter --

14 A The general subject obviously must
15 have been the, we should look at other possibilities
16 in terms of sources of V79 cells and they may have
17 changed.

18 Q Was there anyone else present at the
19 time you had this discussion with Dr. Lenarczyk?

20 A I have no idea. I don't recall.

21 Q Did you have any discussions
22 regarding these experiments, Howell-17 to 21, with
23 Dr. Bishayee?

24 A I may have had regarding because I
25 believe he was aware also of the testing of the A T

0110

1 C C. But I don't recall clearly.

2 Q So we are clear when you respond to a
3 question you may have had well anything is possible,
4 I am asking whether you have a recollection or not
5 of such a discussion, or discussions?

6 A I don't recall if Bishayee was in on
7 the A T C C discussion, I don't recall. But I would
8 guess that he was.

9 Q I don't want you to guess.

10 A Okay.

11 Q Do you have a recollection of him
12 being in on it?

13 A Not that I recall.

14 Q Aside from the A T C C discussions
15 did you discuss these series of experiments with
16 him, Dr. Bishayee?

17 A Let's see these are -- yes. Only to
18 the extent -- yes. And that we -- we did have a
19 discussion as to why we weren't getting a similar
20 response as we got before.

21 Q Do you recall when that discussion
22 took place?

23 A No, I don't.

24 Q Are there any documents that would
25 refresh your recollection insofar as when that

0111

1 discussion took place?

2 A No -- or, I should say, strike that.
3 Not that I know of.

4 (Whereupon, a lunch recess was
5 taken.)

6 (Exhibit Howell-22, Experiment dated
7 11/20/00 is received and marked for identification
8 by the reporter)

9 (Exhibit Howell-23, Experiment dated
10 11/28/00, is received and marked for identification
11 by the reporter)

12 (Exhibit Howell-24, Experiment dated
13 2/15/01, is received and marked for identification
14 by the reporter)

15

16 BY MR. PINCUS:

17 Q All right. Dr. Howell, I am going to
18 show you what I have marked as Howell-22. Take a
19 moment to review this. This appears to be a
20 50-percent experiment done with AL-N cells by
21 Dr. Lenarczyk on or about November 20, 2000. Do you
22 recognize that document?

23 A I don't recall looking at this
24 document.

25 Q Well again, I will indicate to you
0112

1 that during the course of his deposition,
2 Dr. Lenarczyk identified having performed this
3 experiment.

4 Insofar as survival as I have asked
5 you for, if you look at the page Bates stamped 19978
6 please. And insofar as the chart does this indicate
7 to you the existence of a bystander effect?

8 MR. LEONARD: You know what let me
9 just put on the record, Shelly, that although it is
10 stapled together this looks like the Bates number
11 comes from two different sources. So, I am not
12 altogether sure that these pages even go together.

13 MR. PINCUS: As I say these were --
14 if you compare these to the exhibits that were shown
15 to Dr. Lenarczyk during the course of his

16 deposition, he identified it as being the
17 documentation associated, you know, with these.

18 MR. LEONARD: I don't think that
19 is -- I think he identified the pages, his name --

20 MR. PINCUS: You can note your
21 objection.

22 Q But again, I was calling your
23 attention to B019978.

24 A One other thing of note it is
25 interesting though that this date here is crossed

0113
1 out on the first page and 11/20 is penned in and
2 then here it is, 11/20.

3 Q Uh-huh.

4 A So that may be the source of your --

5 MR. LEONARD: Yeah.

6 A -- question.

7 Q All right. Now will you respond to
8 my question in regards to the survival charts
9 whether it shows bystander effect please?

10 A Okay. This graph here seems to have,
11 first of all, more data points than appear to the
12 left. So, I am not sure what is going on -- oh
13 corrected and uncorrected, I see. Why are there
14 three?

15 Q Go to 859 rather, 85 -- the Bates
16 stamp 859 at the end.

17 A Okay. Yep.

18 MR. LEONARD: They're not even in the
19 same range within a thousand.

20 Q Does that indicate to you the

21 existence of bystander effect based on the data that
22 is set forth?

23 MR. LEONARD: Objection. What data?

24 Q Can you answer the question?

25 A I thought that he was --

0114

1 Q He stated an objection, he didn't
2 direct you not to answer.

3 A Okay. So restate the question
4 please?

5 MR. PINCUS: Read back the question
6 please.

7 (Whereupon, the question was read
8 back by the reporter.)

9 A So if assuming that this is a
10 50 percent A sub L, N and H experiment, I see only
11 one data point that falls below 50-percent survival
12 and therefore this would indicate that *there does*
13 *not appear to be a killing bystander effect as per*
14 *this chart.*

15 Q Thank you.

16 The A sub L is synonomous with the
17 AL-N that we have been talking about earlier. Isn't
18 that correct?

19 A Well, A sub L normally as it's done
20 here, that L is a subscript to the A.

21 Q But they're synonymous?

22 A Synonymous with what with the word, A
23 sub L?

24 Q AL-N that we were talking about
25 before?

0115

1 A No. There is two different versions
2 of A sub L, or at least two. I don't know maybe
3 there is more than two.

4 Q I am going to show you Howell-23.
5 This is a documentation that was identified by
6 Dr. Lenarczyk associated with an experiment --
7 50-percent experiment that took place on
8 November 28th, 2000. Do you recall seeing this --
9 this documentation before?

10 A I don't recall seeing this, no.

11 Q If you look at the 0919597, the
12 chart, does this show bystander?

13 A Nine five, which one?

14 Q One nine --

15 A First page?

16 Q First page, 19597.

17 A First page. If I look at the
18 survival values and assuming again that this is
19 50-percent labeling with tritiated thymidine, which
20 it actually doesn't even say thymidine it says, H3.
21 Let's see if it says thymidine somewhere.

22 It does on the --

23 MR. LEONARD: I am just going to put
24 an objection on the record to the extent that he is
25 looking within this document for information to

0116

1 inform his decision making. Again this document
2 obviously comes from two different sources. We have
3 no way -- and Lenarczyk did not say that all these
4 documents are all related to the same experiment.

5 MR. PINCUS: I don't agree with you
6 there. But we will let the record speak for itself.

7 Q You may continue.

8 A Yes. So this particular plot, and I
9 hadn't noticed this previously on the other ones, it
10 may or not be the case, it doesn't say anything
11 about on the plot that it is tritiated thymidine it
12 says tritium. And then it does say in the
13 parameters of the analysis spreadsheet, it does say
14 tritiated thymidine. Okay? And I don't see any
15 survival values that are below 50 percent, which
16 would indicate that in this experiment, no, *there is*
17 *no apparent killing bystander effect.*

18 Q Thank you.

19 And I am going to show you what we
20 have marked for identification as Howell-24,
21 experiment conducted on February 15, 2001 of
22 50 percent by Dr. Lenarczyk. Do you recognize this
23 document?

24 A No, I don't. I am actually getting
25 puzzled. There seems to be an awful lot of

0117

1 experiments done at the same time and I'm wondering
2 how that is possible. Didn't -- did you hand me
3 some others that were right around February?

4 Q I may have. But right now, I am
5 focusing on these. I am not going to take the time
6 to go back over them right now but --

7 A Okay. Well, I just -- you know, I am
8 finding that puzzling and I would like to raise that
9 point that it does seem as though there is an awful
10 large number done in an awfully short period of
11 time. But that be as it may.

12 Q Okay. Do you recognize these
13 documents?

14 A This document, I don't recall looking
15 at no.

16 Q Well again, Dr. Lenarczyk identified
17 this experiment being done on that date involving
18 50 percent using A sub L cells.

19 A Yeah. These are -- these are A sub L
20 neomycin resistant is what he is using.

21 Q And I call your attention to B09692,
22 I believe, the last page of this document insofar as
23 survivals?

24 A B09, which?

25 Q 692 please.

0118

1 A 692. Okay.

2 Q Do these survivals indicate a
3 bystander effect?

4 MR. LEONARD: Shelly, I just want to

5 make one clarification. We are going through all
6 these documents and we're just flipping through a
7 graph. So long as we are clear that Dr. Howell is
8 not looking at any of the data, how the data -- wait
9 a minute. How the data is contrived. You might as
10 well just take what you're asking him and you might
11 as well just take pictures and draw it, whether
12 something that looks like that represents a
13 bystander effect. Is that your question?

14 MR. PINCUS: That is my question.

15 Q Whether that chart indicates to you
16 that these results indicated the existence of a
17 bystander effect?

18 MR. LEONARD: Well wouldn't it be
19 quicker to just have him demonstrate what a chart
20 that would show that would look like?

21 MR. PINCUS: Maybe if you wanted to
22 do it that way when you question him, you are free
23 to. Right now, I am asking him this way.

24 MR. LEONARD: Well here is the
25 problem. I am not going to let him -- I want to be

0119

1 very clear that -- and we keep going through this,
2 you keep showing him all these documents and he's
3 not substantiating nor does he have any firsthand
4 knowledge about the information in them. If you
5 want him to look at pictures, like is this a picture
6 of the sun? Yes, I think that looks like the sun,
7 that is fine. But he is not rendering any opinion
8 as to what is in these documents. So if you just
9 want to flip to the last page and stop all the
10 commentary about what Lenarczyk said or didn't say,
11 we could just do that and he can say well no because
12 as I have told you before in order to show bystander
13 it would have to depict this. But other than that,
14 he is not rendering any opinion or substantiating
15 any of this information.

16 MR. PINCUS: Well, your objection
17 is noted. I want to proceed the way --

18 MR. LEONARD: Are we in agreement on
19 it?

20 MR. PINCUS: I am not agreeing or
21 disagreeing with you, I am questioning him. I want
22 to proceed the way I want to proceed. Are you
23 directing him not to answer?

24 MR. LEONARD: Well, I am going to
25 direct him not to guess. If what you're asking for

0120

1 is an opinion on this document, he has no firsthand
2 knowledge. Then, I am going to tell him not to
3 guess as to what any of this means.

4 MR. PINCUS: We have gone through a
5 whole series of experiments in which you did not,
6 you know, indicate this problem until now. I have
7 this one and a few others to go through and I want
8 to conclude it.

9 THE WITNESS: That is not true. I
10 will interrupt --
11 MR. PINCUS: No, you will not
12 interrupt. There is no question pending to you,
13 sir. So please with all due respect sit there and
14 do not respond --
15 MR. LEONARD: Shelly, he's allowed --
16 THE WITNESS: May I respond?
17 MR. PINCUS: Do not respond until a
18 question is posed to you. I am having a dialogue
19 with your counsel, I am not looking to argue with
20 you.
21 MR. LEONARD: Shelly, I think this is
22 the problem. You see what the problem is? He
23 thinks --
24 MR. PINCUS: The problem is --
25 MR. LEONARD: -- you're looking at
0121
1 pictures.
2 MR. PINCUS: The problem is, you told
3 him well gee here is the basis for objecting.
4 You're testifying for him.
5 THE WITNESS: No that is not true.
6 MR. PINCUS: I am not speaking to
7 you, sir.
8 THE WITNESS: Well, I am speaking to
9 you.
10 MR. PINCUS: Then please --
11 MR. LEONARD: Lower your voice or I
12 will throw you out of here.
13 MR. PINCUS: Don't throw me out.
14 MR. LEONARD: Get out.
15 MR. PINCUS: No, I am not getting
16 out.
17 MR. LEONARD: Get out. Deps over.
18 Out.
19 MR. PINCUS: I am not getting out.
20 MR. LEONARD: This is my building.
21 You will get out or I will throw you out.
22 MR. PINCUS: You will not --
23 MR. LEONARD: Dep is over. Get out.
24 MR. PINCUS: If this dep is over then
25 I want to get on the phone with the judge. Let's
0122
1 get on the phone --
2 MR. LEONARD: I don't care who you
3 call. Do it on your way down the elevator.
4 MR. PINCUS: Let's take a break
5 and --
6 MR. LEONARD: No.
7 MR. PINCUS: -- let's get him on the
8 phone.
9 MR. LEONARD: No.
10 MR. PINCUS: Why are you concluding
11 this dep?
12 MR. LEONARD: Because you're yelling
13 at my witness and it is over. I asked you to lower

14 your voice and you wouldn't.
15 MR. PINCUS: Let's go off the record
16 and have a discussion outside. Will you please do
17 me the courtesy?

18 MR. LEONARD: No.

19 MR. PINCUS: You're not going to do
20 that?

21 MR. LEONARD: You're not going to
22 yell at my client.

23 MR. PINCUS: I did not yell. I
24 respectfully disagree with you --

25 MR. LEONARD: You're yelling now.

0123

1 MR. PINCUS: No, I am not. I am not
2 yelling. My voice tone is much lower than yours.

3 MR. LEONARD: You're accusing me of
4 telling him -- you're letting him -- the reality is,
5 he's looking at pictures and what you don't like is,
6 you want him to act as if he is giving you an
7 opinion on these documents and that is not what is
8 happening.

9 MR. PINCUS: John, Number one are you
10 done?

11 MR. LEONARD: I am and so are you.

12 MR. PINCUS: No, no, no. I want this
13 on the record here. Now hear me out and I am
14 surprised that you're taking this position here
15 right now because the fact that we have our first
16 disagreement on the record, you're terminating a
17 deposition? I politely asked your client not to
18 interrupt a dialogue that you and I were having.
19 You, yourself, interjected when he attempted to do
20 that requesting him not to do so. You and I
21 continued to talk about it, he went in, I politely
22 asked him to not do that.

23 MR. LEONARD: Shelly, you raised your
24 voice. I asked you not to --

25 MR. PINCUS: You raised your voice to

0124

1 me too. You have too. So all right if we raised
2 our voices, or whether we didn't raise our voices,
3 there is no basis for you given the time lines here.
4 Are you declaring Discovery over?

5 MR. LEONARD: I don't even know what
6 you're talking about.

7 MR. PINCUS: No. If you're
8 terminating this deposition then you expect other
9 depositions to go on before we conclude this one?
10 Because there is not a good reason for you to
11 terminate this deposition based on what occurred.
12 And if you are going to do that before we break, I
13 want to reach out -- I want us to reach out to
14 Magistrate Falk so that we can have a discussion
15 concerning that. That is what the order requires us
16 to do when we have those discussions.

17 MR. LEONARD: I don't --

18 MR. PINCUS: Let's go outside please.

19 Can we please go off the record and go outside?

20 MR. LEONARD: Off the record. I'll

21 be right back.

22 (Whereupon, a recess was taken.)

23

24 BY MR. PINCUS:

25 Q So looking at Howell-24 are you

0125

1 familiar with this experiment that Mr. Lenarczyk
2 performed?

3 A I don't recall this --

4 Q You don't recall this at all?

5 A Not the specific experiment. I'd
6 have to go back and look at the complete records.
7 Lenarczyk did experiments this happens to be -- this
8 is the A sub L one?

9 Q Yeah.

10 A I don't recall this, no.

11 Q Do these documents indicate anything
12 to you by way of whether Dr. Lenarczyk's results
13 showed a bystander effect?

14 A Well actually, I am just -- you know,
15 we just had a large discussion about the detail to
16 which one should look through these documents. So
17 as I just started getting prepared to answer your
18 question, I just noticed that the Coulter count here
19 says, the cell counts for samples -- the cells were
20 not syringed. Were these used in the analysis,
21 these cell counts that weren't syringed?

22 Q I don't know.

23 A Then I can't comment.

24 Q Then that is your response. Thank
25 you.

0126

1 A You can't do an experiment without
2 syringing the cells.

3 (Exhibit Howell-25, Recount of
4 4/17/01 tubes, is received and marked for
5 identification by the reporter)

6 (Exhibit Howell-26, Experiment dated
7 4/19/01, is received and marked for identification
8 by the reporter)

9 (Exhibit Howell-27, Experiment dated
10 5/3/01, is received and marked for identification by
11 the reporter)

12 (Exhibit Howell-28, Experiment dated
13 6/28/01, is received and marked for identification
14 by the reporter)

15

16 BY MR. PINCUS:

17 Q I show you what has been marked for
18 identification as Exhibit Howell-25. Do you
19 recognize this document?

20 A It has my writing on it.

21 Q Do you recall performing this
22 experiment?

23 A No, I don't specifically recall

24 performing this experiment.

25 Q Am I correct that this was a

0127

1 50-percent experiment?

2 A It indeed says 50-percent labeling.

3 Q And looking at the survivals can you
4 indicate whether your experiment showed a bystander
5 effect?

6 A Where are the survivals?

7 Q I am saying can you glean that from
8 this experiment? If you look at -- if you look at
9 7365, the Coulter counts, are you able to determine?

10 A The what?

11 Q The colony counts, I'm sorry. Are
12 you able to determine whether it showed a bystander
13 effect?

14 A Not by looking at that. I mean, I
15 can try to figure it out by looking through the
16 entire thing but I can't tell by looking at that.

17 Q Would you look through this
18 experiment, which you say you believe you performed
19 and whether you can determine that, and describe for
20 me as you do so the process that you're following in
21 order to do so?

22 A Based on this, I can't tell
23 immediately. It looks as though this is a
24 50-percent labeling sort experiment wherein the
25 labeled cells were labeled with C F D A, which would

0128

1 enable you to identify them in the cell sorter and
2 the unlabeled cells would be not so labeled. So,
3 the cell sorter presumably was used to separate the
4 two labeled and unlabeled cells and the colonies --
5 the colony counts presumably would be for the
6 labeled versus unlabeled cells.

7 Q That would be representative of the
8 plus or minus set forth?

9 A I would assume, yes. I don't
10 think -- no. That is not my writing so yes, I am
11 assuming that minus means no dye, plus means dye.
12 But I don't know.

13 Q Do you recognize whose writing that
14 is?

15 A It may be Marck's but I am not sure.

16 Q Might it be Dr. Bishayee's?

17 A It might be Dr. Bishayee's. It could
18 be.

19 Q Could it be Dr. Azzam's?

20 A No it couldn't be Dr. Azzam's.

21 Q So continue please.

22 A Okay. So as I said based on looking
23 at this, I am not sure that I can tell if there is
24 or isn't. Let's see.

25 Q Is there anything in the facts that

0129

1 would assist you?

2 A Well what is 1.2 here? 1.2. Okay.

3 So if you look at Tubes 1 and 2 --
4 Q What page are you on, sir?
5 A 7362.
6 Q Okay.
7 A Tubes 1 and 2 are those -- yeah.
8 Those two are control. Okay? So Tubes 1 and 2 are
9 control, no tritiated thymidine. And the colonies
10 we can see in the 1.2 and 2.2 minus, we have --
11 Q Now, you're looking at 7365 again?
12 A 7365.
13 Q No. Yes. Okay.
14 A 1.2, the colony counts are 57, 56 and
15 55. 2.2, they're 85, 86 and 97. And typically, one
16 would average the values for 1.2 and 2.2, at least
17 that is what I imagine was done. And these are the
18 bystander cells, right? Because they're noted as
19 dye negative according to this, whoever wrote that.
20 And if I took the average of 1.2 and 2.2 then it is
21 indeed likely that some of the values that we see
22 below would look to be lower than that average. So,
23 one could construe that there is some bystander
24 effect in -- in the 3.2, the 4.2, probably not the
25 5.2, maybe the 6.2 and probably the 7.2. I am

0130

1 trying to average them in my head quickly, I can't
2 do it.
3 Q I understand.
4 A So there seems to be lower counts in
5 the bystander -- the bystander cells, which would be
6 3.2, 4.2, 5.2, 6.2 relative to controls 1.2.
7 Q Now why was Dr. Azzam participating
8 or observing this experiment?
9 A I wanted -- I don't remember in this
10 particular one. But the reason I was having
11 Dr. Azzam was as a witness that these experiments
12 were being done.
13 Q A witness for whom?
14 A For me.
15 Q Who told you to do them?
16 A Nobody told me to do them that I
17 recall.
18 Q You don't recall being instructed to
19 perform this experiment by Dr. Raveche or any other
20 administrator at the University?
21 A I do not recall being instructed as
22 to do so.
23 Q And is it your recollection that --
24 well what is it your recollection of what Dr. Azzam
25 did in regards to this experiment?

0131

1 A He -- I was at the hood in 451B,
2 which is toward the back of that room. The window
3 is to my right along with a desk. I don't remember
4 if the desk was there at the time and he sat by that
5 what I think is where the desk was.
6 Q That is all he did?
7 A Yes.

8 Q Did you confer with him in any
9 fashion in regards to this experiment?
10 A In terms of setting it up and so on?
11 Q Setting it up or carrying it out?
12 A Other than to ask him to sit? No.
13 Q Is it your recollection that he sat
14 and observed each and every step associated with
15 this?
16 A I can't recall whether he saw every
17 step or not.
18 Q Do you recall whether he sat and
19 observed any other experiments or just this one?
20 A I believe there was more than one but
21 I can't recall. It would be indicated by initials.
22 Q Is it your recollection that
23 Dr. Azzam initialed the documents associated with
24 any experiment that he observed at your request?
25 A That is what you see right here.

0132

1 Q Well, I see it insofar as this
2 particular exhibit, Howell-25.
3 A Correct.
4 Q But so I am clear are you telling me
5 that to the extent he observed other experiments
6 that you performed, he would have engaged in that
7 initialing process?
8 A I believe so, yes.
9 Q That is your recollection?
10 A Yeah that is my recollection.
11 Q Fair enough.
12 Now, I am going to show you Howell-26
13 please.
14 A Okay.
15 Q Do you recall whether you performed
16 this experiment on or about April 19, 2001?
17 A Again, I don't recall which ones were
18 done and weren't done so, I don't recall.
19 Q Does this contain your handwriting?
20 A Yes.
21 Q Does it assist you at all? If you
22 look at the document Bates stamped B007390 it has
23 your name. See where I am referring to, sir, up
24 here?
25 A 73 --

0133

1 Q 90?
2 A Okay. Yeah.
3 Q Does that assist you in terms of
4 identifying whether you performed this experiment or
5 not?
6 A That would indicate -- that would
7 indicate that I entered data into the spreadsheet,
8 or that would suggest that I entered data in the
9 spreadsheet to analyze the variety of data that we
10 have here.
11 Q The significance of identifying you
12 as the investigator means what?

13 A Means that I would have carried out
14 the experiment. That is normally what I would --
15 normally how I would indicate that.

16 Q So if the name Lenarczyk or Bishayee
17 appeared there it would be reasonable to conclude
18 that those individuals carried out the experiment?

19 A Assuming that when they filled out
20 the spreadsheet -- because we use the same
21 spreadsheet over and over. Assuming that they had
22 typed in -- you know erased what was there and typed
23 in their name that is the case.

24 Q And this was another 50-percent
25 experiment was it not?

0134

1 A This is 50 percent.

2 Q Now insofar as survivals if you look
3 at, I believe, 7394?

4 A Yes.

5 Q What did this show insofar as the
6 bystander effect if anything?

7 A Okay. So this does not appear to be
8 a sort. And there was some problem in this
9 experiment indicated on 7384, had difficulty with
10 transfers due to inconsistent decanting left over --

11 Q What does that refer to?

12 A When you're washing -- let's see. It
13 had something to do with -- what you do is, you
14 transfer the cells from -- I believe this is what is
15 going on there, from the Helenas to a bigger tube
16 when you are breaking them up -- no that is not true
17 because its 72 hour hasn't occurred yet so this is
18 prior to loading the tubes. So that means that this
19 is in the assembly of the clusters. There was some
20 problem and would have probably led to inconsistent
21 numbers of cells in the cluster. If you miss some
22 of it, or have difficulty in the transfer, you
23 wouldn't have a cluster necessary at 4 million
24 cells.

25 Q So, you noted that. And getting back

0135

1 to 7394 what did that mean insofar as the survival
2 and bystander effect that is denoted on the chart?

3 A 7394. Well clearly something
4 occurred in this experiment such that neither
5 labeled nor unlabeled cells appeared to be affected.
6 So, one can't conclude anything from it other than
7 none of the cells seemed to be significantly
8 affected.

9 Q Is it fair to say that you were
10 trying to replicate the results reported in the
11 articles that we referred to earlier through
12 conducting this experiment?

13 A We were trying to do a 50-percent
14 labeling experiment with tritiated thymidine.

15 Q Would you agree that the results that
16 are shown here are not consistent with what was
17 shown in the figures that I showed you in the two

18 articles?

19 MR. LEONARD: Objection to form. You

20 can answer.

21 A Yeah, I would say they're not
22 consistent with anything that I would expect because
23 there is no response, which I have no idea why there
24 is no response.

25 Q When you say no response that means

0136

1 no bystander?

2 A No killing of either the labeled or
3 unlabeled it appears.

4 Q Okay.

5 I am going to show you what we marked
6 as Howell-27. Do you recognize this document?

7 A Again, I don't recall this particular
8 document but my writing is on it.

9 Q This indicates a 50-percent
10 experiment, or appears to indicate a 50-percent
11 experiment, that took place, or commenced taking
12 place, on May 3rd, 2001. Is that correct?

13 A According to the document, yes.

14 Q And to your recollection did
15 Dr. Azzam observe, or have anything to do with this
16 experiment?

17 A I have to see only -- if his initials
18 are on it, I guess the answer would be yes.
19 Otherwise, I don't know.

20 Q Well, I don't believe that his
21 initials are anywhere on this document.

22 A So, he may or may not have been part
23 of it. I believe that I had him initialing but I
24 can't be certain.

25 Q If you look at the survivals on 7407

0137

1 please what did this tell you insofar as a bystander
2 effect if anything?

3 A Which one?

4 Q 7407 please?

5 A Okay. So, we are 50 percent -- so
6 the majority of the points -- in fact all of the
7 corrected survival points indicates survivals above
8 50 percent, which would indicate that there was no
9 killing bystander effect in that particular study.

10 Q And I will show you the last,
11 Howell-28. If you would please do you recognize
12 this document?

13 A Again, I don't recall the document
14 specifically but it is my handwriting and there is
15 somebody else's handwriting on it too, which --

16 Q Where are you looking please?

17 A 7423.

18 Q Do you recognize whose handwriting
19 that is?

20 A Not sure. It is probably Marck's. I
21 am not sure.

22 Q Did Marck tend to use European
23 seven's in his writing? Do you know what I am
24 referring to?

25 A Yeah. But I would have no idea.

0138

1 Q What about Dr. Bishayee do you recall
2 whether he did so?

3 A I don't recall.

4 Q But in any event let's look at the
5 survivals again here if you would please, as we have
6 been doing. If you go to 73 -- I'm sorry, 7430.

7 A 7430.

8 Q What does this tell you in regards to
9 bystander if anything?

10 A Okay. So if this represents a
11 50-percent experiment, which it says it is on Page
12 7419, only one of the data points has a survival
13 value below point -- no, two. Excuse me, two of the
14 data points have a survival value below .5. And
15 those two data points would indicate perhaps some
16 bystander. The other ones are above .5.

17 Q And that --

18 A Indicating that there doesn't appear
19 to be a bystander -- survival bystander or killing
20 bystander.

21 Q Do you have any recollection in
22 regards to these last few exhibits that I have just
23 shown you having any discussion about them with
24 Dr. Raveche?

25 A With Dr. Raveche? Which docs now?

0139

1 So, we are looking at what?

2 Q These past four experiments that I
3 just showed you, which you identified as having your
4 names on them?

5 A Those docs, I did not discuss with
6 Dr. Raveche.

7 Q Did you discuss those documents with
8 Dr. Baker to your recollection?

9 A No.

10 Q To Dr. Putterman?

11 A Not the documents, no.

12 Q To your program director at National
13 Institute of Health?

14 A No.

15 Q Who was that individual?

16 A Program director? Gentleman -- what
17 was his name? Paul something. I forget. He is
18 not -- oh what was his name? I don't recall. I
19 don't recall. In fact, I am not sure I ever spoke
20 with him during the entire course of the grant. I
21 don't typically give my program directors calls.

22 Struedler (phonetic), Paul Struedler.

23 It might have been Mahoney. There is Paul Struedler
24 and Francis Mahoney but I can't remember what
25 they're two functions are.

0140

1 Q Okay.
2 (Exhibit Howell-29, Summary of
3 Experiments, is received and marked for
4 identification by the reporter)
5
6 BY MR. PINCUS:
7 Q Dr. Howell, I'm going to show you
8 what I've marked as Exhibit Howell-29. Do you
9 recognize that document?
10 A Yes.
11 Q Did you author this?
12 A Yes.
13 Q Do you recall when you did so?
14 A When the attorneys -- after the
15 District Attorney's office visited my office. I
16 think -- was he the district attorney? Who the heck
17 were those guys again?
18 MR. LEONARD: The Attorney General's
19 office -- the U S Attorney's office?
20 THE WITNESS: Yeah. Whatever they
21 were.
22 A They came to the office.
23 Subsequently, University attorneys got involved and
24 they asked me to provide a summary of the -- of some
25 experiments.

0141

1 Q Can you --
2 MR. LEONARD: Shell can we take a
3 minute?
4 Are you saying you drafted this at
5 the request of the in-house lawyers?
6 THE WITNESS: Yes.
7 MR. PINCUS: It was disclosed to the
8 U S attorneys. It's been out here.
9 MR. LEONARD: I know. But it would
10 be inadvertent production in terms of you guys.
11 MR. PINCUS: Well unfortunately there
12 has been quite a bit of discussion about this and
13 the other day when you weren't there in another
14 context so...
15 MR. LEONARD: I am going to direct
16 him not to answer any questions. I know it was
17 provided to U S attorneys it was done at the behest
18 of in-house counsel so, I'm going to say inadvertent
19 production with respect to the civil litigation.
20 MR. PINCUS: I have to ask him some
21 other questions surrounding this. Okay? If I may?
22 Okay? Let me ask a question. If you have a
23 problem, you let me know without getting into the
24 substance of the document, just procedure.
25 THE WITNESS: Now before you do that

0142

1 can I have a word with you outside? I just want to
2 ask you a question. It's not about this document
3 about something else. Can I take -- I would like to
4 take a break and ask a question or not?
5 MR. PINCUS: Let me just ask you a

6 couple of questions and then I will let you do that.
7 Okay?

8 THE WITNESS: Sure.

9 Q Did you discuss the preparation of
10 this document with Dr. Azzam?

11 A Did I -- the preparation of the
12 document? No.

13 Q Did you discuss with Dr. Azzam the
14 material that forms -- did you discuss with
15 Dr. Azzam the information that is contained within
16 the document?

17 A Some of the information.

18 THE WITNESS: I can answer these?

19 MR. LEONARD: You can answer the
20 question.

21 A So some of the information in the
22 document certainly was discussed with Dr. Azzam.
23 But -- well prior to the preparation of the
24 document.

25 Q Okay. Am I correct that the

0143

1 information that you discussed with Dr. Azzam
2 involved some of the variables that may have
3 affected the inability, or replicate, the bystander
4 effect in these experiments?

5 A Yes.

6 Q Okay. And the variables that may
7 have changed do you recall whether that information
8 was provided to you by Dr. Azzam?

9 A No that was not. You mean in written
10 form?

11 Q No, no, no just in substance again.
12 Did he orally say, you know, and discuss with you --

13 A We had discussions from which brain
14 it emerged from. I couldn't tell you on a
15 case-by-case basis.

16 Q And did you discuss with Dr. Azzam
17 issues regarding the culture medium?

18 A I discussed the culture medium issues
19 perhaps with Dr. Azzam. But obviously there were
20 issues also related to the cells, which involved
21 Lenarczyk. So who I discussed what with, I don't
22 recall.

23 MR. LEONARD: Shell let me just say,
24 I have no problem in the world with you asking him
25 these type of questions but I prefer it not come

0144

1 from a line-by-line reading of the document. So
2 anything you want to ask him that is fine but in my
3 view, you shouldn't have that document.

4 MR. PINCUS: Well, I am not so sure I
5 should or I shouldn't. And the fact of the matter
6 is, I do. And at this point in the game so to speak
7 it having been out there for as long as it has been,
8 I am not so sure whether in fact there is a waiver
9 to the extent that it is protected as you may
10 suggest.

16 the preparation of this document other than
17 yourself?

18 A In preparation of the document? As I
19 said this was done at midnight in my bed and my wife
20 was in the bed and awake so, she would have been
21 there while I was typing. But beyond that, no.

22 Q When did you start working with V79
23 cells?

24 A 1984 maybe.

25 Q And where did you get the cells from?
0147

1 A In '84, I used cells from -- I was
2 working in Ahmen (phonetic) Kassis's lab in Boston.

3 Q K-A-S-S-I-S?

4 A K-A-S-S-I-S.

5 Q And was it that cell line that you
6 kept going for purposes of doing research?

7 A No not at that time. Not at that
8 time.

9 Q What is the history of the cells that
10 you used in regards to V79?

11 A Sure. So in the time at the Harvard
12 Med School, I used his cells. What the history of
13 those were, I have no absolutely no idea. When I
14 came to New Jersey Medical School, I got V79 cells
15 from Dr. Athwal, A-T-H-W-A-L.

16 Q Who was he?

17 A He was a faculty member at the
18 school. I have no idea where he is now but he left
19 so, we used those until I don't know when --

20 Q And by the way when did you come
21 to --

22 A '87.

23 Q '87?

24 A Somewhere along the line. Number of
25 years later, I ended up getting additional cells

0148
1 from Ahmen Kassis. The relationship to the original
2 ones, I don't know. Somewhere later on down the
3 line, his freezer melted down and he lost his cells
4 and then my freezer and, I don't know, some order
5 like that. So a *number of different cells have been*
6 *involved in this.*

7 Q How did you maintain your cells?

8 A I had cells in -- kept in the flasks
9 so those were carried constantly.

10 Q What do you mean carried?

11 A Passaged from one flask to the next
12 is how we would typically maintain them. And in the
13 1980's when I was doing that work as a graduate
14 student, I don't even recall, I usually -- I think I
15 just asked somebody in the lab for a flask of cells

16 as I recall because I was doing those experiments
17 every two months, or something like that.

18 Q I'm sorry were you done?

19 A Go ahead.

20 Q Have you ever heard V79 cells
21 referred to as being immortal?
22 A Yes.
23 Q What does that mean to you?
24 A That means that you can -- they won't
25 senesce and that you can keep propagating them over
0149
1 and over and over again.
2 Q What medium did you use to maintain
3 these cells?
4 A Minimum essential medium.
5 Q Also known as M E M?
6 A Yes.
7 Q Am I correct that there is two parts
8 to the medium there is a culture medium and a serum?
9 A There is more than that.
10 Q What else?
11 A There is water. Because we used to
12 make our own medium so, we would purchase a powder
13 from Gibco, I believe it was.
14 Q Gibco, G-I-B-C-O?
15 A G-I-B-C-O.
16 So, we purchased the M E M powder
17 from Gibco and mix up water from the University's
18 deionized water system. Then, we would add 30
19 milliliters of Gibco seven and a half percent sodium
20 bicarb and raised the volume to one liter.
21 Q Sodium bicarb affects P H. Correct?
22 A Sodium bicarb is -- the color is --
23 yes. It is -- essentially yes. *It is essentially a*
24 *buffer for the media, I guess.*
25 Q During the course of your experiments
0150
1 associated with the bystander effect did you
2 experience any problems with the culture medium?
3 A We haven't finished the ingredients.
4 Q So, you're still using the same?
5 A No. There is more ingredients that
6 we haven't gotten through. You asked me what I put
7 in --
8 Q Oh, I'm sorry.
9 A And so, I hadn't completed that.
10 So, we also put in L-Glutamine and
11 L-Glutamine is put in from a concentrate from Gibco,
12 10 M L's per liter. I don't remember what the
13 concentration of the stock is. We also put a
14 concentrate of penicillin streptomycin, which is
15 also was typically from Gibco, I think always from
16 Gibco. And that was 10 M L's. I don't remember the
17 concentration offhand.
18 Q Did you experience any problems with
19 the culture medium during the time that the
20 experiments associated with the bystander effect
21 were performed?
22 A What do you mean by problems?
23 Q Well did the culture medium show any
24 type of evidence of change whatsoever?

25 MR. LEONARD: Objection to form. You
0151

1 can answer if you can.

2 A You know the only -- the only change
3 that might be noticed would be a very large change
4 in color. If you add moderate changes in color, you
5 wouldn't notice. One thing that does definitely
6 occur is when you make the media. Initially it is
7 not as pink as when the bottle is emptying so as you
8 keep using the bottle and open it again and again
9 and again. It gets a pinker look to it it's sort of
10 an orangy --

11 Q Orangy?

12 A -- look initially and gets pinker as
13 you keep opening the bottle.

14 Q And do you have any recollection of
15 taking notice, of having any concerns, about this
16 during the time that the various experiments that we
17 have been discussing here today were occurring?

18 A No. And I wouldn't have recognized
19 small changes in color, I wouldn't have noticed.

20 Q So if we look at Howell-29, I believe
21 it is that you have in front of you, and if you go
22 to Page 2, which is 18320, and you refer to P H of
23 media that is what we are talking about here right
24 now. Is that correct?

25 A Yes.

0152

1 Q And that is denoted under a subject
2 heading invariables that may have changed?

3 A That is correct.

4 Q And you had listed that for purposes
5 of trying to address why the 50-percent labeling
6 data were not reproducible notwithstanding what had
7 been published. Correct?

8 MR. LEONARD: Objection to form. You
9 can answer.

10 A I indicated that as one of the
11 variables that may have changed.

12 Q May have changed.

13 A And I also indicated below that --
14 that I had spoken with another colleague who
15 indicated that P H changes of .1 unit could
16 completely change a response. And .1 unit P H, I
17 doubt, although I do not know for sure, would give
18 you a significant change in the color of the media.

19 Q Did you undertake any analysis or
20 investigation to determine whether in fact there had
21 been such changes in the P H of the media?

22 A None that I recall. At the time we
23 were doing those experiments, we did not P H the
24 media. When it was prepared, we added the
25 ingredients as they were and that was the way I was

0153

1 taught as a graduate student.

2 Q So notwithstanding that you list this
3 as a possibility, you don't have any facts to

4 support the fact that there was a change in the P H
5 of the media that may have influenced the results of
6 the experiment is that so?

7 MR. LEONARD: Objection to form.

8 A I have no measurement of the P H. It
9 is likely that there would be variations at least of
10 .1 unit P H from batch to batch but --

11 Q But you have no --

12 A I haven't measured it.

13 Q Let me finish the question in
14 fairness to you. You undertook no measurements for
15 purpose of determining that likelihood that you have
16 described?

17 A No.

18 Q You say insofar as other variables
19 that may have changed. If you go up, you see it
20 says source of microfuge tubes that the clusters are
21 maintained in. Only ultrapure tubes are free of
22 trace elements and we have never used ultrapure
23 tubes.

24 What were you referring to there?

25 A We -- the original tubes were from

0154

1 Helena at some point, which I don't recall. We ran
2 out of them and they no longer made the tubes any
3 longer. So in fact, I know I have one batch of
4 tubes still in the laboratory that were ordered as
5 replacements for the Helenas that we had run out of.
6 So, we sought an alternate source and we elected not
7 even to use those because they were sufficiently
8 different in terms of -- I don't remember exactly
9 why we had decided those weren't good, I forget.
10 Pellets didn't come out easily or what. So, we were
11 not able to get the same type of tubes as the
12 original Helenas. Now when that break took place, I
13 don't know.

14 Q So there was a change in tube
15 manufacturers over the time the experiments were
16 done?

17 A Correct. And under all cases, we
18 never ordered tubes that were ultrapure, you know,
19 free of anything. I think Dr. Hill would be
20 familiar for R N A's type experiments and so on you
21 would need ultrapure tubes.

22 Q Do you have any evidence that the
23 tubes themselves would have affected the kinetics of
24 the survival of the experiments?

25 A The kinetics of the survival? Do I

0155

1 have evidence -- what do you mean by kinetics of
2 survival?

3 Q The kinetics of survival would be at
4 the rate of survival.

5 A I don't know what that means.

6 Q Did you test other tubes of the same
7 dimensions?

8 A Of the same --

9 MR. LEONARD: Objection.

10 Q As the Helena tubes that you
11 described?

12 A Meaning did I conduct a study
13 specifically to test different tubes?

14 Q Yes.

15 A Not that I have any recollection of,
16 no.

17 Q And you said you're not using the
18 Helena tubes anymore. Is that true?

19 A I am using 400-microliter microfuge
20 tubes, the manufacturer of which I don't know.

21 Q Have you had any problems with them?

22 MR. LEONARD: Objection to form. You
23 can answer.

24 A Problems in what sense?

25 Q Have they posed any difficulties
0156

1 insofar as the reliability of the data that you have
2 obtained in the course of experimentation?

3 A I don't know. I mean, I don't know.
4 I couldn't tell you. I haven't tested whether or
5 not it has given me problems.

6 Q When did you stop using the microfuge
7 tubes exactly? I think you mentioned it --

8 A The Helenas?

9 Q Yeah.

10 A No, I said I didn't remember when --
11 when specifically in time we ran out of them. I
12 have no idea.

13 Q Did you ever repeat the experiments
14 that Dr. Bishayee performed using microfuge tubes?

15 MR. LEONARD: Objection to form.

16 A Did I ever repeat the experiments
17 using microfuge tubes?

18 Q Yeah.

19 A I did experiments with microfuge
20 tubes.

21 Q I am talking about the ones that
22 Dr. Bishayee performed.

23 A Which ones?

24 Q The ones that we reviewed earlier
25 here today.
0157

1 A Which dates?

2 Q You want me to go back, I will go
3 back?

4 A Are you talking about the '99
5 experiments, the 2000? Which experiments are you
6 talking about?

7 Q I'm talking about any of the hundred
8 or 50-percent experiments that we identified here
9 earlier today?

10 A And repeat the question?

11 Q Did you ever repeat any of those
12 experiments using microfuge tubes?

13 A Using microfuge? I carried out

14 experiments with 50-percent labeled and so on with
15 microfuge tubes, yes.

16 Q And what did that show insofar as
17 compared to Dr. Bishayee's results?

18 MR. LEONARD: Objection to form.

19 A As summarized in this document.

20 THE WITNESS: So, I can answer?

21 MR. LEONARD: Yes.

22 A As summarized in this document the
23 bystander responses that we had seen in the
24 published studies appeared to be not seen in the
25 subsequent experiments that we carried out.

0158

1 Q The microfuge tubes do they, to your
2 knowledge, have more air space over the medium that
3 is contained within that tube than the Helena tubes?

4 A Oh, we are getting -- you're calling
5 microfuge tubes different than Helena tubes?

6 Q Yeah.

7 A Oh then, we have to rewind --

8 Q Are they synonomous?

9 A We have to rewind all of this because
10 I assumed when you said microfuge tube that you
11 meant Helena tube.

12 Q Okay.

13 A So, we got to go all the way back to
14 the beginning. So let's start over again.

15 Q Okay. Microfuge tubes to you mean
16 Helena tubes is what you're telling me?

17 A That is typically what I, you know,
18 we -- I would call that a microfuge tube,
19 400-microliter microfuge tube.

20 Q And when you identified earlier the
21 fact that you changed manufacturers of the Helena
22 tubes, you were using microfuge for purposes of
23 describing that if I understand you correctly,
24 right?

25 A Yes. The Helena is the manufacturer,

0159

1 the tube is a microfuge tube.

2 Q Gotcha. Okay.

3 And when I asked you whether you are
4 still using Helena tubes, I take it then that your
5 response was well, I am not using tubes from that
6 manufacturer but I am using microfuge tubes?

7 A Correct. So, I can say I am not
8 using Helena tubes, I am using microfuge tubes.

9 Q And have you, insofar as a change in
10 manufacturers, have you undertaken, you know, any
11 kind of investigation, or study, to determine
12 whether the different manufacturer of these
13 microfuge tubes in fact caused, you know, an
14 inability to replicate the published data?

15 MR. LEONARD: Objection to form.

16 A Yeah. I don't recall clearly but I
17 do know...

18 THE WITNESS: I can --

19 MR. LEONARD: Yes.
20 A As I told you, we have a box of these
21 tubes on top, which I still have, which for some
22 reason, we rejected as not being suitable for the
23 experiments and I don't recall why. I mean that was
24 a long time back.

25 Q So sitting here is it fair for me to
0160
1 conclude that this box that you're making reference
2 to did you undertake any kind of experimentation to
3 determine their suitability?

4 MR. LEONARD: Objection to form. You
5 can answer.

6 A Not specifically to determine their
7 suitability. They were -- we rejected them for some
8 reason, which I don't recall as not suitable, which
9 I presume must have been based on an observation.
10 Because we certainly wouldn't have bought them and
11 then just stuck them up there as no good.

12 Q Well did you do any experimentation
13 to determine as to whether that type of tube in fact
14 was a variable that may have changed your inability
15 to reproduce the published data?

16 A Well there was no experiment done to
17 show that that was the case. What I am telling you
18 is that the fact that we had rejected some indicated
19 that the tube has an impact. But I don't remember
20 why we rejected it.

21 Q Okay.
22 And are there any documents that you
23 have that would assist you to respond what the
24 reason was?

25 A I don't know. You have got all the
0161
1 documents that I have so, I mean, you got everything
2 from 1990 what, '96 to what? You got virtually
3 everything in my lab from '96 to God knows when. So
4 it would have occurred in that time frame.

5 Q I am asking whether you can recall
6 whether there is any document that would assist
7 us --

8 A Not that I can...

9 Q Okay. So, you're not aware of any
10 document that would assist us to determine whether
11 in fact this, you know, was a contributing factor?

12 A No. The only thing I can tell you
13 is, I have a box of tubes, which you're happy to
14 take off my hands.

15 Q Go down to the next variable. You
16 say levels of trace element in the U M D N J
17 deionized water from which cell culture media is
18 prepared.

19 To your recollection at or about the
20 time these various experiments were performed were
21 any other individuals who worked within that lab,
22 whether it be Dr. Lenarczyk, whether it be Dr.
23 Azzam, complaining of any trouble that they had that

24 would indicate that the water was affecting their
25 experimentation?

0162

1 A I don't think either of them used the
2 water.

3 Q Was there anyone else who used that
4 type of water that you can recall other than
5 yourself or Dr. Bishayee?

6 A In my lab? Not that I can recall.
7 Dr. Lenarczyk and Dr. Hill, I believe, used bottled
8 media if I remember correctly. I don't think they
9 made it but I don't remember clearly. Azzam
10 definitely. I don't believe Azzam used the water
11 directly from the tap. I know at least now, he puts
12 it through a millicube system. Back then, I don't
13 know if he did or didn't.

14 Q So while you identified this as a
15 variable that might possibly have changed the
16 results do you have any facts or evidence to support
17 a conclusion that in fact it did change the results?

18 A I have -- I have observations of
19 installations and whatnot of piping in the
20 laboratories; for example it was Dr. Avive
21 (phonetic) who volunteered to run those pipes into
22 our lab to provide us with the deionized water. I
23 don't remember what year that was, it was certainly
24 prior to this. And if you watch the maintenance
25 personnel when they handle the pipe to install these

0163

1 lines there is absolutely no clean conditions
2 whatsoever, you know. You have got cement and all
3 kinds of garbage flying around and they put the
4 pipes together and that is it.

5 Q Why did you let it continue?

6 A It didn't occur to me at the time
7 that it was an issue.

8 Q After you wrote this have you
9 undertaken to change the piping?

10 A We have -- no, I have not undertaken
11 to change the piping.

12 Q That answers the question.

13 So other than your observations of
14 the time in which this piping was installed is there
15 anything else that factually, you believe, supports
16 a conclusion that this particular variable, the
17 level of trace elements, contributed to the
18 inability to replicate the data?

19 MR. LEONARD: Objection to form. Go
20 ahead.

21 A Well, I mean, I can guarantee you
22 that filters were changed at some points in time
23 over and over again. They may have changed the
24 manufacturer of the filters so, I think essentially
25 are you -- you are asking me did the concentration

0164

1 of ions change of -- all the different ions change
2 in the deionized water? Deionized doesn't mean

3 100 percent free of ions there are ions there, it
4 doesn't mean a hundred percent free. And there is
5 all different degrees of being deionized depending
6 on how much money you want to spend and depending on
7 how often you change the filters and how often --
8 how you handle your holding tanks. There is an
9 infinite number of variables, your distribution
10 lines. So, one cannot, with any degree of certainty
11 at all, state that the water would be identical. In
12 fact, I'd go almost as far to say that you can
13 almost guaranty that it wouldn't be exactly the
14 same.

15 Q Where did you get your filters from?

16 A I don't get the filters, the
17 University does. It is essentially done.

18 Q Do you know where they come from?

19 A I have no idea.

20 Q Did you try filter from any other
21 manufacturers to determine what effect different
22 types of filters would have on survival?

23 A No.

24 Q So, you did not -- you say you can
25 guarantee that it would contribute. You have not

0165

1 done any type of experimentation to confirm your
2 guaranty, or your -- or what you believe to be the
3 case. Is that so?

4 MR. LEONARD: Objection to form it
5 mischaracterizes his testimony. He said, the
6 content of the water he didn't guaranty would change
7 not the filter.

8 MR. PINCUS: I will rephrase the
9 question. Thank you.

10 Q So did you do any investigation of
11 the filters?

12 A No.

13 Q Can you cite any reference --

14 A I'd like to make one other point as
15 well. It's even possible, and I have no idea, that
16 the entire filtration system was changed at some
17 point in time. So that you might want to look into
18 also.

19 Q Well, you don't know for a fact that
20 that occurred?

21 A I don't know if it did, no.

22 Q Can you cite any references that
23 indicate filters used to sterilize media would have
24 an effect on radiation survival?

25 A Filters that are used to filter

0166

1 media -- to sterilize the media have usually wetting
2 agents on them. So there are substances, chemical
3 substances, on those filters, which, I would
4 imagine, differ from manufacturer to manufacturer,
5 and even within the manufacturer depending on what
6 kind of filter you buy.

7 Q Did you contact any manufacturer to

8 discuss this issue with them?

9 A Not regarding the wetting agents per
10 se. But we used filters from many different
11 manufacturers. Some of those filters were donated
12 by Roche, Novartis. We used whatever filters we
13 could get our hands on.

14 Q But did you ever discuss this wetting
15 agent issue with any of the various manufacturers or
16 pharmaceutical companies?

17 A No.

18 Q Did you ever observe any affect on
19 plating efficiency in regards to these experiments
20 and the use of the different wetting agents?

21 A I didn't look to see if there was or
22 wasn't.

23 Q So, you have no data to support
24 whether it did or it did not have an affect. Is
25 that the case?

0167

1 A I do not record what filter was used,
2 what manufacturer it was, or anything of the kind.
3 So, one would have no way of correlating the two.

4 Q So, you would have no data to make
5 the connection. Correct?

6 A Correct.

7 Q This issue regarding wetting agents
8 is it significant -- so significant in your mind
9 that it might change, you know, the survivals of
10 these cells from expediential to biphasic?

11 A Well to the extent that -- you know,
12 one example Dr. Hill has cited is that a nanomole,
13 or of certain substances, could perhaps make a
14 change. So if there is a wetting agent on the
15 filter it is pretty likely that it might be there in
16 nanomole or quantity. So yes perhaps.

17 Q But you don't have any data to
18 support that in fact occurred. Isn't that so?

19 A I did not do experiments to determine
20 if wetting -- wetting agents affect survival.

21 Q Let's go down to the next one if you
22 would please. Methods used --

23 A Actually excuse me. I don't -- I
24 didn't do any experiments to affect survival for
25 bystander response.

0168

1 Q Fair enough.

2 Let's go down to the next bulleted
3 item methods used to clean bottles used to prepare
4 and store media.

5 A Yes.

6 Q Was the method of cleaning the
7 bottles changed during the time Dr. Bishayee was in
8 your lab and after he left?

9 A The soap may have changed.

10 Q Do you know that to be a fact?

11 A Probably yes. But I don't know when
12 the change would have been because we used, for a

13 long time, Fisher powdered soap. And then at some
14 point in time switched to Sigma concentrated liquid
15 soap. And I don't know when that change would have
16 been.

17 Q To your knowledge did Dr. Bishayee
18 and Dr. Lenarczyk use the same bottles?

19 A Probably not. Back -- oh, you
20 mean -- which experiments? For the A sub L's or
21 which?

22 Q For the V79's, A sub L's, which?

23 A I don't know if there was mixing of
24 the bottles between the lab. The A sub L
25 experiments were done in Dr -- where Dr. Hill and

0169

1 Dr. Lenarczyk were. I don't know if they kept their
2 bottles separate from the bottles that were being
3 used in the lab down the hall.

4 Q And then same question in regards to
5 the V79 experiments. Do you know whether
6 Dr. Lenarczyk and Dr. Bishayee used the same
7 bottles?

8 A I would imagine. Although, I don't
9 know that those bottles all came from the 451 lab.
10 But I don't know.

11 Q Do you know whether the washing
12 method was changed other than you mentioned, soap?

13 A Washing method, the soap could
14 change. And then the degree to which the steps that
15 people do.

16 Q But do you know for a fact that the
17 method was changed by the individuals?

18 A I don't know for a fact. I do know
19 that it would be unlikely they did it exactly the
20 same as the other person.

21 Q Do you know how the bottles were

22 rinsed by the two?

23 A Typically what I would instruct
24 people to do was ten rinses with -- we start with
25 regular water rinses followed by deionized water

0170

1 rinses. And I don't actually remember now, I think
2 it is ten total between the two.

3 Q Do you know who did the washing?

4 A Typically whoever was doing the
5 experiment was in charge of their own bottles.

6 Q Isn't it a fact that this issue
7 relating to washing might have an affect on plating
8 efficiency but not survival?

9 A Is that a fact? I have no idea.

10 Q I am asking you whether you know or
11 not?

12 A I don't know.

13 Q You don't know?

14 A All I know is that if there is a
15 chemical in the bottle that one doesn't anticipate
16 to be there it could indeed affect how the cells

17 respond.

18 Q And do you have any data on which one
19 can reasonably conclude that a change from an
20 expedient survival to biphasic survival occurred
21 because of the methods to clean bottles?

22 MR. LEONARD: Objection to form.

23 A I did no experiments to carry out to
24 see if choosing a different bottle made a
25 difference.

0171

1 Q Let's go to the next bulleted item if
2 you would please. Sodium bicarbonate used -- used
3 to be prepared from powder as opposed to the liquid
4 form obtained from the manufacturer.

5 A Okay.

6 Q Did you change suppliers, or did you
7 use the same supplier when you bought the powder or
8 the liquid?

9 MR. LEONARD: Just to clarify when
10 you say you with all these different things, you
11 mean the University, Shelly?

12 MR. PINCUS: Well --

13 MR. LEONARD: He is not ordering
14 supplies.

15 MR. PINCUS: Well, I am talking about
16 his lab. If he knows?

17 A I don't know if it was a change with
18 sodium bicarb -- change of manufacturer, I don't
19 know.

20 Q So, you never checked?

21 A Not that I can recall.

22 Q So if you didn't check with the
23 University did you ever contact the supplier to
24 determine what difference would be made using one or
25 the other, whether it was powder or liquid?

0172

1 A No, I didn't. But again, the
2 liquid -- if I make it it is made with the
3 University water. If I buy it from the manufacturer
4 premade that is made with their in-house water.

5 Q Did you notice any difference in the
6 color of the median in the incubator, which would
7 indicate a difference in P H from this?

8 MR. LEONARD: Objection to form.

9 A At what point in time?

10 Q During any of these experiments.

11 A The color of the medium changes
12 during an experiment.

13 Q Did you test this point in any
14 fashion?

15 MR. LEONARD: Objection to form.

16 Q Did you test this bulleted item that
17 is set forth in Howell-29 about sodium bicarb and
18 liquid?

19 MR. LEONARD: Objection.

20 A Where on that bullet does it say --

21 Q Where it says sodium bicarb and

22 liquid versus powder?

23 A Did I check whether powder versus

24 liquid has an affect?

25 Q Yes.

0173

1 A No, I didn't check. But again, I
2 will repeat that powder means that I used in-house
3 water.

4 Q I understand what you're saying.
5 The next section that talks about
6 variables that we know have changed.

7 A Yes.

8 Q Let's deal first with the different
9 incubator.

10 A Yes.

11 Q You say rusting as a Queue incubator
12 was replaced with a Napco incubator obtained by
13 donation from private industry. In fact, you had
14 several incubators in the lab. Isn't that so?

15 A Uh-huh.

16 Q Yes?

17 A Yes.

18 Q That is where I remind you, you have
19 to give a verbal.

20 A Sure.

21 Q Did you determine whether there was
22 any difference in the survival of the hundred
23 percent experiments when you used different
24 incubators?

25 A I never did experiments specifically

0174

1 to test whether the incubator makes a difference.

2 Q And was there any difference in the
3 bystander experiments from the expedient decline
4 to plateau above 50 percent based upon the different
5 incubators?

6 A That I don't know. What I can tell
7 you is that at the time we were doing the
8 experiments, we did not -- I don't believe there was
9 routine sterilization of the inside components of
10 the incubator. There may have been some
11 sterilization but certainly nothing that was a
12 routine. So it is possible that things are in the
13 incubator that one is not aware of both in the water
14 that provides the humidity as well as on the
15 incubator surfaces.

16 Q So other than that observation that
17 you made, you have no firm data on which -- on which
18 one could reasonably conclude that the incubator had
19 an affect on survival?

20 A What I can tell you is that I know
21 that there were times at which there were
22 contaminations in the incubators, we all have agreed
23 on that in the past. There were some that could
24 leave behind living organisms in the incubator,
25 which indeed could have an impact on an experiment.

0175

1 Or the byproducts of those organisms, I have no
2 idea.

3 Q Fair enough.
4 The next variables that you list is
5 the fetal calf serum, the F C S. See where I am
6 referring to?

7 A Yes.

8 Q Did you test the fetal bovine serum
9 lots before you purchased them?

10 A At the time, I don't believe we were
11 doing detailed testing but I may be wrong about
12 that. I know in subsequent years, we did test.

13 Q I am talking about these during the
14 time that the experiments that we have been
15 discussing that obviously led to this complaint
16 occurred?

17 A So did I test -- restate the question
18 again?

19 Q So did you test the serum lots before
20 you purchased them during those periods of time?

21 A I don't recall. I mean, we bought
22 one big huge lot -- oh that was after. The big huge
23 lot was a HyClone lot. Before the HyClone lot, we
24 would buy bottles from, I believe, Gibco and some
25 were donate variety. And, I think, I can say it

0176
1 actually with a fairly decent degree of certainty
2 that we didn't used to test them because we didn't
3 buy it in large volume.

4 Q I was going to ask you did you buy
5 large quantities of serum that would last a long
6 time?

7 A Not until we bought the HyClone, we
8 bought a freezer full. When we bought the HyClone
9 and that was -- I don't remember when that was but
10 they gave -- in fact, they gave us the freezer for
11 free.

12 Q Do you know for a fact whether the
13 lot of serum used by Dr. Lenarczyk was different
14 from the lot of serum used by Dr. Bishayee?

15 A When?

16 Q During the course of them performing
17 their respective experiments?

18 A I know. But which -- which
19 experiments performed by Bishayee, the '99
20 experiments or the published experiments? Which
21 ones?

22 Q Well either the '99, the published,
23 or you tell me?

24 A I am almost certain --

25 Q Go ahead. I'm sorry.

0177
1 A I am almost certain that the serum
2 would have been different between the '99
3 experiments and the 2001. I think the 2001
4 experiments, if I remember correctly, were the
5 HyClone for the most part. And prior to that,

6 Bishayee used serum from, as I said, different
7 sources, usually Gibco. But I do know that we had
8 some donated serum and I don't recall if that was
9 used or not.

10 Q Did you document this in any fashion?
11 A We didn't used to keep careful track.
12 After this whole affair, I tried to make them keep
13 track of these things.

14 Q But at least during the time that we
15 are speaking of the experiments, you did not
16 document?

17 A They may have been documented.
18 Q They may have been but...
19 A You would see it on the -- you know
20 if we go back and look at these experimental
21 documents all the way back to '99 if it was
22 documented, you'd see it on the lab reports.

23 Q So in other words if they did
24 document it there would be a notation on the
25 experiment insofar as what lots were used and at
0178

1 what time?
2 A Correct.
3 Q And if there is no -- if there is no
4 notation it is reasonable to conclude that it was
5 not documented?
6 A Yes.
7 Q Do you recall whether at the time
8 Dr. Lenarczyk was doing his tritium experiments
9 whether Dr. Bishayee was doing similar experiments
10 using radioactive iodine?
11 A At the time that Lenarczyk -- try me
12 again?
13 Q At the time Lenarczyk was doing his
14 tritium experiments do you recall --
15 A Which tritium experiments?
16 Q The 50 percent or the hundred
17 percent?
18 A Which cells?
19 Q I don't know.
20 A Okay.
21 Q I don't know.
22 A Okay. So what is the question?
23 Q Do you recall whether Bishayee was
24 doing experiments using iodine, radioactive iodine?
25 A I would believe the answer would
0179

1 probably be yes.
2 Q And which cells was he doing those
3 experiments with?
4 A Bishayee would have been V79's. From
5 which freeze, I can't recall.
6 Q Do you recall whether Dr. Bishayee
7 indicated he was having any problems with those
8 iodine experiments?
9 A Not that I recall.
10 Q So if there is no notations on the

11 various experiments regarding serum that --

12 A I know that there is some notations
13 in some of these documents regarding serum. There
14 is definitely some notations so go ahead.

15 Q Whatever level of notations that
16 there are does that permit you to show conclusively
17 that either yourself or Dr. Lenarczyk were using
18 different serums from that used by Dr. Bishayee in
19 regard to the published experiments?

20 MR. LEONARD: Objection to form. You
21 can answer.

22 A Yeah. I don't know if the published
23 experiments indicate the manufacturer and lot number
24 and so on of the serum. Because even serum from the
25 same manufacturer would be highly dependent, or

0180
1 could be highly dependent, on lot number.

2 Q What affect would the serum have on
3 the rate of survival in the hundred percent
4 experiments?

5 A It depends on what is in the serum.
6 I mean, the serum comes from -- they have a bunch of
7 animals. Where those animals are from, I have got
8 no idea. They're probably not the same animals as
9 the last batch. So, you can imagine that if I took
10 your serum and I took her serum and I took my serum
11 and so on and so forth, I could imagine that the
12 chemical constituents within those serum might be
13 quite different.

14 Q I understand what you're saying that
15 you could imagine. But you don't have any actual
16 facts or data to support that conclusion?

17 A No. But it's a well known -- it is a
18 well-known fact that the constituents of sera
19 changed and that is precisely why people typically
20 worry about it.

21 Q What affect would the serum have on
22 the rate of survival in the 50-percent experiments?

23 A I don't know.

24 Q Do you know whether a serum change
25 would change the rate of survival -- strike that.

0181
1 Would you know whether the serum -- a
2 serum change would be significant enough to have a
3 survival change from that being expeditious to
4 biphasic?

5 MR. LEONARD: Objection to form.

6 A I see no reason to see why it is not
7 possible. Again because whatever chemicals are in
8 the -- in the serum could affect the response. So
9 it is --

10 Q It might be plausible but you,
11 yourself, engaged in no such experimentation to
12 determine that?

13 A To determine if I could switch the
14 shape of the curve by switching the serum?

15 Q Yes.

16 A Not specifically to do that, no.

17 Q Thank you.

18 The next item is the flasks that the
19 cells were grown in. What flasks are you using in
20 your lab now?

21 A A variety. Some of them are Falcon,
22 some of them are probably Corning. We have
23 different flasks in the lab and -- go ahead. Some
24 are 225's, some are 175's, some are 25's.

25 Q What kind of flasks were you using in
0182

1 the laboratory at the time these experiments were
2 going on?

3 A Those, I believe, were donated flasks
4 from either Roche or Novartis.

5 Q In terms of size?

6 A In terms of that they were -- I think
7 those were the 225's.

8 Q In what way were they different than
9 the ones you're using now?

10 A The ones that were donated were old
11 flasks that were not used at those facilities and we
12 took them and utilized them. I don't know if the
13 brand that we use now is the same, certainly the age
14 is not. Beyond that, I don't know.

15 Q While I realize you list this item
16 have you been able to demonstrate that the flasks
17 you and Dr. Lenarczyk used in the course of your
18 experiments had a different affect on survival
19 compared to the survival in Dr. Bishayee's --
20 Dr. Bishayee's experiments?

21 A What I can tell you is, I have done
22 other experiments, which I don't think were related
23 to this, prior to this, which indeed the flask made
24 an enormous impact and in fact -- go ahead.

25 Q What were those experiments

0183
1 involving?

2 A Survival.

3 Q Survival of V79's?

4 A Survival of V79's.

5 Q But insofar as the survival of --
6 associated with these experiments, you undertook no
7 other experiments knowing that you could do so,
8 based at least on what you just shared with me, to
9 determine whether in fact these flasks had an affect
10 on survival?

11 MR. LEONARD: Objection to form.

12 Q Is that so?

13 A I didn't conduct experiments to check
14 whether or not those specific flasks, which
15 obviously no longer existed, were -- had an affect.

16 Q Let's talk about the next item
17 different V79 cells. You note that some freeze down
18 occurred, right? Did that occur during the time
19 that Bishayee was in your lab? Dr. Bishayee, I
20 should say?

21 A Which freeze down? There is freeze
22 downs done all the time?

23 Q Well the freeze down that you're
24 referring to in here?

25 A Okay. Let's see. Can you give me a
0184

1 location so I can find -- oh this one here. The
2 last bullet of variables that we know have changed?

3 Q Yeah.

4 A So, you're asking me whether or not
5 those V79's were frozen by Bishayee? What is your
6 question?

7 Q I am asking when did that occur,
8 yeah. Did it occur when he was there?

9 A I believe -- yes. Yes. Well, which
10 ones, the ones that minus 196 that you are saying?

11 Q Well let's ask that one first. The
12 one where it says original stocks of cells --

13 A No that -- I believe those are prior
14 to Bishayee. I think those original stocks were
15 frozen prior to his arrival because those were in a
16 little mini dewar that we had.

17 Q And the dewar that is the thermos
18 that you are referring to, right, in which you used
19 nitrogen to freeze them?

20 A Yes.

21 MR. PINCUS: D-E-W-A-R.

22 Q And then you say forced to use much
23 later passage of V79 cells that were stored at
24 70 degrees.

25 So in other words these are the same
0185

1 cells from the original batch but they've gone on
2 to --

3 A No, they're not from the same batch.

4 Q Well in other words insofar as how
5 the different passages occur --

6 A Yes.

7 Q -- in terms of them being harvested
8 and put in new plates to grow that is what you are
9 referring to?

10 A So, I believe that the cells for the
11 original experiments relative to the ones that were
12 done later were -- I don't know for sure. Actually,
13 I might be able to determine that. I don't know if
14 they were from the same -- from a previous passage
15 that originated back. I believe that they were but
16 I am not sure.

17 Q So at the time you wrote this, you
18 undertook no actual analysis to determine which
19 cells came from where and when?

20 A Well no. To that extent, the cells
21 that were used, I believe, were from 10-2000. I
22 don't remember. Do I write that here somewhere?

23 Q No, you don't to my knowledge. That
24 is why I am asking you if you made such a reference

25 please identify it for me.

0186

1 A Let's see here. Yeah it is six lines
2 from the bottom.

3 Q Okay. Hold on a second. Okay.
4 Therefore, we used V79 cells frozen in October 2000.
5 Okay. I see where you are referring to.

6 A Yeah.

7 Q And you say also, you used V79's from
8 A T C C. Put that in reference to time please?

9 A When did we do experiments with A T C
10 C?

11 Q Yeah.

12 A Look at the docs that you circulated
13 earlier, they would indicate A T C C.

14 Q You say passage numbers also not
15 known. What does that mean?

16 A That means, we didn't keep track of
17 how many times the cells had been split from the
18 time they were removed from freezer, or even prior
19 to that, and the time they were used. So, we have
20 no idea how many passages these went through both
21 through multiple freezings from year to year and at
22 each time that there was a thaw and repeated
23 passaging.

24 Q When did you lose your dewar do you
25 remember?

0187

1 A The dewar?

2 Q Dewar, I'm sorry.

3 A The dewar -- when we went to open the
4 dewar to retrieve cells to do additional
5 experiments, the dewar was warm. And the date of
6 that, I don't recall specifically.

7 Q To your recollection in reference to
8 those experiments of Dr. Lenarczyk, which you
9 identified as possibly showing biphasic results,
10 that the dewar failed subsequent to the date in
11 which the experiments were performed, or was it
12 before? Do you have any recollection sitting here
13 today by way of time?

14 A Did the dewar fail before he did his
15 experiments?

16 Q Or after --

17 A When the dewar failed, I don't -- I
18 don't recall specifically. We went to retrieve
19 cells and I can indicate to you that we did add
20 liquid. We tried to restore that dewar so it was
21 warm. We added nitrogen to the dewar and it boiled
22 off in very short order.

23 Q So, you can't give me a firm date if
24 I understand you?

25 A Not that I can recall.

0188

1 Q Is there any documents that you know
2 that would refresh your recollection in terms of
3 pinpointing a date of when that occurred?

4 A I did provide the records for cell
5 storage, I think to -- they're in a composition
6 notebook or something. I think I provided those so
7 there may be records. There should be records of
8 that.

9 Q That's where they would be located if
10 you provided them?

11 A I believe so.

12 Q Describe it for me one more time
13 please?

14 A There is a notebook where one writes,
15 you know, notes about cells that are in the dewars.
16 Now did I write that the dewar failed, I don't
17 recall. I don't know. Or did somebody write it, I
18 don't know.

19 Q When did you start to use liquid
20 nitrogen for a freezer?

21 A I bought that dewar when -- when I
22 arrived at the University, I believe, in 1987.

23 Q So is it possible, or to your
24 recollection, that in fact Dr. Bishayee used cells
25 from that freezer?

0189

1 A I would assume that because that was
2 the dewar that we had at the time. I believe that
3 was the only dewar we had at the time that was prior
4 to any of the other dewars, which were donated by
5 again, Roche or somebody.

6 Q So are you telling me that cells that
7 are frozen at minus 70 degrees in a freezer that
8 doesn't contain liquid nitrogen are different from
9 cells frozen in a freezer that does contain liquid
10 nitrogen?

11 A They certainly could be different but
12 that's not what I just told you. But they could
13 be --

14 Q I know that is not what you told me.
15 I'm asking are you telling me -- telling me that
16 that was the case in this instance insofar as you
17 are talking about the freezers?

18 A No. The purpose of that was not to
19 say that the temperature is affecting the cell it is
20 a different passage, that's what I was trying to get
21 at is the source of those cells is different.
22 However, temperature could indeed affect if you kept
23 the cells for a long period of time, keeping them at
24 minus 196, is well known to be preferred over minus
25 70 for long-term storage.

0190

1 Q But did you in fact do any kind of
2 documentation to show whether this was in fact
3 occurring?

4 MR. LEONARD: Objection to form.

5 Q The change in the cells based upon
6 whether it be liquid nitrogen or not?

7 A Again no. The concern here wasn't
8 this isn't indicated to be the temperature the

9 problem here is the passage. So the early passage
10 cells were in the dewar, the very early passage
11 cells were in the dewar -- in the minus 196.

12 Q When you did the repeat experiments
13 that we were referring to a little earlier in 2001,
14 you used cells that had been stored at minus
15 70 degrees to your knowledge?

16 MR. LEONARD: Objection to form. You
17 can answer.

18 A In the -- in the repeat experiments?

19 Q Yes.

20 A I don't remember what temperature
21 they were stored at. And again the issue regarding
22 the cells is intended to be a statement about the
23 passage -- the source of the cells not the
24 temperature at which the cells were stored even
25 though temperature could have an impact. So, I

0191

1 don't know what -- offhand what temperature they
2 were kept at.

3 (Whereupon, a recess was taken.)

4

5 BY MR. PINCUS:

6 Q Let me turn your attention -- I think
7 you are on the same page as I am but in the last
8 paragraph halfway down, you make reference to --
9 with the help of Gibco that was the serum
10 manufacturer --

11 A Yes.

12 Q -- as I recall?

13 A Yes.

14 Q You were eventually able to track
15 down some of the original sera that was owned by an
16 investigator at another institution.

17 A Yes.

18 Q Do you know who that was?

19 A I don't recall the name. But I
20 didn't get it from them directly or did I? I
21 think -- yeah maybe I did. I don't remember if
22 Gibco facilitated it or not.

23 Q You can't tell me what other
24 institution that was?

25 A Not off the top of my head, no.

0192

1 Q And this serum was stored by them and
2 us at minus 20 degrees centigrade and used in one of
3 the 50-percent labeling experiments and note
4 survival bystander effects were observed?

5 A Yes.

6 Q So did that in any manner influence
7 your thought process that serum change did not have
8 an affect on survival?

9 A Yes and no. We used to maintain the
10 serum at minus 70. And I did contemplate whether or
11 not minus 70 versus minus 20 could have an impact on
12 the serum and believe that that may indeed be
13 possible. Because normally, you would not want to

14 store serum at minus 70. But I didn't know that at
15 the time to -- so that is how I kept it thinking it
16 was better for the serum.

17 Q While it may have been possible did
18 you determine whether any facts that leads you to
19 believe -- that leads you to conclude that it was
20 influential on the issue?

21 MR. LEONARD: Objection to form. You
22 can answer.

23 A I had learned by this point that
24 storing serum at minus 70 is not recommended.

25 Q Is there a reason why or -- well
0193

1 strike that.

2 Your report, or your summary here, it
3 doesn't indicate that you used any of this original
4 serum for purposes of replicating any of the hundred
5 percent experiments. Is that correct?

6 A I don't know.

7 Q Well, your report doesn't say that it
8 did.

9 A Oh yeah, I don't know if -- let's
10 see. It may not say it, yeah.

11 Q Do you have any data to show that a
12 different serum lot had an affect on the hundred
13 percent experiments?

14 A I would imagine that the hundred
15 percent experiments were carried out with different
16 serum lots because the experiments that Bishayee did
17 back in '99 or '98, or whatever it is, would
18 definitely have been a different serum lot than what
19 was used in 2001.

20 Q You didn't form any hypotheses in
21 regards to the hundred percent experiments in
22 regards to serum, did you?

23 A No. My focus on the hundred percent
24 experiments was completely on looking for a mutation
25 response, that is what I had my focus on as I was
0194

1 trying to get at that.

2 Q So, you didn't do anything insofar as
3 the survival on those experiments is what you're
4 telling me?

5 A I didn't do anything --

6 Q Well insofar as analyzing changes in
7 serum?

8 A No. I was focused on the mutation
9 arm, or, I should say, not that I can recall because
10 I am pretty sure that I didn't but...

11 Q Well if that were the case if you go
12 to Page 3 --

13 A Yep.

14 Q -- can you explain to me what is
15 meant by the phrase therefore, I expected an
16 expedient survival response. When a hundred
17 percent of the cells were labeled and Anupam's
18 experiments did reveal this then I expected to

19 observe a saturation of 50-percent survival and
20 50 percent of the cells were heavily labeled, you
21 know, and so on.

22 So if you were --

23 A I am referring to historical there,
24 right?

25 Q I don't know. That is why I am

0195

1 asking you to explain, in light of your comments,
2 what that refers to?

3 A Yeah. The second sentence of the
4 paragraph says, I have a great degree of confidence
5 in our published data for the following reasons.

6 Q Okay.

7 A So this paragraph is intended to go
8 back in time to 1990 whatever it was, seven or
9 something like that.

10 Q To the published data?

11 A To the published data. As to why I
12 had and continue to have such confidence, we weren't
13 looking -- there is things that occurred that we
14 weren't expecting. In the case of the hundred
15 percent, we expected expedient response that is
16 true. We saw it in the case of 50 percent, we
17 expected to see no bystander response because we
18 didn't even know what it was.

19 Q I will take that back if you're done
20 with your response.

21 A Sure.

22 Q Did you have any interactions or
23 discussions with anyone from Columbia University in
24 regards to your experiment results?

25 MR. LEONARD: Objection to form.

0196

1 A No.

2 Q So it is your testimony you never
3 spoke to Dr. Persaud about your experiment results?

4 MR. LEONARD: Objection to form.

5 A Not -- I wouldn't even know what
6 Dr. Persaud's face looks like. Somebody at one time
7 from Columbia called to ask about a concentration
8 how many microcurie per M L and that is all I can
9 recall.

10 Q Did you ever speak to Dr. Z-H-O-U? I
11 don't know how to pronounce that.

12 A He might have been the one who asked
13 me a what concentration -- how many microcurie per M
14 L to use, or something like that.

15 Q How do you pronounce that name do you
16 know? Is it Zhou --

17 A Zhou, I presume.

18 Q Sarah Baker did you ever speak to her
19 about your experiment results?

20 A I don't know who Sarah Baker is.

21 Q Dr. Hei, H-E-I?

22 A Never to Tom about the results, no.

23 Q Or Dr. Hall, H-A-L-L?

24 A So at what point in time back in 2000
25 and whatever? No.

0197

1 Q Were you familiar with an article
2 that those individuals, who I have just identified,
3 wrote that is entitled assessment of low linear
4 energy transfer radiation induced bystander
5 mutagenesis in a three-dimensional culture model.

6 A I saw that in the literature yes.
7 That is the first article of their's, or the second?

8 Q Well, I see this is published in
9 Cancer Research in 2005.

10 A That is the first one.

11 MR. PINCUS: Can you mark that
12 please?

13 (Exhibit Howell-30, Article, is
14 received and marked for identification by the
15 reporter)

16

17 BY MR. PINCUS:

18 Q Dr. Howell, I am going to show you
19 what we have marked as Howell Exhibit 30. You say
20 you had occasion to review this literature?

21 A I have looked at this article, yes.

22 Q And when did you do so as best you
23 recall?

24 A I don't recall.

25 Q In reviewing this article did you

0198

1 have occasion to review Page 9879 and in particular,
2 Figure 3, insofar as survival data associated with
3 bystander experiments that they were performing?

4 A I did see that. I saw that they had
5 done a survival study, yes.

6 Q Looking at this literature and in
7 particular, Figure 3, would you agree that the
8 results that they show in this figure do not show an
9 exponential rate of decline in survival?

10 A That is debatable.

11 Q Tell me why?

12 A Because if you exclude the control
13 point the other ones do show an exponential
14 decline.

15 Q The control point is where please?

16 A At one, the survival fraction of one.

17 Q So all the way up at the top of the
18 vertical axis is where you are --

19 A Yes. To the far left.

20 Q To the far left?

21 A Yes. At zero microcurie.

22 Q Why is the control included in there,
23 if you know?

24 A Typically, you compare survival
25 against the untreated controls, or in this case it

0199

1 would be no radioactivity in the cells, I presume.

2 Q So the purpose of the control is --

3 does assist for determining whether there is
4 survival or not. Is that what you're saying to me?

5 A Yes it does. But you could have a
6 systematic error, which would make both curves get
7 knocked or lowered in the same fashion. And that
8 could, in principle, explain why you have four
9 points that are exponential response and then you
10 have a control point that is above.

11 Q Would you agree that the results in
12 this figure don't resemble the survival kinetics
13 that were set forth in the two papers that we looked
14 at earlier, Howell-10 and 11?

15 A You're going to have to rephrase
16 kinetics of survival.

17 Q When I say kinetics, I am using that
18 insofar as defined as a rate of survival.

19 A Rate implies time. So are you trying
20 to imply time, or -- I am not sure where you are
21 going with this.

22 Q I am not talking necessarily in time,
23 I am doing it as a function of dose.

24 A Okay. Well kinetics implies time and
25 rate implies time. So try me again.

0200

1 Q Okay. Then let's just say insofar as
2 survival is concerned does the figure that you have
3 before you in this paper resemble the rates of
4 survival that were set forth in the two papers that
5 we looked at earlier, Howell-10 and 11?

6 A We will have to go back to 10, 11 and
7 compare the X axis again.

8 Q Okay. Let's do that.
9 Here is 10 and 11.

10 A Okay. So, which one would you like
11 me to look at?

12 Q Let's look at 10 first.

13 A Okay. So, which curve are you asking
14 me to compare?

15 Q I have to dig it out. I have to dig
16 out my copy. Bear with me a moment.

17 So in Howell-10 we are looking at
18 Bates stamp 402, Figure 3.

19 A Bates stamp -- Figure 3. Okay.
20 So --

21 Q So in that instance, I'm saying would
22 you agree that the shape of the survival curve is
23 different in -- I'm going to call it the Columbia
24 Howell-30 versus Figure 3 set forth in Howell-10.

25 A Can you tell me what is being plotted

0201

1 on the Columbia figure please?

2 Q I don't know. Can you tell me based
3 on your reading of this?

4 A Well, you should know that -- if
5 you're going to ask me the question -- because if
6 you're going to ask me to compare this and this, you
7 better have a darn good reason to do so. Okay? And

8 I suggest you do a little bit of studying before you
9 ask the question.

10 Q So, you're telling me you can't
11 answer the question?

12 A I am telling you that you're asking a
13 ridiculous question, that is what I am telling you.

14 Q Okay.

15 A I am telling you, you're asking a
16 completely uneducated question that indicates that
17 you have no idea what is going on.

18 Q I respectfully disagree with you.

19 So, you're telling me you can't
20 answer the question?

21 A No. I didn't say that I couldn't
22 answer it, I just did.

23 Q Then answer it?

24 A I answered it and said that you're
25 uneducated in the facts regarding these two figures

0202

1 and therefore, you have no business asking the
2 question.

3 Q I will ask the questions. What does
4 Figure 3 in the Columbia paper show and tell you
5 please?

6 A Figure 3 says tritium activity. What
7 tritium activity?

8 Q Okay.

9 A Tell me?

10 Q I get to ask the questions here, sir.

11 A I get to ask a question too because I
12 can't answer the question if you can't tell me.

13 Q Okay. Then if you can't answer the
14 question that is all you have to say, you can't
15 answer the question.

16 A I can tell you very firmly that this
17 tritium activity has absolutely no relationship to
18 this tritium activity here that I can tell you.

19 Q Why is that?

20 A What does this one represent?

21 Q Why is that, sir? Please answer my
22 question.

23 A If you spent any time looking at this
24 and having spent centuries doing so, she ought to
25 know better than to ask you to compare these two. I

0203

1 mean this is ridiculous.

2 Q Why is that, sir? Please answer my
3 question.

4 A This, I believe, is microcurie per M
5 L in the culture medium. It has nothing to do with
6 what is in the cells.

7 Q Yes.

8 A Okay? This one here has the
9 kilo-Becquerel per the cluster. So yes indeed, they
10 show a bystander effect here but it is on a
11 completely different plot than this one. So yes
12 indeed a bystander effect seems to exist here but to

13 ask me to compare this one necessarily to this
14 one --

15 Q You can't do it --

16 A I can't do it directly.

17 Q Then you have responded to my
18 question.

19 Now let's go to Howell Number 11 if
20 you would please. And go to Page 412. Same
21 question.

22 A 412, which one is that?

23 Q Figure 1.

24 A Yes. Again this is plotted against
25 mili-Becquerel. Okay? This one is plotted against

0204

1 microcurie per M L. Clearly, you can see the one
2 place that you could draw a connection might be
3 right here, which is on this one.

4 Q Which one are you on, 10?

5 A Ten. I am trying to find the uptake.

6 There is a bunch of -- as a function of

7 kilo-Becquerel per M L but I don't see it.

8 So this one is in terms of microcurie

9 and the medium and the other one is in term of

10 mili-Becquerel per cell. So clearly, the axes are

11 different, both are demonstrating a bystander

12 effect. However this one does not have the uptake

13 in the cell.

14 Q Okay.

15 So, you're telling me that the
16 Columbia group in the 50-percent experiments that
17 they performed found a bystander effect. Correct?

18 A Yes.

19 Q And as we have reviewed some of the
20 50-percent experiments that you did with

21 Dr. Lenarczyk this morning, you indicated that there
22 was not a bystander effect. Do you recall?

23 A In the Lenarczyk experiments, yes.

24 Q How do you explain the fact that they
25 observed a bystander effect and you and

0205

1 Dr. Lenarczyk did not?

2 MR. LEONARD: Objection to form.

3 A This is a A sub L cell. You are
4 talking about Marck's A sub L? That, I have no
5 idea.

6 Q Okay. That is what I wanted to know.
7 I will take these back. Thank you.

8 Did --

9 A Is there the capacity to strike and
10 revisit this document?

11 Q Well when I am done if your counsel
12 wishes to ask you some questions --

13 A Is there a capacity to strike and
14 readdress --

15 Q I am done asking you questions about
16 that.

17 Did anyone else in your laboratory

18 every replicate the hundred percent survivals that
19 Dr. Bishayee showed in the articles in 1999?

20 A Try me again?

21 Q Did anyone else in your laboratory
22 every replicate the hundred percent survival
23 experiments that Dr. Bishayee sets forth in the
24 literature, the Howell-10 and 11?

25 A That I can't say for certain, I'd

0206

1 have to look.

2 Q Look at what?

3 A I would need to review all the data
4 from the lab to see if there is a hundred percent
5 experiment that matches the original. We did look
6 at one earlier in which we noted that the D37 was
7 two mili-Becquerel, I believe, versus .8
8 mili-Becquerel. So that is quite close to the
9 original hundred percent.

10 Q Any other experiments come to mind?

11 A We can look back and go over them all
12 again.

13 Q Well, I am saying sitting here --

14 A I just cited one to you. I'd have to
15 look at the D37's on all of the other ones.

16 Q What about the 50-percent survival?
17 Same question.

18 A The 50-percent survival? Those tell
19 me exactly what you want to compare.

20 Q Did anyone else in your lab ever
21 replicate the 50-percent survivals that are
22 represented in the literature, Howell-10 and 11,
23 that Dr. Bishayee performed?

24 A With the V79's?

25 Q Yeah.

0207

1 A The V79's, we have not since seen
2 that response with the different subline. So again
3 the same passage of cells was not used and with all
4 of the other caveats regarding the medium and so on.
5 (Exhibit Howell-31, Experiment dated
6 4/2/01, is received and marked for identification by
7 the reporter)

8

9 BY MR. PINCUS:

10 Q Dr. Howell, I know we were talking
11 about Helena tubes and things. Would you take a
12 look if you would please at Howell Exhibit 31?

13 A Yes.

14 Q This document appears to be
15 associated with an experiment which identifies the
16 investigator as Dr. Lenarczyk. And it is dated
17 April 2, 2001, experiment is a 100-percent cluster
18 confirmation. Do you see where I identify that?

19 A Uh-huh.

20 Q What was the protocol of this
21 experiment and do you know?

22 A That protocol, I don't believe I

23 typed up so that was typed up by somebody else. I
24 don't think I made that protocol.

25 Q Are you familiar with this document

0208

1 at all?

2 A Not that I recall.

3 Q This protocol, tell me if you can
4 discern, appears to have used larger tubes than the
5 Helena tubes. Is that correct?

6 MR. PINCUS: Excuse me, I need a
7 break for a second.

8 (Whereupon, a recess was taken.)

9

10 BY MR. PINCUS:

11 Q So if I understand, you're not
12 familiar with this document?

13 A No, I am not familiar with this
14 document.

15 Q That is all I need to know.

16 A Whose writing is that on the right?

17 Q Well, I was going to ask you. Can
18 you identify that?

19 A No. I don't know whose writing that
20 is. It looks like it may be Lainie's, I don't know.

21 Q But you're not sure?

22 A I am not sure.

23 (Whereupon, a recess was taken.)

24 (Whereupon, that concludes Volume I.)

25

0209

1 C E R T I F I C A T E

2

3 I, LORI YUCHT, LICENSE NO. 30XI00200400, a Notary
4 Public and Certified Court Reporter of the State of New
5 Jersey, do hereby certify that prior to the commencement of
6 the examination, DR. ROGER W. HOWELL was duly sworn by me to
7 testify the truth, the whole truth, and nothing but the
8 truth.

9 I DO FURTHER CERTIFY that the foregoing is a true and
10 accurate transcript of the testimony as taken
11 stenographically by and before me at the time, place, and on
12 the date hereinbefore set forth, to the best of my ability.

13 I DO FURTHER CERTIFY that I am neither a relative nor
14 employee nor attorney nor counsel of any of the parties to
15 this action, and that I am neither a relative nor employee
16 of such attorney or counsel, and that I am not financially
17 interested in the action.

18

19

LORI YUCHT, C.C.R.

20

21

22

23

24

25