

1 UNITED STATES DISTRICT COURT
2 DISTRICT OF NEW JERSEY

3 UNITED STATES OF AMERICA, EX REL.
4 DR. HELENE Z. HILL,
Plaintiff,

5 vs.

6 UNIVERSITY OF MEDICINE & DENTISTRY OF
7 NEW JERSEY, DR. ROGER W. HOWELL and
DR. ANUPAM BISHAYEE,
8 Defendants.

9 -----

10 DEPOSITION OF: DR. JOEL PITT
11 Wednesday, September 2, 2007

12
13
14
15
16
17
18
19
20
21

22 DepoLink Court Reporting &
23 Litigation Support Services
One Cape May Street
24 Harrison, New Jersey 07029
(973) 353-9880 Fax (973) 353-9445

25

1 T R A N S C R I P T of Deposition
2 Proceedings held in the above-entitled matter, taken
3 by and before Adrian J. Febre, a Shorthand Reporter
4 and Notary Public of the State of New Jersey, held
5 at the law offices of Bucceri and Pincus, Esqs.,
6 1200 US Highway 46, Clifton, New Jersey 07013, on
7 Wednesday, September 2, 2007, commencing at 10:00
8 a.m.

9 No copy of this Transcript may be considered
10 Certified unless signed in ink by the Shorthand
11 Reporter licensed by the State of New Jersey who
12 recorded this matter. Any Facsimile may have been
13 altered by means of electronic media.

14 *** Transcript prepared in accordance to Rule NJ ADC
15 13:43-5.0 ***

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S

BUCCERI AND PINCUS, ESQS.
BY: SHELDON H. PINCUS, ESQ.
1200 US Highway 46
Clifton, New Jersey 07013
Attorneys for the Plaintiff

McELROY, DEUTSCH, MULVANEY
& CARPENTER, LLP
BY: SCOTT S. FLYNN, ESQ.
1300 Mount Kemble Avenue
P.O. Box 2075
Morristown, New Jersey 07962
Attorneys for the Defendants

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

E X H I B I T S

PITT EXHIBITS

EXHIBIT NUMBER	EXHIBIT DESCRIPTION	PAGE NUMBER
1	Notice to take dep	
2	Dr. Pitt's CV	
3	Dr. Pitt's report	
4	Mosimann article	99
5	Mosimann article	100
6	Al-Marzouki article	101
7	T.P. Hill article	106
8	Preece article	108
9	Taylor article	109

I N D E X

EXAMINATION BY	PAGE
Mr. Flynn	5
Mr. Pincus	112

INFORMATION AND/OR DOCUMENTS REQUESTED
INFORMATION AND/OR DOCUMENTS PAGE

NONE

QUESTIONS MARKED FOR RULINGS
PAGELINE / PAGE LINE

NONE

1 D R. J O E L P I T T,
2 6 Elm Ridge Road, Princeton, New Jersey 08540,
3 having first been duly sworn, according to law,
4 testified as follows:

5

6

7 DIRECT EXAMINATION

8 BY MR. FLYNN:

9 Q. Good morning, Dr. Pitt. My name is Scott
10 Flynn. I'm from the law firm of McElroy, Deutsch,
11 Mulvaney and Carpenter. We represent the Defendants
12 University of the Medicine and Dentistry of New
13 Jersey, Dr. Roger W. Howell and Dr. Anupam Bishayee
14 in this matter that is proceeding in the United
15 States District Court, District of New Jersey, Civil
16 Action number 03-4837.

17 The case has been brought by Plaintiff Dr.
18 Hill, and we are here to take your deposition today.
19 You have been named as an expert witness by the
20 Plaintiff Dr. Helene Hill and have submitted an
21 expert report in this the matter, correct?

22 A. Yes.

23 Q. I am going to show you Pitt's Exhibit 1,
24 which is notice to take a deposition. Have you seen
25 this before?

1 (Whereupon, the Witness looked at the
2 aforementioned exhibit.)

3 A. Yes.

4 Q. You understand that pursuant to this
5 notice that is why you are here?

6 A. Yes.

7 Q. Before we get started with your
8 deposition, I would like to go over a few
9 instructions that might make it easier today, make
10 it flow better.

11 Have you ever had your deposition taken
12 before?

13 A. No, I have not.

14 Q. Okay. Have you ever given a statement
15 under oath prior to today?

16 A. Not that I recall. I can't swear to you
17 that I haven't, but I don't recall.

18 Q. Well, in that regard, to my right and to
19 your left is a Court Reporter. He is taking down
20 every question I ask and every answer that you give.
21 The record that we create today is going to be put
22 in a booklet called a transcript, which you will
23 have the ability to review after the fact if you or
24 Mr. Pincus so chose to.

25 Do you understand that?

1 A. Yes.

2 Q. Do you understand that the transcript of
3 today's testimony can be used at the time of trial?

4 A. I do

5 Q. Please note that to the Court Reporter
6 taking down all of the our words, the uh-huhs or the
7 nods of the head, while I understand what you are
8 saying, it makes it difficult for him. So please
9 give yes or no answers to my questions.

10 A. I thought I said I do. If I have to say
11 it louder I will.

12 Q. No, it is just that you may anticipate
13 what I am saying for some of my questions or you
14 might say uh-huh or uh-uh and he can't take it down.

15 A. I understand.

16 Q. In that same line, I ask that as I am
17 asking a question please don't interrupt me, as you
18 may anticipate what I am asking. Just wait for me
19 to completely finish my question before you answer,
20 and then I will do the same for you, to wait until
21 you completely finish your answer.

22 A. Understood.

23 Q. Please remember that you are under oath
24 today so you are obligated to tell the truth.

25 Do you understand that oath?

1 A. I do

2 Q. This is important because your testimony
3 today is the same as if you were testifying before a
4 judge, and it is possible that your testimony here
5 could be used at trial.

6 Do you understand that?

7 A. I do.

8 Q. I will be asking you a series of questions
9 relating to your report and the subject matter of
10 this litigation, but none of my questions from the
11 outset are intended to be ambiguous or tricky. If
12 some of them do seem that way to you, please let me
13 know and I will rephrase them for you. If I stated
14 something improperly from your report, please
15 correct me and we can start the question over.

16 During the deposition I may ask a question
17 that Mr. Pincus may object to. I ask that you wait
18 until he puts his objection on the record and then
19 he will instruct you on how to proceed and then we
20 will move on from there.

21 A. Understood.

22 Q. Thank you. If at any point you need a
23 break during the deposition, let me know and we will
24 take a break. This is not a marathon. I am not
25 here to speed through it.

1 A. Once more I understand.

2 Q. Do you have any questions for me before we
3 begin?

4 A. No.

5 Q. Could you please state your name for the
6 record?

7 A. It is Joel Henry Pitt. Ordinarily I use
8 Joel Pitt.

9 Q. And your current address?

10 A. Depending on the end of the road you pick,
11 it is 6 or 97 Elm Ridge Road. I don't want to be
12 confusing about that but it is confusing. It is
13 Princeton, New Jersey 08540.

14 Q. I will now show you what has been
15 previously marked as Pitt 2 which is a copy of your
16 CV that I received in this matter. Just take a
17 quick look at that please.

18 (Whereupon, the Witness looked at the
19 aforementioned exhibit.)

20 A. That is indeed my CV.

21 Q. Is that complete, is your CV complete at
22 this time?

23 A. Pretty much.

24 Q. How frequently have you updated your CV?

25 A. Actually I have updated it -- I guess I

1 updated it whenever I sent this in. I haven't
2 changed it. I am still a professor at Georgian
3 Court. That is basically it.

4 Q. If we could just go through a little bit
5 of your background I guess. Let's start with your
6 education. If you could give me the benefit of
7 describing where you got your **BA**?

8 A. I got my **BA** at Columbia College, Columbia
9 University.

10 Q. And that is in mathematics?

11 A. My major was mathematics.

12 Q. And you received that in 1961?

13 A. Right.

14 Q. And your Master's Degree?

15 A. Is from the Graduate School of Science at
16 Yeshiva University. I received the Master's Degree
17 in 1963 in mathematics.

18 Q. And postgraduate?

19 A. I finished my PhD in 1972.

20 Q. Okay. And your thesis here it says it is
21 a Random Walk on Countable --

22 A. Actually it is misprinted. It is Random
23 Walks on Countable Abelian Groups.

24 Q. Could you give me a brief description of
25 what that entailed?

1 imagine if I flipped a coin to decide whether to go
2 left or right and I took a step left or right.
3 Adding up ones or minus ones will tell you where I
4 am. And I am looking at what is called a
5 generalization of that. And in my thesis I looked
6 at a variety of degrees of this of varying degrees
7 of complexity.

8 Q. What is the purpose of your thesis to
9 reach a conclusion?

10 A. The purpose of a thesis in pure
11 mathematics is to do an extended research project
12 within an area of mathematics to come up with some
13 original results and to turn these into
14 publications.

15 Q. Fair enough. Do you have any additional
16 degrees besides what we see here?

17 A. That is it.

18 Q. Do you have any licenses or professional
19 certifications?

20 A. I was at one point an analyst on Wall
21 Street. As part of becoming an analyst you have to
22 pass a Series 7 exam, you have to pass a Series 63
23 exam. So I have done that. And at one point I was
24 a licensed stock broker, although I wasn't a stock
25 broker. Those licenses ceased to exist.

1 Q. Do you happen to remember when they
2 expired?

3 A. I suspect they expired when my last
4 position on Wall Street lapsed which was 2001.

5 Q. And I guess we can work off this or follow
6 along or you could just tell me off memory. Can we
7 go through your employment history?

8 A. I started work actually around the time I
9 got my Master's Degree as an assistant professor at
10 the college at New Paltz in SUNY, which was a
11 four-year **under**graduate school which had a small Master's
12 program. Not that small. It had a Master's
13 program.

14 I rose from position of assistant
15 professor, became an association professor, became
16 chairman of the department and I taught there
17 continuously from 1963 until 1978 with a couple of
18 leaves of sabbaticals.

19 In 1978 I took a position as a visiting
20 associate professor at San Francisco State, where as
21 a professor I taught mathematics, I taught
22 statistics, I taught computing.

23 While in San Francisco I took a leave of
24 absence from New Paltz and I took a position with a
25 company called Timeware Incorporated. Timeware was

1 a small consulting company which was a vendor to the
2 Service Bureau Corporation. Service Bureau
3 Corporation was a large time sharing vendor of
4 computer services. This is a dead industry. It has
5 been dead for a long time. And what Timeware
6 specialized in are what are called decision support
7 products. What we did was we produced software
8 which allowed people in the business world to use
9 various kind of I guess analytic tools to look at
10 their business.

11 So we had, for example, a graphics
12 package, which I was not involved with, that was our
13 big money maker. We had what was called -- I list
14 myself here as risk analysis product manager. I was
15 specifically the product manager for a product which
16 allowed people to do what is called Monte Carlo
17 simulation of financial models.

18 Do you want me to go into this in gross
19 detail? I don't want to turn this into a long
20 lecture.

21 What this involves is it involves
22 basically randomizing a model looking at what this
23 tells us about a business. So it involves tools
24 from probability, it involves tools from statistics
25 and it involves some knowledge of financials.

1 I actually went back to New Paltz. I list
2 this as SUNY College 1983 to 1984. I went back to
3 New Paltz previously. At that point I had a tenured
4 position at New Paltz but I just decided I needed to
5 change my life. I really liked consulting. I had
6 been doing some consulting in the computer area, and
7 one of my clients said we would really like you to
8 come to work for us full time and that was Woodbury.

9 Q. At SUNY were you ever a full professor?

10 A. Never a full professor.

11 Q. Or at San Francisco State?

12 A. No, my highest title has been associate
13 professor. Somehow I always -- I am sort of like
14 being a bridesmaid rather than being a bride.

15 I went to work for Woodbury Computer
16 Associates where, you know, essentially my title was
17 director of research and development. In a
18 consulting firm you do lots and lots of different
19 things. I designed products basically on PCs, on
20 mid-size systems, on mainframe systems, I wrote
21 code, I consulted with clients, I did all sorts of
22 things. I actually wrote a book on how to do your
23 taxes.

24 Q. Let's take it one step back. Was there a
25 particular reason why you left Timeware?

1 A. Timeware was located in California.

2 Complications of personal life.

3 Q. Fair enough.

4 A. My wife and I had separated in about 1975,
5 we had joint custody of my son. And when I moved to
6 California the original idea was my son was going to
7 live part of the year on one coast and part of the
8 other year on the other coast and my son didn't like
9 this. I wanted to have my son, so I moved back to
10 the east coast.

11 Q. Fair enough.

12 A. I worked at Woodbury until Woodbury died,
13 okay, and it died in 1990. I then took a position
14 with Digital Equipment Corporation where I was a
15 member of their consulting organization. The actual
16 title was I was a software consultant **Two**, it is just
17 easier to say I was a senior software consultant.
18 That is an internal title.

19 What I did was I went to various clients
20 of the consulting organization and did projects.
21 That is what you do. It turns out that I was in an
22 industry where there was a lot of turmoil. In about
23 1990 I started at Digital Equipment Corporation and
24 they had 125,000 employees. By 1994 they had 66,000
25 employees. And when you are working in a position

1 like that, you start to wonder do I have a job.

2 Q. Absolutely.

3 A. So what I did was I started looking around
4 for what I would do next. As it happens, the fellow
5 who ran Woodbury Computer Associates had gone to
6 work on Wall Street, had become an analyst and he
7 wanted me to come to work for him doing equity
8 research and analysis. So I went to Paine Webber
9 where I became an associate to him. My title was
10 associate analyst. And I worked for him for two
11 years at Paine Webber.

12 Following that I then moved with him. We
13 formed part of a group. I moved **with** him to Deutsche
14 Bank and the subsidiary was called Deutsche, Morgan
15 Grenfell. And I continued to be an analyst, but at
16 this point I became an analyst in my own right,
17 meaning I became the guy that put my name on my
18 reports. I was promoted to a vice president.

19 After a couple of years **there** I left and I
20 went to Credit Suisse First Boston where I was again
21 a vice president. And after two years there I
22 wasn't terribly happy.

23 Q. Sorry to interrupt, but was there another
24 transfer with the same boss that had moved along the
25 way?

1 A. By this time he was no longer my boss. He
2 had ceased being my boss at Deutsche. I had gone
3 with him there and then I became my own guy.

4 Q. Okay.

5 A. So I was at Credit Suisse First Boston
6 where I was again my own guy. But I wasn't **particularly**
7 happy. And so I looked for another position, and I
8 got a position at Suntrust where I became a
9 director. Within that particular industry the
10 hierarchy is vice president, director, managing
11 director. So I became kind of an associate
12 professor is what it came down to, with every
13 expectation of becoming a managing director which
14 was kind of equivalent of a full professor I guess.
15 And then Suntrust in about May of 2001 sold its
16 equitable securities subsidiary to at the moment I
17 can't remember who. But when mergers like this
18 happen, people lose their positions. Okay.

19 And so I was without a job and the
20 question was, well, what do I do. And at that point
21 I had had really three careers, I had been a
22 professor, I had been an IT developer slash
23 consultant, I had been an equity research analyst.
24 And so I looked around and as it happens I was -- I
25 threw out my resume all over the place, and I was

1 offered a position at Georgian Court which worked in
2 terms of my physical location. Actually of all
3 things I do, I actually like teaching best. So it
4 was nice to be back at teaching, and it was nice to
5 have had the experience in the real world.

6 Q. And which classes are you currently
7 teaching?

8 A. Right now I am teaching statistics classes
9 at three different levels. I am teaching our
10 non-major statistical course. I believe it is
11 called -- I made up the title, I just don't remember
12 it. I am teaching our junior- and senior-level
13 calculus-based statistics course which is called
14 Probability and Statistics. And I am teaching a
15 graduate-level course which is also called
16 Probability and Statistics, and it is one of the
17 courses within our Master's program. Furthermore,
18 this being a teaching university rather than a
19 research university, I am teaching a calculus three
20 class.

21 Q. What do you mean when you say it is a
22 teaching university?

23 A. Well, if you look at the world of
24 universities, if you have a position at Princeton
25 then chances are you are teaching at most one or two

1 courses in the semester because their focus is on
2 you doing research. If you have a position at a
3 place like Georgian Court, ordinarily you are
4 teaching 12 or 13 credits a semester and four
5 courses.

6 Q. Are you currently working on any
7 publications, does that inhibit your ability to do
8 that?

9 A. Well, it leaves me limited time. I am
10 actually trying to write a book both on the
11 statistical package R and a supplement to the text I
12 use on R.

13 Q. I saw R referenced in your report. Could
14 you give me a little bit of a description?

15 A. When you do statistics, 40 years ago you
16 would sit down with a calculator and a pencil and
17 paper and you would do all the analysis you need.
18 In the year 2009 you use a computer. In order to
19 use a computer to do analysis you need software.
20 And there are a variety of you know -- like anything
21 else there are a variety of competing packages. And
22 as it happens, R is a very, very powerful system
23 which is both a -- which is both a statistical
24 software package and a language for doing
25 statistical manipulations. So it is a little bit

1 different in its orientation than a lot of other
2 packages. It happens -- I use it in teaching for a
3 couple of reasons, one of which is that it is free
4 and my students don't have to pay for it.

5 Now, free in the world of software doesn't
6 necessarily mean low quality or lesser quality than
7 anything else. R is -- R was initially developed in
8 the early '90s by a couple of professors at the
9 University of Auckland who wanted to have the
10 benefits of a language which was called S. They
11 wanted to use it in their classes, but S was very
12 expensive in its commercial implementations. So
13 they started writing software which would do the
14 same thing so their students could use it.

15 In the world of computers there is a vast
16 world of people who are either professionally
17 interested in certain things or merely enthusiasts
18 who are willing to pool their energy and effort to
19 develop software packages. The guys who developed
20 this essentially put it out there in this world of
21 software developers in the mid-90s and it attracted
22 a huge professional following.

23 In something I wrote I pointed out that
24 people have talked about this as the standard
25 software package for all academic researchers in the

1 world. Clearly there are lots of different people
2 who use different packages, but it is one of the
3 outstanding packages. It is developed by a central
4 core of people who are very celebrated in this
5 world. The man who actually originally developed S
6 is on the control board for this. It is a package
7 where you can actually access almost all of the
8 advanced tools before you can almost anywhere else.

9 Q. And you are writing a book on its use?

10 A. Well, one, there are already a number of
11 books out there. Part of it is I look at these
12 books and my feeling is can somebody who doesn't
13 know what they are doing really figure out what they
14 are doing from this book. So you always figure I am
15 going to explain this better than anybody else. It
16 is a little bit of a conceit. Whether I will
17 succeed or not, I don't know.

18 Q. Is that with the intention of using it as
19 a textbook teaching type of thing?

20 A. Well, it is a combination of things. One
21 is that as an academic you want to get your name on
22 things so people will say, oh, he has been
23 published. Hopefully people will use it. One of
24 things I do is I write notes for my classes, and
25 that is partly the basis for what I would use as a

1 book.

2 Q. Do you have any other publications on the
3 subject?

4 A. No other publications on that. I
5 published several papers in probability theory in
6 the 1970s. In the 1980s and into the early 1990s I
7 was doing a lot of freelance publication on topics
8 in the computer industry.

9 Q. Okay.

10 A. So I published in a whole bunch of
11 magazines there. I was editor for a while of a
12 newsletter. I published this book on how to do your
13 taxes with Lotus 1-2-3. Actually I **happen** to be
14 listed as the second author, but I am actually the
15 person who wrote it. I wrote another book basically
16 on a contract basis for somebody.

17 Q. The articles where you just referencing
18 from the '70s on probability, could you give me a
19 little bit more of an overview on that if you can
20 recall?

21 A. Well, actually one of them -- two of them
22 were actually -- actually they were all pieces of my
23 PhD thesis. The three papers were published in the
24 Illinois Journal of Mathematics, the Annals of
25 Probability and the Proceedings of the American

1 Mathematical Society. Two of them listed my thesis
2 advisor as my coauthor because he really worked
3 extensively with me. You recall that the title of
4 my thesis was Random Walks on Countable Abelian
5 Groups. The two papers, the one in the Annals of
6 Probability and the one in the Illinois Journal,
7 were about 15 pages each and they each dealt with
8 what are called recurrence problems. Remember the
9 idea of the random walk?

10 Q. Yes.

11 A. It was on flipping a coin and deciding
12 where I am going to go. One of the questions you
13 can ask is what is the probability that I come back
14 to where I started. That is called a recurrence
15 problem. It doesn't sound like a very interesting
16 problem in one dimension. It actually gets very,
17 very interesting in three dimensions. Because in a

18 certain sense these groups I was looking at
19 correspond to a kind of a dimensionality. It is
20 sort of an **ab**straction of dimensionality. It could
21 be fairly interesting to those who -- it is
22 interesting to people who are heavily interested in
23 this stuff.

24 The paper in the Illinois Journal dealt
25 with one category of groups, recurrence problems on

1 one category of groups I think that was finitely
2 generated countable groups. The other dealt with
3 another category of groups, and at the moment I
4 can't think of what the category was. It might have
5 been direct sums of things.

6 Q. Okay.

7 A. The paper in the proceedings was a
8 slightly different kind of thing. I had actually
9 looked at some ancillary problems which were related
10 to the central problem of my thesis. And the
11 ancillary problems sort of concerned how many times
12 you visited certain points and the behavior of the
13 number of times you visited certain points. And
14 there are these things in mathematics called laws of
15 large numbers. They are actually the technical
16 equivalent of what we think of as the law of
17 averages.

18 Q. Okay.

19 A. There are different types of the laws of
20 large numbers, there are what are called strong laws
21 and weak laws. And if you take a graduate course
22 with me, I will explain what those are.

23 What I had done is I had examined this
24 particular problem of counting the number of times
25 you visited and I had shown that there was a -- that

1 it obeyed a strong law of large numbers, and that
2 was the paper I had in the thing. I mean there is
3 this whole sort of change of research. I had picked
4 up a journal one day and saw some people dealing
5 with this problem, and I looked at what they had
6 done and I said, well, I can do a little bit more
7 than they did and that is what I did. And the
8 Proceedings is actually a very high-prestige
9 journal, so it was wonderful for me when my paper
10 got accepted there.

11 Q. Have you, since graduating from your
12 doctorate, done any postgraduate continuing
13 education?

14 A. In the sense that have I taken formal
15 courses, no. Do I attend seminars, do I attend
16 meetings, yes. One of the things I did, although I
17 haven't done it recently, there is -- when I was on
18 Wall Street I became very interested in finance --
19 well, I learned something about finance obviously.
20 I became very interested in finance and its
21 relationship to mathematics.

22 There is actually a specialization of
23 people on Wall Street called "quants". I was not
24 one. Quants is actually short for quantitative.
25 There is an area of finance which is called

1 quantitative finance. They are the people who are
2 in trouble right now. They are the guys who brought
3 you derivatives. I'm sorry to say that I am
4 interested in that, okay, although I wasn't doing
5 that when I was on Wall Street. I developed an
6 interest in that.

7 It actually happens to make use of a very
8 interesting array of techniques and a knowledge base
9 which I possess. Okay. In particular it calls upon
10 you to know quantitative finance. You have to know
11 probability theory, you have to know statistics and
12 you have to know them deep and well, and on top of
13 that you need to know something about finance. And
14 so this was an area which I decided to learn
15 something about.

16 And it turns out that Princeton has -- I
17 think they call it an institute. They have an
18 institute for mathematical finance. It may have a
19 different name. It is housed on Prospect Street
20 right next to their economics department. And I
21 happen to have learned that they had this very
22 interesting group and they had a research -- they
23 still have it. They have a research seminar there
24 that meets on Wednesdays at 2 p.m. And For a number
25 of years I attended that research seminar quite

1 regularly. I don't know whether you would call that
2 continuing education.

3 Q. Yes.

4 A. That is continuing education.

5 Unfortunately I actually have courses scheduled
6 which conflict with that, so I haven't been able to
7 go to that for a couple of years. It is funny I
8 **get** CEU credits every year. I grade AP calculus
9 exams and **they** give me CEU credits. So if I want to
10 know do I have CEU credits, the answer is yes. But
11 nobody has ever asked me for them.

12 Q. Have you attended any seminars in the last
13 five years?

14 A. Well, I attend meetings of the
15 Mathematical Association of America. I don't think
16 I attend any seminars, but I do read a fair amount.

17 Q. Do you have any subscriptions to any
18 specific journals?

19 A. I subscribe to the American Mathematical
20 Monthly, I subscribe to what is called Mathematics
21 Magazine and I may have a subscription -- I had a
22 subscription, but I'm not sure if I still do, to
23 what is called the College Mathematical Journal.

24 Apart from that, I get the New York Times
25 every day. I get lots of magazines, but not things

1 that would be considered journals.

2 Q. During your time, either while you were in
3 school or as a professor, have you received any
4 awards or honors specific to your field of study?

5 A. None that I can think of offhand.

6 Q. Have you taken any courses, whether formal
7 or more in the seminar sense, that provided
8 experience in applying statistics in the context of
9 experimental science?

10 A. No.

11 Q. Have you read any journals dealing with
12 that topic?

13 A. I have read articles on it. I certainly
14 teach statistics.

15 Q. Right.

16 A. And within my teaching of statistics, I
17 certainly talk about its use in science. I haven't
18 taken courses, no, but I certainly have read about
19 it.

20 Q. Could you describe a little bit more? You
21 said in your teaching you actually teach about those
22 types of applications?

23 A. Sure.

24 Q. Could you just describe for me a little
25 bit more generally what it is --

1 A. In particular in an elementary course you
2 talk about issues like how do you detect causality.
3 And so you talk about creating randomized
4 experiments. You talk about methods of gathering
5 information. You don't talk about laboratory
6 techniques, but you certainly talk about how do you
7 gather information, how do you gather data. Okay.
8 What sort of issues can come up in gathering data.

9 Q. Okay. I know I asked this question more
10 generally about have you ever been deposed before,
11 but have you ever served as an expert in litigated
12 cases before?

13 A. No, I have not.

14 Q. Have you done any work, and this might
15 seem like an abstract question, in applying your
16 knowledge of statistics or any other outside of a
17 litigated case, whether it be in an administrative
18 hearing or some sort of state agency hearing?

19 MR. PINCUS: Objection to the form of the
20 question.

21 You may answer.

22 A. Not that I can think of.

23 Q. Okay. Have you ever worked with Mr.
24 Pincus before?

25 A. No, I have not.

1 Q. With Dr. Hill?

2 A. No, I have not.

3 Q. How did you come to meet Dr. Hill?

4 A. I received an e-mail from Dr. Hill telling
5 me that she was interested in finding an expert
6 witness and asking whether either I knew of anybody
7 or was I interested in doing so.

8 Q. Do you happen to have a copy of that
9 e-mail? Do you retain your e-mails?

10 A. I know I retain some. I don't know if I
11 still have a copy of that one.

12 Q. I might make a request of Mr. Pincus.
13 After you received the e-mail from Dr.
14 Hill, did you set up a meeting where she came in and
15 met with you?

16 A. Yeah.

17 Q. And could you describe for me that
18 meeting?

19 A. Actually there was an exchange of e-mails.
20 We arranged to meet. She told me a little bit about
21 what the issues were. She asked about whether I
22 could -- you know, she basically made some
23 assessment of my level of knowledge and whether I
24 could actually deal with the issues. She told me a
25 little bit about the previous hearings about what

1 had gone on with ORI. And I discussed what my
2 approach would be to dealing with these things and
3 that was about it.

4 Q. Did she provide you with any documents at
5 that time, that initial meeting or via the e-mails
6 before the meeting?

7 A. I actually think she -- I don't remember
8 exactly. In other words, I can't tell you the exact
9 sequence in which she gave me stuff. She provided
10 me with a copy of the Mosimann article.

11 Q. Okay. Just to clarify, I think you
12 referenced two Mosimann articles. Do you know which
13 one she gave you?

14 A. I think both of them.

15 Q. Okay.

16 A. I think she may have at that time -- at
17 one point or another, she certainly gave me copies
18 of her "I Am a Whistle Blower" statement.

19 Q. Okay.

20 A. She gave me -- she has at various points
21 given me the documents about her exchanges about the
22 internal investigation at UMDNJ, et cetera. So I
23 have read those. It was a long time ago.

24 Q. I'm not going to mark these, but I am
25 going to kind of speak through them and **see** if these

1 are the ones you were provided.

2 MR. PINCUS: Are you going to mark them?

3 MR. FLYNN: No I, am just going to
4 reference them.

5 Q. I Am a Whistle Blower?

6 A. Yes, I read that.

7 MR. FLYNN: I'm not going to go through
8 the documents now unless you want to.

9 MR. PINCUS: No, I don't particularly want
10 to. Go ahead.

11 Q. A document noted Scientific Misconduct?

12 (Whereupon, the Witness looked at the
13 aforementioned document.)

14 A. I may have seen that, I just don't
15 remember.

16 Q. A document entitled Time Line?

17 (Whereupon, the Witness looked at the
18 aforementioned document.)

19 A. I don't recall having seen that.

20 Q. Okay. A letter that was written to a Dr.
21 Price at ORI?

22 (Whereupon, the Witness looked at the
23 aforementioned document.)

24 A. I don't recall. I actually don't recall
25 seeing it. I know for a fact that I saw the first

1 one.

2 Q. Right.

3 A. I also know that I had a bunch of papers.

4 Q. Okay. Have you retained copies of those
5 papers?

6 A. I have copies of a bunch of papers that I
7 got from Shelly at various points and I probably --
8 I haven't really thrown out any papers that I
9 received.

10 Q. Okay.

11 A. That I know of.

12 Q. A PowerPoint presentation?

13 A. That I definitely went through and found
14 that some of it I understood and some of it I
15 didn't.

16 Q. This is entitled Analysis of the Findings
17 In Box Number Six?

18 A. That may have not been the same one. I
19 went through a PowerPoint presentation. I can't
20 swear that that is the one I went through.

21 Q. I do have an another one, just to be fair
22 to you. Evidence Supporting Allegations of Fraud At
23 the NJ Medical School?

24 A. I might have very well gone through that.

25 Q. Fair enough. Did you happen to see a

1 document called Written Disclosure that was prepared
2 in this litigation?

3 A. Again I am not sure.

4 Q. Okay. Fair enough.

5 Let's go back to your meetings with Dr.
6 Hill. About how many meetings would you say you had
7 prior to drafting your report?

8 A. I would say probably about two maybe,
9 maybe three.

10 Q. Was anyone else present at these meetings
11 besides you and Dr. Hill?

12 A. No.

13 Q. You had mentioned that Dr. Hill had
14 provided the Mosimann articles to you. Had you ever
15 heard of Dr. Mosimann or reviewed his materials
16 prior to being provided those articles?

17 A. No.

18 Q. I know we had talked about some of your
19 teaching on the subject, but had you ever written
20 any articles or dealt a little more in depth to the
21 concept of applying statistics to find fabricated
22 data or anything in that matter?

23 A. No.

24 Q. I am going to show you what we previously
25 marked as Pitt 2, a copy of your report, and take a

1 look at that and make sure it is a true and accurate
2 copy.

3 (Whereupon, the Witness looked at the
4 aforementioned exhibit.)

5 A. It actually looks absolutely accurate. It
6 is not the copy I printed because the copy I printed
7 has slightly larger text.

8 Q. Okay.

9 A. The font size is larger but it looks
10 identical.

11 Q. Okay.

12 MR. PINCUS: The only other thing I would
13 note while Dr. Pitt is looking is I believe the
14 copy of the report we originally provided to
15 you is a couple of the documents were in color
16 as I recall.

17 THE WITNESS: I provided one page in color
18 and that was page 15.

19 MR. PINCUS: Okay. Because I believe
20 looking at one of my copies here that it is
21 page 15 and I thought it was page 7 too, which
22 is the same chart but a smaller version. If
23 that becomes an issue then you let me know.

24 THE WITNESS: It actually is in color. I
25 think that the printout I have I printed it out

1 in black and white.

2 MR. PINCUS: Okay. I'm just saying if it
3 becomes an issue and if you need a color copy
4 let me know.

5 THE WITNESS: Well, the last page is that
6 chart, and I specifically put it on the last
7 page so it would be seen larger and with the
8 colors. That is why in the particulars text I
9 refer to that --

10 Q. So this chart you are referencing on page
11 15 is the exact same chart that is on page 7?

12 A. Right.

13 Q. Okay.

14 A. One, I wanted to print it so that people
15 could see it large and so that people could see the
16 colors. And the way I was printing this is I was
17 not printing it on color printer.

18 Q. Could you tell me when you drafted this
19 final report because there is no date on this?

20 A. Roughly February 18th or 19th.

21 Q. Okay.

22 A. But I couldn't tell you the exact date.

23 MR. PINCUS: That would be of '09?

24 THE WITNESS: '09.

25 A. Let's say late February '09.

1 Q. Okay. If I could just turn your attention
2 to the back for a little bit for this first
3 question. Your references listed on page 13?

4 A. Right.

5 Q. Is that a full list of the references you
6 used?

7 A. Actually there were lots of papers I read
8 in the process of doing this. I mentioned some
9 which I felt were germane and germane in a variety
10 of ways. But, no, I read other papers.

11 Q. Okay. Are your conclusions in this report
12 based on any other references not listed here?

13 A. No. Well, I mean the answer is, one, I
14 don't give you a reference in here to the Chi-Square
15 Test. Chi-Square Test is a standard statistical
16 test, so I didn't give you a reference to a
17 statistical text book. Okay. I gave you references
18 to some papers which are related to the -- related
19 to this question of detecting fabricated data and
20 which address it in a variety of ways. They cover
21 most of the literature I am familiar with on
22 detecting statistical data.

23 Q. Okay.

24 A. I hope that came across clearly. Meaning
25 the topics they cover pretty much are the same

1 topics other people cover.

2 Q. Okay. When you say other people, do you
3 have any specific references in mind?

4 A. No.

5 Q. Is this the only copy of this report that
6 you have generated in this case? And I don't mean
7 copies as in printed out copies. I mean were there
8 any drafts of this report drafted prior to this
9 final version?

10 A. Well, I certainly printed out drafts, I
11 went and I reviewed it, I looked at it.

12 Q. Did you circulate those drafts to Dr. Hill
13 and Mr. Pincus?

14 A. I showed some of the stuff to Dr. Hill.

15 Q. And did you make changes after?

16 A. Well, if she said to me something was
17 unclear, I went back and I looked at it. She didn't
18 tell me to change anything but she said maybe I
19 didn't understand X.

20 Q. Can you think of any examples of anything
21 you changed after speaking to Dr. Hill?

22 A. No.

23 Q. You kind of touch on this in the beginning
24 of your report and it is kind of a more general
25 question, what were you generally asked to do when

1 you were asked to provide this report?

2 A. Okay. What I was asked to do was to look
3 to see whether there was any internal quality of the
4 numbers. I looked at this not from the point of
5 view of -- I had to have some understanding of what
6 the processes which produced these numbers were to
7 comment on them. Okay. But what I was asked to do
8 was to look at the data and understand what
9 statistically was going on, whether there were any
10 anomalies in it. Which in fact would point to they
11 are not having been -- having been fabricated.

12 Q. By finding a statistical anomaly does that
13 automatically lead to a conclusion of fraud?

14 A. The answer is no.

15 Q. There is other possibilities for why the
16 anomaly exists?

17 A. One of the references I give you in here,
18 okay, the reference to the particular paper, the
19 Preece paper, Distribution of Final Digits in Data,
20 it is the third from last reference I give you.
21 Okay. It is an interesting paper in that regard,
22 because the specific thrust of that paper is that
23 you can often find or you can sometimes find other
24 reasons why you are going to have statistical
25 anomalies.

1 So part of the question is understanding
2 the process well enough to know whether you can find
3 another reason something might have happened. Okay.
4 So, for example, one of the issues I deal with in
5 here is the frequency of terminal digits. And what
6 appears to be an issue is the fact that certain
7 digits occur less frequently than you would expect
8 them to and others occur more frequently. There are
9 possible explanations for this. One possible
10 explanation is that a person misunderstood the
11 digit. Now, what is germane is that here -- and
12 that is what the Preece article deals with. And the
13 answer is here you are reading the digit digitally.
14 Okay. But when you see a readout digitally, you
15 can't make a mistake about whether it is a four or a
16 five, it is simply a four.

17 Another possibility is that the machine
18 you were looking at was broken. Okay. You know,
19 you have a digital readout and somehow or another
20 there is a defective light, it never shows a four,
21 it always looks like a seven. Okay. So that could
22 explain it? That is part of what I tried to look at
23 in looking at the data.

24 So one of the questions is could the
25 machine have been broken? Well, that is where I

1 discovered or found that there were plenty of
2 occasions when the data looked perfectly reasonable
3 during the same time. Okay.

4 So as to your question is it possible that
5 the numbers can be anomalies, yeah, lots of things
6 can happen.

7 Q. Some of this stuff I am not ignoring it
8 but we will kind of work up to it and get to the
9 heart of your conclusions. Well, I guess we can get
10 right to it I guess.

11 Did you personally do all the work that is
12 in this document?

13 A. I personally did all the work that is in
14 this document.

15 Q. One of the things that jumped out on me,
16 and this is might be a style thing, you reference we
17 or us and I am just wondering who that may have
18 been?

19 A. Style.

20 Q. The we or us is Joel Pitt?

21 A. My feeling is when you write a
22 professional paper you write it as we.

23 Q. I just want to know that none of your
24 students were doing any statistical runs on the
25 software or anything like that?

1 A. No.

2 Q. I just wanted to clarify.

3 A. It is just if I wrote a report on Wall
4 Street then it would be the same thing, we.

5 Q. Okay.

6 A. Maybe I have a split personality.

7 Q. I don't think any of us are qualified to
8 examine that right now.

9 MR. PINCUS: One part of me agrees with
10 you and the other part doesn't.

11 Q. I guess what I did is I kind of went
12 through it, and I guess we will go through it a page
13 at a time and that might be the easiest way to do
14 it.

15 A. Sure.

16 Q. One other overriding question, and I might
17 ask this I guess a little unsophisticatedly is that
18 your conclusions here are based on certain
19 assumptions, correct?

20 A. I try to be as clear as possible about
21 what my assumptions are.

22 Q. The primary assumption is the uniform
23 randomness of numbers?

24 MR. PINCUS: Objection to the form of the
25 question.

1 You may answer.

2 A. No.

3 Q. Uniformity -- I am trying to say it as a
4 way as a lawyer trying to say --

5 A. Within certain contexts I have a
6 reasonable expectation that certain things are going
7 to be uniform. For example, when I look at the
8 terminal digits, the issue here is you are kind of
9 grabbing large samples from something. Okay. And
10 when you grab these large samples, you grab hundreds
11 or you grab thousands, you are not grabbing them in
12 a way in which you are going to affect the last
13 digit.

14 Q. Okay.

15 A. Okay. Now, the question is, one, you can
16 argue well, you know, we do it that way. Well, how
17 can you find out whether we do it that way? What
18 you do is you look at what happens when other people
19 grab the same thing. That is why we use controls.
20 Okay. So what you do is you start with certain
21 understandings of how things work, and then you look
22 at things which either confirm or disconfirm it.

23 So you are right about terminal digits, I
24 am making the assumption that, given the
25 circumstances in which this is done, those are going

1 to be uniform. Then I examine that assumption from
2 a variety of perspectives. Okay.

3 Again -- I mean the word uniform does show
4 up a lot. But it is actually different senses of
5 the word uniform. Okay. When we are talking about
6 terminal digits, we are simply saying that zeros
7 show up as often as ones and twos and threes.

8 When we are talking about these ratios in
9 the second test, what we are expecting is that -- if
10 we look, and I can't show this on the transcript,
11 but we are going to be picking things of roughly the
12 same size and we are going to pick three things of
13 rough roughly the same size. Well, when we pick
14 three things of roughly the same size, one of those
15 three things is going to be the biggest, one of
16 those three things is going to be the smallest and
17 ordinarily you would expect the one that is neither
18 the biggest nor the smallest to be as about as close
19 to the largest one as it is to the smallest one.
20 Okay. And again that seems like a pretty plausible
21 assumption, but there are a variety of ways in which
22 you can examine the plausibility of it.

23 One way to examine the plausibility of it
24 is you look at what happens with other people who
25 pick three things. Okay. So in other words I am

1 not just assuming uniformity. I am trying to see
2 whether other behavior conforms to that. So that is
3 one of the things I actually talked about in this
4 report, I looked at other data. Okay.

5 Another thing you can do is you can try
6 to, starting with an understanding of what the
7 probabilistic mechanism that underlies the selection
8 is. What you can do is you can do a computer
9 simulation of the same thing. Sometimes this is
10 called a bootstrapping type thing. You essentially
11 tell the computer go ahead and pick three numbers,
12 okay, and pick three numbers by a similar random
13 mechanism. And when you pick the three numbers,
14 calculate for me the ratio of the middle minus the
15 lowest to the upper minus the lowest. Okay. Make a
16 record of that. Do that again. Do that again. Do
17 that again. And do that 5,000 times. And now show
18 me what those ratios look like. So it is not merely
19 an assumption of uniformity.

20 Q. Okay. Just to bounce around a little bit.
21 At one point here, in the first one the relative
22 frequency of least significant difference, you are
23 addressing the question both a-priori and
24 empirically?

25 A. That is what I was saying here. The

1 a-priori is I am starting with this understanding of
2 the process, and I am saying based upon process I
3 don't see why any digit should show up more
4 frequently.

5 Now, if you told me that somebody was
6 reaching into a bin and picking out a handful of
7 jellybeans, then it might be plausible that
8 sometimes they pick seven more jellybeans more often
9 than they pick two jellybeans. But if you tell me
10 that somebody is reaching into a bin and pulling out
11 something like 2,000 jellybeans, they generally are
12 not going to be able to pull out 2,000 jellybeans
13 with such precision that they are going to get 2,001
14 jellybeans more often than they get 2,005
15 jellybeans. There are intermediate digits which I
16 am not talking about here. But they are not going
17 to have that type of precision. That is the
18 a-priori approach.

19 Q. Okay.

20 A. And it is plausible. But somebody could
21 say, oh, yeah, I just have this real technique for
22 doing this. So what you want to do is you want to
23 look at what happens empirically. You want to look
24 at what other people produce when they use the same
25 procedure.

1 Q. Okay.

2 A. And so what I did was I looked at all the
3 data that we got from that particular lab.

4 Q. Okay.

5 A. I looked at Dr. Hill's data, I looked at
6 Dr. Howell's data, I looked at Dr. Lenarzyk's data.
7 And in looking at that data I said, well, did their
8 last digits look random? They are all doing roughly
9 the same kind of experiment, they are all pulling
10 out roughly the same kind of thing, and I don't see
11 that kind of nonuniformity.

12 Then you can argue that Dr. Hill and Dr.
13 Lenarzyk to some degree are the people of interest
14 in this group. I actually looked at everybody
15 else's separately. Still uniform.

16 But another question is what happens at
17 other labs? That is I why I actually asked Dr. Hill
18 to contact other people to see whether we could get
19 data from other Coulter Counters from roughly
20 similar experiments.

21 Q. Just to clarify, on that data you are
22 referring to the data from which place?

23 A. Case Western and I forgot the other one.

24 Q. UT Southwestern Medical Center of Dallas,
25 Fort Worth and Case Western. Do you still have that

1 data?

2 A. I do. Actually I got one in the form of
3 an Excel spreadsheet. I got the other in the form
4 of a faxed set of papers. I don't know whether I
5 have the faxed set of papers, but I have what I hand
6 entered on it.

7 Q. And Dr. Hill provided those to you?

8 A. Yeah.

9 Q. And did you speak to any --

10 A. I didn't speak to any of them.

11 Q. Okay. Other than that data from those two
12 universities and the listed Bates numbers here at
13 the very end of your report --

14 A. No other data.

15 Q. No other data. Okay.

16 A. I mean apart from the stuff that I
17 simulated.

18 Q. Fair enough. Speaking of the simulations,
19 when you ran the simulations did you do a printout
20 of those simulations?

21 A. No, but I have actually sort of
22 chaotically saved some of the sessions.

23 Q. Okay.

24 A. I can certainly reproduce the simulations
25 at any particular time because I have the -- and in

1 fact that is the whole point of the simulation, that
2 when you do it again I am going to get different
3 numbers but I am going to get -- I believe I will
4 get roughly the same results.

5 The point of simulating is to get some
6 idea of what random mechanisms produce. And one of
7 the interesting features of the way in which
8 randomness works is that even though things are
9 random when you take them one at a time, but when
10 you do them over and over again there are patterns.
11 This is what is called the laws of large numbers.
12 So if I perform a simulation 5,000 times of
13 something, in general if I do -- if I sort of pick
14 new random numbers and do the same thing again, I am
15 going to see something which is pretty close.

16 Q. There is an interesting point, and you
17 mentioned this before with your jellybean example.
18 If I grab seven to maybe twenty, but if I get up to
19 2,000, this might be a general question, but where
20 is the line? And it might not be an actual line in
21 the sand, but where these statistics start to come
22 in to play and provide numbers where you can use
23 rather than the lower number doesn't seem to be as
24 accurate or fit the model I guess is the proper
25 question?

1 MR. PINCUS: Objection to the form on the
2 question.

3 You can answer.

4 A. I can't give you a precise cutoff point,
5 but I can be confident that people that are picking
6 out hundreds of jellybeans are not going to be that
7 accurate, not controlling the lowest digit.

8 Q. Hundreds?

9 A. Probably 40 I would say. If you gave me a
10 jar here and you started reaching in and you were
11 picking somewhere in the order of 50, I would be
12 willing to bet that you would -- I am not absolutely
13 sure, but I will bet a lot of money that if you were
14 picking out hundreds then those last digits would be
15 pretty uniform.

16 Q. Fair enough.

17 A. We could try it.

18 MR. PINCUS: I will run over to ShopRite.

19 A. In effect, that is what I am doing with my
20 simulations, I am just keeping the grease off my
21 hands, the sugar.

22 Q. And from gaining weight from the candy.

23 A. Right.

24 Q. In the second paragraph there on the first
25 page you -- the first line you reference that the

1 results of Dr. Bishayee's experiments were reported
2 in two publications and used as preliminary data for
3 a funded grant application. Did you review those
4 publications or that grant application?

5 A. No.

6 Q. And your knowledge here of the results
7 having not been replicated, where did you obtain
8 that information?

9 A. I got that from Dr. Hill. I also looked
10 very quickly at the other expert's thing, and he
11 seemed to indicate that they weren't related. I did
12 it in such a cursory way.

13 Q. Dr. Robbins?

14 A. Yes.

15 Q. Did you review his report before
16 finalizing your report?

17 A. I did see it before mine, but I glanced at
18 it. I can't say I reviewed it before finalizing
19 mine.

20 Q. Okay. Later in that paragraph you
21 reference that Dr. Hill believes that it was
22 impossible to have honestly obtained the results Dr.
23 Bishayee reported, and then another sentence down
24 says she asked us to review that data to confirm or
25 disconfirm her belief.

1 Are you working from there assumption
2 backwards where are you reviewing the data?

3 A. I was reviewing the data. She said I
4 don't think this is legitimate. I was thinking how
5 could I look at data and ask questions about how
6 that data is not generated honestly.

7 Q. Do you feel that you were able to review
8 the data objectively after having met with Dr. Hill
9 and read her positions on --

10 A. Yes, I really looked at the numbers.

11 Q. Okay. Then you reference your three
12 techniques, but you go in to more specific later so
13 I don't want to talk about the general. But in
14 number two at the bottom you said, although we
15 cannot assign a specific probability to the results
16 here, and I was wondering if you would just explain
17 to me why not?

18 A. Okay. First of all, one, you don't
19 actually say how probable results are, you say how
20 improbable they are. Okay. And the issue here is I
21 am working with a rough model, and I don't have a
22 complete probability theory associated with it. So
23 whereas I can perform -- if I am starting with a
24 very specific model that this is definitively
25 uniform, which is the specific model I am using in

1 one, I can then employ the Chi-Square Test to say,
2 well, I generated these from a uniform distribution.
3 What I say here is that these should be roughly
4 uniform. Okay. And it certainly makes sense, but I
5 can't measure because I am not dealing with
6 something which is exactly uniform, I can't
7 calculate the probability.

8 Now, what I could do is I could calculate
9 the probability of getting these results under the
10 assumption that they are perfectly uniform.

11 Q. Okay.

12 A. And in fact I can tell you that it is
13 extraordinarily small. But I don't entirely
14 subscribe to the belief that it should be perfectly
15 uniform, so I can't assign a probability. I don't
16 know exactly what that distributions is.

17 Q. Can you tell me why you don't believe that
18 it should be perfectly uniform?

19 A. Actually because I tried to prove it, and
20 I haven't been able to come up with a proof.

21 Q. Okay. Fair enough.

22 A. Mathematically that is the appropriate way
23 to do it. What I was able to do is I was able to
24 prove under certain fairly restrictive circumstances
25 it is exactly uniform. The uniform is a little bit

1 over those restrictive circumstances.

2 Q. When you say the model, what model are you
3 referring to?

4 A. The model of what is going on when you
5 pick these things, what is the underlying
6 randomness.

7 Q. Okay. So for at least number one, the
8 relative frequency at least -- the model is the
9 Coulter counts?

10 A. No, the model in number one is that the
11 digits should be really precisely uniform.

12 Q. Okay.

13 A. And what we did is we applied that -- we
14 applied -- based upon that model, we asked what is
15 the probability that we saw the specific
16 distribution of digits that we got.

17 Q. Okay.

18 A. And we asked this on a variety of levels.
19 We asked this on the individual experiment level,
20 and that is what this chart on page 15 shows.

21 Q. Okay.

22 A. It shows the answer to that probability.
23 It says that we generally -- that we mostly -- first
24 of all, with virtually every experiment run by
25 anybody else, okay, the probability of getting the

1 kinds of results we got or worse. Within statistics
2 this is a piece of statistics called hypotheses
3 testing.

4 What we do is we start with an assumption,
5 and then we say, well, our actual results diverge
6 from the assumption and we look at the way in which
7 they diverge from the assumption and we say what is
8 the probability, if our assumption is true, that
9 they diverge or worse.

10 Q. Okay.

11 A. So if -- for example, if I look at this
12 particular -- I look at an experiment by somebody
13 else, let's say 12-15-2000, and I see an O up here
14 at point eight.

15 Q. Got it.

16 A. That says that the possibility of getting
17 the result they got was about eight-tenths. Things
18 which have probability of eight-tenths happen 80
19 percent of the time, and I am not terribly
20 surprised. Okay.

21 I look and I see some O's on this chart
22 which are down at the point two level. If you look
23 at 12-15, you see a couple of O's. They happen at
24 about 20 percent of the time. Things that happen 20
25 percent of the time happen 20 percent of the time.

1 We are not terribly surprised by that.

2 Then I look at this collection of B's
3 which are under the line at point one. Those B's
4 say if these digits really were uniform that these
5 things should have happened less than one
6 one-hundredth of the time. Now, in fact a lot of
7 them, according to the calculation, happen less than
8 one in a billion times.

9 And so what I see here is a whole bunch of
10 experiments in which I see here, you know, something
11 like 40 or 50 experiments over a period of time in
12 which something which should happen one out of every
13 billion times is happening.

14 Q. Okay.

15 A. Okay. So that is what I mean by assigning
16 a probability. And I have a very specific model
17 based upon which I can calculate that probability,
18 and I have a very specific test which gives it to
19 me.

20 MR. PINCUS: Are you done with your
21 response? Would you like him to read back
22 towards the end of what you were saying for you
23 to pick up and conclude?

24 THE WITNESS: I thought I actually
25 concluded.

1 MR. PINCUS: Why don't you do that for
2 sure because I don't want the record to be
3 incomplete.

4 (Whereupon, the aforementioned testimony
5 was read back by the Reporter.)

6 Q. For purposes of maybe me learning a little
7 bit today, would a similar concern raise if a line
8 was drawn above 90 percent? Like somebody is coming
9 up with numbers that are 90 percent of the time they
10 should come up but if they are coming up --
11 basically what I am saying if his B's are all
12 towards the top?

13 MR. PINCUS: Objection to the form of the
14 question.

15 You may answer.

16 A. I have never seen that so I really -- it
17 is a funny kind of question. I guess the science,
18 to the extent that statistics is a science, practice
19 in statistics is that you look at -- that what you
20 are interested in is seeing whether unlikely things
21 occur. Likely things by their nature occur.

22 Now, at the risk of saying too much, you
23 know, the recent case of Bernie Madoff, whose
24 results were too good, okay, I suspect if I saw
25 something where the results were much too good I

1 might have suspicions. But seeing results which are
2 too good, you have to know more to throw them out.

3 Q. Okay. Fair enough.

4 On page two of your report, the large
5 paragraph right above relative frequency, I guess
6 that first sentence that goes back to something we
7 discussed earlier, the mere unlikelihood of an event
8 certainly does not imply that it cannot have
9 honestly occurred by chance.

10 MR. PINCUS: Is there a question?

11 Q. I'm saying that goes back to what we were
12 talking about earlier, is the anomaly doesn't
13 automatically lead to a conclusion of fraud,
14 correct?

15 A. It depends on the anomaly, on the size of
16 the anomaly. Not every anomaly leads to a -- what I
17 am saying here is that not every anomaly leads to a
18 suggestion of fraud. If I run into somebody who
19 says I was a -- I won the lottery, okay, I'm not
20 going to say you cheated. And in fact I know of
21 people who won the lottery twice. There are well
22 documented examples of people who won lotteries
23 twice. If I ran into somebody who won the lottery
24 20 times, I think anybody would believe that he
25 committed fraud. When I say the lottery, I am

1 talking about something like Mega Millions. It
2 ain't going to happen.

3 Q. Is it impossible or unlikely?

4 A. It is not impossible. Okay. If the
5 chances -- it is possible.

6 There is a science called statistical
7 mechanics. And statistical mechanics, among other
8 things, talks about what happens with the molecules
9 of air in this room. And it is possible that every
10 molecule of air in this room could accumulate in the
11 corner of the room to which I am pointing but he
12 can't record. Okay. It is possible. Okay. The
13 probability of it is extraordinarily small, probably
14 in the order of one over a google, very, very small
15 number.

16 If you ask me if it's possible I would have
17 to say yes. On the other hand, if somebody walked
18 out of this room and was gasping and said I am going
19 to sue Mr. Pincus because all of the molecules in
20 this room accumulated in the corner and I couldn't
21 breathe, I would say he is lying.

22 Q. The last sentence in that same paragraph,
23 When our statistical results are considered in
24 combination with, and you list the direct
25 observation of scientific misconduct. When you say

1 the direct observation, who are you referring to,
2 Dr. Hill's observations?

3 A. Yes.

4 Q. And the irreproducibility and apparent
5 impossibility of reproducing Dr. Bishayee's results
6 --

7 A. By the way, I think that is actually just
8 a mild statement of my position. Okay. I frankly
9 -- looking at just the numbers, I believe they are
10 fabricated. I believe it is inescapable, just as I
11 believe that if the molecules -- if you told me the
12 molecules accumulated in that corner, I wouldn't
13 believe you.

14 But I was trying to actually state this
15 in, you know, what I felt was a reasonable fashion.
16 Okay. In other words, you look at the whole
17 picture. To me the whole picture spells it out.
18 But I suspect, I don't know -- I don't know, I don't
19 know whether I should have written it that way.

20 Q. So you are saying today you are not
21 necessarily sure that you need the "in combination
22 with," because you feel that it stands alone?

23 A. I do feel it stands alone.

24 Q. Moving on to the least significant digits
25 analysis. When we say least significant digits, I

1 feel like I have an idea what it means but I want to
2 clarify. The least significant digit in a hundred
3 is always the right most digit, and in most cases is
4 that digit many times does not contain any
5 information that might be useful to a scientific
6 experiment question?

7 A. Yes. There are some cases where it might
8 be valuable. It is the nature of the experiment.
9 From what I understood about these experiments and
10 all of the other things I see bear it out, it is not
11 germane here.

12 Q. And where does your understanding of that
13 come from?

14 A. What I have seen about how the experiment
15 is conducted. You know, what the level -- I can't
16 tell you -- I can't give you an accurate description
17 of what goes on in the Coulter Counter experiments,
18 but I know somehow or another it involves growing
19 cells out of something by a mechanism which is
20 relatively crude. Meaning it is relatively crude
21 relative to the magnitude of what you are doing.
22 Just as grabbing handfuls of jellybeans is
23 relatively crude. Actually it is much cruder than
24 that.

25 Q. In addition to the Coulter counts, you are

1 also referring to manual counts of colonies in this
2 section, correct?

3 A. Yes.

4 Q. Describe to me the difference as you
5 understand it.

6 A. As I understand it, in the colonies they
7 actually eyeball these cells and they move their
8 fingers along and they count the numbers of cells
9 they see in this particular **medium**.

10 Now, one, there is much more of a chance
11 of other or in that. The Coulter Counter is a
12 machine which actually counts the numbers. Okay.
13 So the Coulter Counter as far as I know will do a
14 reasonably accurate job.

15 Now, one, in a way I would expect that
16 there is more -- if you read this paper I had
17 referenced earlier, the Preece paper, I would say
18 there is probably more of a chance when you are
19 personally manually counting that, you know, you are
20 going to roll over and say fours more often than
21 fives than a machine is going to do it.

22 There are certain things, one, in a
23 counting -- well, I swim laps. I count laps. Okay.
24 Now, after I have counted 25 laps and I am swimming
25 my 26th lap, I can't remember whether I am really on

1 my 26th lap or on my 25th lap. That may be a defect
2 of my mind, but I believe other people have the same
3 defect.

4 Now, it wouldn't surprise me if I sat
5 there and I counted 300 cells by hand if by the time
6 I got to the end I wasn't sure whether I counted
7 304, 305 and 306. And in that particular case, I
8 might decide on the four more often than I decide on
9 the six. So I think there might be more of a chance
10 of a non-uniformity on the cells than on the Coulter
11 counts.

12 Q. Does that impact the ability to compare
13 those numbers together in this model?

14 A. No, because one I didn't lump them
15 together.

16 Q. Okay.

17 A. And in fact my primary, you know, source
18 of data is the Coulter counts. That is where the
19 major issue comes up. But I decided to examine the
20 other simply to see whether I have the same thing
21 going on there. And I do have the same thing. To
22 me it is not as compelling because I could think of
23 **other** reasons. I don't know, in whatever culture Mr.
24 Bishayee comes from, you know, maybe people like
25 fours, they are lucky. It happens.

1 Q. With the Coulter counts that you were
2 reviewing for your report, are these like a tape
3 register receipt or are they handwritten?

4 A. What I have is handwritten papers. On the
5 handwritten -- you know, I sat there with page after
6 page typing in the numbers that I saw on those
7 pages. Pretty much every one was handwritten. I
8 don't know whether -- I don't know whether I ever
9 saw anything that was printed.

10 Q. Okay.

11 A. As far as I know about the machine, I
12 don't know that the machine creates a tape.

13 Q. Okay.

14 A. I have seen a picture of it, and it has a
15 digital readout.

16 Q. Would it be fair to say then that
17 underlying application of your models, the premise
18 is that the human being writing down the number does
19 it exactly?

20 A. Underlying my model is that human beings
21 make mistakes, but there is unlikely to be a lot of
22 mistakes which are that practical. In other words,
23 somebody who is reading a four is almost always
24 going to write down a four. Somebody who is reading
25 a five on the screen is almost always going to read

1 it. On the other hand, someone who has 304
2 jellybeans in his hand is going to miss the last
3 digit more often than somebody who is reading it on
4 the screen. In other words, if you physically
5 counted, you are going to make more mistakes than
6 reading the number off the screen. Is somebody not
7 going to make any mistakes, no.

8 Q. In addition to mistakes, I guess the
9 question I have is is that the rightmost digit has
10 no informational value to the science at issue, and
11 now with the concepts of we are also relying on the
12 preciseness of the human writing down the number, if
13 they are writing down a number that they know has no
14 significance, does that now create an additional
15 circumstance for error?

16 MR. PINCUS: Objection to the form.

17 You may answer.

18 A. I don't believe so.

19 Q. One of the things you reference in here is
20 the ORI report, and I know you mentioned the ORI
21 investigation. Do you read that report?

22 A. I did read it.

23 Q. Okay.

24 A. I don't recall when I read it or what I
25 read in it, but I'm sure I read it.

1 Q. Do you recall if they did any statistical
2 analyses in that report?

3 A. I don't recall. I mean I really just
4 don't recall it clearly.

5 Q. Okay. Moving on now to page three I
6 guess. You have a quote there from Dr. Mosimann.

7 At the very end of his quote he says, specifically
8 the selection may be due to conscious or unconscious
9 human choice in making up numbers.

10 What is your understanding of that
11 statement, how he is applying that to his model?

12 A. My understanding of what he is saying is
13 that if somebody is making up numbers, okay, they
14 are -- there is a strong possibility that they will
15 not be putting down those numbers uniformly, that
16 they will be either making conscious choices in
17 putting them down or unconsciously making choices.
18 That is my understanding.

19 Q. Okay. Kind of maybe correlating between
20 two of your three conclusions, is would one of those
21 conscious choices to be finding the average number
22 and using that to sway the other numbers?

23 A. I don't see how that is related.

24 Q. Okay. Fair enough.

25 A. They are different questions.

1 Q. Okay. Fair enough. I apologize.
2 Sometimes I ask a question from a lack of
3 understanding not from a --

4 A. No, I understand that. They are really
5 two different questions. I could go into my
6 professor mode.

7 Q. Another time.

8 A. Yes.

9 Q. I know we had touched on this before, and
10 maybe you could just describe for me again on page
11 six you reference the Chi-Square Goodness-of-Fit
12 Test. Could you just generally explain to me that
13 test is and how it works and how it is applied?

14 A. Professor mode. What we are applying here
15 -- notice how I even automatically say we?

16 What we are applying here is a technique
17 for asking how far the data I see is from the model
18 I project. Okay. So, for example, you give me a --
19 we will work with a concrete example. Okay. We
20 walk into a room and you say, you know, everything
21 you have been telling me says that human beings
22 can't pick numbers really random. Okay. But I'm
23 really better than that. I can pick numbers at
24 random. So I say to you, okay, sit down and I want
25 you to write down 2,000 random digits. Okay. And

1 so you sit down with a piece of paper and you write
2 down 2,000 numbers. You come outside and you give
3 me the piece of paper.

4 What I do is I sit down and we have 2,000
5 numbers here, and if you are really doing it randomly,
6 I expect that roughly 200 of the numbers you have
7 written are zeros, 200 of the numbers you have
8 written are ones. Now, I don't expect exactly 200,
9 but I expect it is going to be close to it, 200,
10 200, 200, 210. 200 is my model.

11 Now I count the number of zeros you came
12 up with. Okay. And what I do is I take the count
13 of zeros and what I do is I look at how far it is
14 from 200 relative to 200. Okay.

15 Now, actually what I do is I square it,
16 and there are little bits of complexity in the
17 thing. In effect, what I am doing is I am
18 calculating a number which shows me how far the
19 numbers you gave me are from what I believe the
20 distributions is and the distribution you are
21 claiming you can come up with. And the laws of
22 probability say that there is a very small
23 probability, if you were really coming up with the
24 numbers uniformly, that your number would be very
25 far from essentially zero. Okay. Because, you

1 know, in other words, if you had 200, 200, 200, 200,
2 your Chi-Square value would be zero. Okay.

3 The further you are away, the bigger this
4 number I am going to compute is. And so what I do
5 here is I calculate that number for everybody's
6 numbers of digits. Okay. And I then look up a
7 table of the Chi-Square distribution and I say how
8 probable is it that you get that value. And for
9 what pretty much everybody else did, you know, it
10 happens 50 percent of the time, sometimes it happens
11 80 percent of the time, sometimes it happens 20
12 percent of the time. But those aren't things that
13 make me pull back. Okay. But when I see it
14 happening one out of billions of times, that is what
15 we are concerned about.

16 Q. Okay. I think we are ready to move to the
17 second topic, the relative frequency of least
18 significant digits in individual experiments. I
19 guess can you just generally describe for us what
20 the analysis is in this section of your report?

21 A. What I did in the first section is I
22 simply lumped all of the experiments that Bishayee
23 did and counted the total number of zeros, total
24 number of ones, total numbers of twos, and I did the
25 same thing with everybody else. Okay. So what I

1 did is I asked collectively if I look at all of
2 Bishayee's numbers -- now, by the way, very often
3 the bigger something is the more of a grasp you have
4 on it, that is the law of large numbers.

5 Q. Okay.

6 A. But then I said if we do this on the
7 individual experiment level. So that is what I am
8 doing over here. I am saying that if I look at
9 every experiment one by one.

10 Q. Just to take one step back before you go
11 forward. I'm sorry. When you say you lumped all of
12 Bishayee's together, does that include the Coulters
13 and the chi --

14 A. No, just the Coulters.

15 Q. Okay.

16 A. In table one I lumped all of Bishayee's
17 Coulters together.

18 Q. Okay.

19 A. If we go back to table one for a second,
20 it may give you a clearer idea of what is going on.
21 Bishayee came up with 472 zeros, et cetera. Off the
22 top of my head I don't know what the number of those
23 numbers is. I may actually have it in the report.
24 We have roughly a thousand, say 22 hundred, 32
25 hundred, 42 hundred. It looks to me as if there are

1 about 5,000 numbers.

2 Q. Okay.

3 A. Now, if he has 5,000 numbers, I expect
4 roughly 500 zeros, 500 ones, 500 twos. And so what
5 I did is in calculating the Chi-squared what we did
6 was we subtracted 472 from 500, squared the
7 difference divided by something. Just this
8 complicated calculation, but we are figuring out
9 kind of a sort of average of how far everything is
10 from 500.

11 Did roughly pretty much the same thing
12 with the other NJMS **data** except that there were different
13 total and a different fraction.

14 Q. Okay.

15 A. So here I just sort of looked at the total
16 pick of everything he had ever done.

17 Now, what I then did is I then said well,
18 you know, what about his individual experiments. If
19 I did exactly the same thing for each experimental
20 run, because for each experimental run I have about
21 30 numbers. On each experimental run -- 30 numbers,
22 sometimes I don't have 30 numbers but generally 30
23 numbers. I should generally see three zeros and
24 three -- I know sometimes I am going to see two of
25 one, four of one, six of other. Okay. But again,

1 each time I have an experiment I can take the output
2 from that experiment and I can calculate it
3 Chi-squared for that, and that is what I did here.

4 Q. Okay.

5 A. And what I said was do I see anything
6 interesting about individual experiments.

7 Q. When you say here, just for point of
8 clarification on the record, it is the bottom of
9 page 7?

10 A. Yes, this is on the chart on page 7, which
11 is duplicated on page 15.

12 MR. PINCUS: Specifically figure 5 on page
13 7.

14 Q. Please continue.

15 A. Probability of actual last digit
16 distribution assuming uniform. So I looked over
17 time, and I graphed these against time. I took each
18 experiment, I calculated the probability based upon
19 this assumption of uniformity, and I put letters on
20 the graph to show what the probability of that was.
21 I put letters O to indicate that this was the
22 probability of a result for somebody other than
23 Bishayee. I put the letter B to show that it was
24 the result for Bishayee himself. And on the
25 printout on the last page of the report I printed

1 this in color, and what I did is I think I colored
2 the Bishayee points red and the others blue.
3 Nothing was intended of that except to be able to
4 visually distinguish between them.

5 MR. PINCUS: Just so we are clear for the
6 record, the figure at the last page of the
7 report is figure nine.

8 A. In figure nine I just wanted that to be
9 more readable and more legible, so that is why I put
10 it there. But I wanted to be able to refer to it in
11 context which is why I put the smaller version.

12 And what I see from that is again
13 something of a pattern. Okay. There is -- I think
14 that there is actually one experiment that somebody
15 else ran where the probability is less than point
16 01. In other words, I can see -- I think there is
17 one 0 below the line. I am not even sure. I think
18 it is around 12-6-1999.

19 MR. PINCUS: Do you want to see the color
20 version?

21 MR. FLYNN: I think that might be helpful.

22 MR. PINCUS: I am showing the witness the
23 color version.

24 A. Yes, it is around 12-6-99. You see one
25 thing. Now, a result down at the bottom line. The

1 bottom line is for the results which are out of the
2 order of probability of one out of a hundred or
3 less.

4 Now, things with probability one out of a
5 hundred occur. Okay. They occur roughly once every
6 hundred times. But if when I look at this
7 peculiarity I see virtually every other blue letter
8 is up here, a lot of Bishayee's letters are up here,
9 but an extraordinary number are below that line.
10 And so there were an extraordinary number of the
11 individual results which said it shouldn't be
12 happening.

13 Q. Just to clarify, and maybe this might just
14 be me, nothing below this line is meant to say
15 actually zero?

16 A. Nothing ever comes out to zero. First of
17 all, nothing ever comes out to zero.

18 Q. Okay.

19 A. But remember the letters themselves are
20 much coarser than the numbers.

21 Q. Okay.

22 A. So a lot of those numbers below the line
23 correspond to probabilities which are less than one
24 in a billion.

25 Q. Okay. I think we will move along to the

1 next -- Measurements That Are Close To The Average
2 on page 8, is that the next section?

3 A. Yes, that is the next section. That is
4 actually the second --

5 Q. I see. That was part of the first?

6 A. That is still part of the first. I am
7 still looking at the terminal digits, but I am
8 either looking at the terminal digits collectively
9 or experiment by experiment.

10 Q. Fair enough. So now we are moving on to
11 the next part of your -- the second prong of your
12 conclusion?

13 A. Right.

14 Q. And I guess maybe we will start out with
15 the same way we started the other ones is if you do
16 a general description for me with what you are
17 doing?

18 A. Okay. What happened is I look at these
19 numbers, and there is something which looks a little
20 bit weird about triads. In the colony experiments
21 -- actually in both the colony experiments and the
22 Coulter count measurements, you get three numbers at
23 a time. And in the colony numbers -- and I had
24 learned that apparently the averages in the colony
25 numbers are somewhat important. Okay. When you

1 looked at the three numbers, one of the numbers was
2 always -- in Bishayee's numbers, one of the three
3 numbers that Bishayee produced was always very, very
4 close to the average. Okay. You looked at the data
5 other people produce, you know, they are all over
6 the place.

7 Essentially the idea is again there is a
8 certain amount of -- there is a certain amount of
9 indeterminacy which enters into how the samples are
10 collected. Okay. They are kind of reaching into --
11 my understanding is they are kind of reaching into
12 some sort of medium, we can think of it as
13 jellybeans. Okay. They are reaching into a bottle
14 of jellybeans, they are pulling out either 50 or 100
15 or 200 roughly jellybeans, and they are picking out
16 bunches of jellybeans of roughly the same size. And
17 if I reached into a bunch of jellybeans, if you
18 reached into a bench of jellybeans, and pulled out
19 three bunches of roughly the same size -- let's say
20 you are picking bunches of jellybeans in the order
21 of say hundreds. Okay. Pick three numbers the same
22 size. If I take the three numbers, I count the
23 three collections you have, I would expect that if I
24 looked at the -- well, certainly one of the three
25 numbers is going to be the biggest. Actually there

1 might be a tie for the biggest. One of the three
2 numbers is going to be the smallest, and one of the
3 three numbers is going to be in between the other
4 two. Okay. I would expect that there is no
5 particular reason why the number that is between the
6 other two is closer to the higher number or closer
7 to the lower number or strictly in the middle. I
8 would expect it to be someplace -- you know, just
9 sort of randomly and uniformly. It is a funny word,
10 but we all kind of understand it. I would expect it
11 to be kind of uniformly in the middle. Sorry,
12 uniformly across that middle.

13 Q. The spectrum from the lowest to the
14 highest?

15 A. Yes. Now, how can I measure where it fits
16 in the spectrum? One way to measure is I take the
17 middle the number and subtract the lower number,
18 I take the highest number and subtract the lowest
19 number and I look at ratio of the middle minus the
20 lower and the highest minus the lower. When we say
21 we expect the ratio to be someplace across there,
22 what we are saying is we expect that ratio to be
23 something between zero and one, and we don't expect
24 it to be more likely between zero and point one than
25 between point four and point five or vice versa.

1 Okay. We expect it to be pretty much uniformly
2 distributed. Okay.

3 Now, again we are starting with kind of an
4 assumption. And there are a number of ways of
5 addressing that assumption. One way to address that
6 assumption is to try to give a mathematical proof
7 that that would be the case. I can give a
8 mathematical proof under certain fairly stringent
9 conditions. Okay. But they are not entirely the
10 conditions that apply here. Certainly just this
11 reasoning is enough to give me a feeling that that
12 should be the case. But I want to have more
13 evidence. How can I gather more evidence? One is
14 to look at evidence from elsewhere. I can look at
15 what happens when I take the colony numbers from
16 other people.

17 Now, one of the things I have to do is if
18 I start dealing with extraordinarily small colony
19 numbers like counts of seven or ten, well then seven
20 or ten I only have a few possible results. Okay.
21 So what I did is I did all of the groups of colony
22 -- first of all there were some colony experiments
23 where I didn't have three numbers. I threw those
24 out. I then took all of the groups where I had a
25 full set of triples. I simply said I am going to

1 throw out every one where the difference between the
2 highest and the lowest is less than ten. Why?
3 Because if it is less than ten I am not going to get
4 a full spectrum.

5 Then what I did was I took out of the data
6 that was left, all of the data from Bishayee, and I
7 have the counts of how many triples I had there.
8 Took all of the triples from everybody else and I
9 did this calculation. And after I did this
10 calculation, I looked at what the distribution of
11 these ratios looked like. Okay. And if you look on
12 page -- the figure on page six -- sorry. Let's look
13 at the figure on page -- figure seven. Okay.

14 There were 542 triples from all of the
15 other experimental data I had from UMDNJ and you can
16 see that -- and what I did was I drew a histogram,
17 which means what I did is I looked at the fraction
18 of those triples which were between in this case I
19 guess it looks like zero and -- each bar corresponds
20 to a range of point zero five, one-twentieth. And
21 slightly less than ten percent of the ratios from
22 those 542 were between zero and point zero five.
23 Okay. Around five percent were between zero and
24 point zero -- point zero five and point zero one.
25 It looks like about four percent were between point

1 one and point one five. Okay.

2 And even though this isn't precisely
3 uniform, it shows that we have a pretty neat
4 distribution across the spectrum of ratios.
5 Precisely what I expected. Okay.

6 What I then did was I then did exactly the
7 same thing with Bishayee's data. That is what I
8 have in figure eight. Okay. So I calculated it for
9 Bishayee's data, and I have this incredible anomaly
10 right in the middle. If I look at the percentage of
11 his triples in which the ratio is between in this
12 case point four five and point five. More than
13 forty percent of his triples give that.

14 I mean that is an extraordinary variation
15 from what happened with everybody else where none of
16 these intervals had more than ten percent. He has
17 this one interval right smack in the middle which
18 has more than forty percent.

19 Now, one of the questions -- I mean I had
20 looked at other data, I had looked at this, I tried
21 to give a mathematical derivation. As I said, in a
22 very special case I could. The question is do I
23 have any other reason to believe in my thing.

24 Well, what I did was I did a simulation.
25 Okay. That is on page 9. Okay. What I did was I

1 took a rough -- I essentially told the computer give
2 me 500 triples. Now, by the way, I have actually
3 done this simulation a whole bunch of times and I
4 have done it in a variety of ways, so modifying the
5 assumptions. What I once did was to stay as close
6 to what everybody did as possible. What I did is I
7 wrote a simulation where I took each group's triples
8 and I tried to randomly generate a triple which had
9 roughly the same mean, in other words each
10 individual triple. This isn't in the report but I
11 did this. Because I said maybe it is in the way in
12 which the size of his things varies. So I ran this
13 simulation and I actually used a couple of different
14 versions of assumptions about it, about how these
15 are distributed. And every time I did this I got
16 exactly the same kind of thing here.

17 MR. PINCUS: Here being figure six?

18 THE WITNESS: Here being figure six and
19 here being figure seven.

20 A. It is where the ratios are uniformly
21 spread across the spectrum. Okay. Did I see lots
22 of ratios which were between point zero and point
23 zero five? I see lots of them which are between
24 point eight and one. Okay. And I don't see the
25 vast majority sitting between point four and point

1 six. Okay. And so when I look at this -- and by
2 the way, I have actually never seen this occur in
3 the literature. Okay. This is a very interesting
4 anomaly. But how on earth could it occur? The only
5 way I can possibly imagine this having occurred is
6 if somebody made up some numbers. Okay. You can't
7 get those numbers to come out so perfectly without
8 doing it.

9 Q. Like a general question, when we were
10 referring to the first test we referred to only the
11 right-hand digit. In this case -- hang on a second.

12 (Whereupon, a discussion was held off the
13 record.)

14 Q. When you are running this model, are you
15 still dealing with the numbers of the least
16 scientific significance? And what I mean is the
17 numbers of magnitude at the front end of the
18 three-digit number that means something to the
19 experiment aren't going to adjust your model, do
20 they?

21 MR. PINCUS: Objection to the form.

22 You may answer.

23 A. The answer is I'm not dealing with the
24 least significant digit. I am dealing with sort of
25 every digit within here.

1 Q. Okay.

2 A. In the sense that, one, it is a question
3 of what the three numbers happen to look like.
4 Okay. I actually haven't looked at the triples, but
5 there are probably some triples which look like, you
6 know, 486, 561, 720. Okay. There everything is
7 significant. Okay. And what I am simply saying is
8 if I have 486 on one end and 720 on the other end, I
9 expect to see, you know, not only a lot of 550s but
10 I expect to see a lot of 480s and 490s. I don't
11 know whether I have gotten the right numbers here,
12 but you get the general idea.

13 So what I was dealing with was really the
14 whole numbers. What I was dealing with is the full
15 gap between the numbers. I threw out everything
16 where the gap was less than ten. So in fact I was
17 really not dealing with the last digit, because it
18 is only when the gap is less than ten that the only
19 material thing is the last digit.

20 Q. I understand what you are saying, and I
21 guess what I am getting at is then we are also
22 dealing with the tenth digit, and if you can recall,
23 do you recall many of your triples that dealt with a
24 discrepancy as great as what you just said, a 490 to
25 a 782, or are we dealing with much smaller

1 deviations?

2 MR. PINCUS: Object to the form.

3 You may answer.

4 A. I actually want to apologize. I have to
5 remember -- I got to apologize for not being
6 completely consistent with the rules. I have not
7 been letting you ask questions.

8 Q. You are been doing fine.

9 MR. PINCUS: You have been doing fine.

10 A. I know I am supposed to wait until you
11 finish. It is a little bit of a bad habit.

12 At the moment I cannot distinctly recall
13 how many triples there were of various sizes. I
14 know that there were triples certainly in the three
15 digits in as high as four or 500. Actually I
16 shouldn't say for sure I know. I am pretty sure.
17 It has been a long time since I looked at them.

18 Q. Okay.

19 A. It is funny I actually -- because I was
20 going to be doing this, I actually pulled out one
21 data set last night, and I can't even remember
22 exactly what the numbers were in that. Okay. I can
23 remember roughly what the ratios were, and it was
24 just one experiment so it was ten triples but they
25 were all over the place. I don't think I could tell

1 you whether the gaps were in the hundreds or in the
2 teens.

3 Q. Okay. Fair enough.

4 MR. PINCUS: At a point that is convenient
5 to you I would like to take a break.

6 MR. FLYNN: I would like to also. I just
7 want to finish point number two and we can take
8 a break.

9 MR. PINCUS: Not a problem.

10 MR. FLYNN: Actually right now is a good
11 time.

12 MR. PINCUS: Okay. Then let's take a
13 break.

14 (Whereupon, a brief recess was taken.)

15 Q. I guess we will move on to the third prong
16 of your report. If you could just do more of the
17 same as we did with the other ones, a general
18 description?

19 A. Same thing. By the way, this is in some
20 ways, you know, at least closer in spirit to the
21 first than the second is. This is again a
22 consideration of digits. And the issue is again,
23 you know, when you are dealing with fairly large
24 numbers, the two digits, the last two digits, are
25 again relatively insignificant. And certainly if

1 the last digit is pretty insignificant, it shouldn't
2 be looking very much like the next to the last
3 digit.

4 So let's look at this. Let's see if there
5 is anything going on with this. And so what I did
6 was I sat down and I obviously didn't hand count, I
7 wrote a little program which would pull out the last
8 two digits, looks to see whether they were equal,
9 count the number of times the last two digits were
10 equal. And here I am looking at every individual
11 number, which was what I was doing the first time
12 around. But not what I was doing with the middle
13 thing. In the middle thing I am looking at groups
14 of three numbers and looking at how they hang
15 together.

16 Here I am looking at every single number
17 that you produce. And again it seems pretty
18 plausible that unless you are monkeying with the
19 numbers, that you are going to have the last digit
20 and the very last digit will be equal about a tenth
21 of the time.

22 Q. When you say equal, are we saying a 22 or
23 are we saying a 17 showing up in multiple --

24 A. No, what I am saying is I write down a
25 number like 375. The last two digits are seven and

1 five. Okay. In this case they are not equal. If I
2 wrote down 422 the, last two digits are equal. So
3 now what I do is I look at all of my numbers, and
4 roughly speaking you would sort of expect if you
5 have a bunch of numbers, a hodgepodge of numbers,
6 about one out of every ten times -- whenever you
7 have a one, you know, it will be matched with a one
8 about one-tenth of the time. Whenever you have a
9 two, the next to the last digit of two, it would be
10 matched with a two about one-tenth of the time.

11 Q. Okay.

12 A. So let's look to see if that is the case.
13 So what I did was I took Bishayee's data, and one of
14 the reasons I did this was it gives me the
15 opportunity to look at a completely different
16 statistical test. Okay. The statistical assumption
17 here is that you should get -- what we have in
18 Bishayee he did -- I have 5,155 recorded in 171
19 experiments using the Coulter Counter. I have 5,155
20 numbers. Roughly speaking I expect that of those
21 about 515 or 516 should have the last two digits
22 equal. So I count how many of his numbers have the
23 last two digits equal and that is 636.

24 The question is, you know, could that
25 happen? Obviously. Could it happen purely by

1 chance? Obviously it could happen purely by chance,
2 just as all of the molecules can go to the corner of
3 the room. But I can actually calculate the
4 probability of that, assuming that the probability
5 of a pair occurring is one-tenth. Okay. And there
6 is a standard result and probability which says,
7 well, if you conduct 5,155 experiments, and
8 something is supposed to happen one-tenth of the
9 time, here is the probability it will happen 515
10 times. Here is the probability it will happen 516
11 times. Here is the probability -- and it is an
12 exact number we can calculate. We can calculate all
13 of those probabilities.

14 So what we can do is we can calculate the
15 probability it happens 636 times or more. Okay. So
16 in other words, what is the probability -- another
17 way to think about it is, you know, I have a little
18 spinner and there is a section of the spinner which
19 is one-tenth of the size of the spinner and I spin
20 that spinner 5,155 times. I can ask what is the
21 probability that the arrow points to that one-tenth
22 section 636 times or more. That is given by
23 something called the binomial distribution.

24 So I got into R and I said what is the
25 probability of this happening with my spinner or

1 with his experiment if my assumption of the
2 one-tenth is correct. And what R told me was the
3 chance is less than one in ten million.

4 Q. Okay.

5 A. And one of the questions was again the
6 empirical question, I have a reasonable a-priori
7 assumption which almost everybody I think would
8 subscribe to, but again it doesn't hurt to test it
9 against other data. Okay. What other data do I
10 have? Well, I have 2,759 numbers from everybody
11 else.

12 Q. Okay.

13 A. So I counted their last digits. And
14 again, roughly speaking what would I expect? I
15 would expect about 275.9. Well, what did they get?
16 They had 280. So again I can ask what is the
17 probability of that, and the probability of that is
18 point three eight.

19 Q. Okay.

20 A. Pretty high probability. Whereas the
21 number I had from Bishayee was a pretty low
22 probability.

23 Q. Okay. I see why you said that this is
24 very close to maybe the first one. We were dealing
25 with the one digit, and now we kind of added another

1 digit to the analysis?

2 A. Right, but we are also adding a different
3 statistical technique. We are not doing what is
4 called a Goodness-of-Fit Test. We are simply
5 looking at the actual probability that this
6 occurred. And I actually addressed it in two
7 different ways. I wanted to make sure that anybody
8 who read this would know that I looked at it from
9 every other point of view.

10 What happens is the exact probabilities
11 for this is given by something called the binomial
12 distribution. People often approximate the binomial
13 distribution with normal distributions. This is
14 what everybody thinks all of probabilities are
15 about. So what I did was I used the normal
16 calculation to calculate the probability, and it is
17 still very, very small.

18 Q. But the statistics, and correct me if I am
19 wrong, is based after the assumption of there is the
20 one in ten chance of the two digits occurring?

21 A. Yeah. The probability calculation is
22 based on that premise.

23 Q. In any of the references, and I don't know
24 that I saw one, and you can tell me if I missed it,
25 did any of the other authors that you referenced or

1 the other statisticians use a similar assumption?

2 A. Well, in the references I have seen, in
3 the papers I have seen on using statistical
4 techniques and defect flaws, there are roughly two
5 techniques which seem to be the prevalent techniques
6 that people use. One is the last digit, and the
7 other is something called Benford's Law to look at
8 the first digits. First digits here aren't germane.
9 They aren't the things you would look at, so I never
10 tried looking at it with Benford's Law.

11 I was interested, number one, I thought
12 this whole question of can I look at numbers and
13 figure out whether somebody is faking it is an
14 interesting question. And so I simply said are
15 there other things I could look at. I mean having
16 discovered this particular anomaly with the middle
17 number of the three, you know, the question was are
18 there any other ways to look at what is going on in
19 this data, and although I haven't seen anybody do
20 this, I think everybody else would make the same
21 assumption.

22 The literature on this is kind of
23 interesting in the following sense, if you read
24 Mosimann's paper, and I have another reference in
25 here, Marzouki, Are These Data Real. They actually

1 used the same terminal digits. In each case they
2 said when we showed these tests to the malefactor,
3 they confessed.

4 Well, I mean the question is what do you
5 do in a world where somebody simply says I didn't do
6 it? Okay. Well, what you really need to do is you
7 really need to look a little bit more deeply. Okay.
8 And since my premise was, one, you know, I am going
9 to look to see whether there is a substantial case
10 here, and that is certainly what I was hired to do.
11 But I am going to look to do this, one, and if the
12 data shows me that I am wrong then I am wrong. But
13 in the case of doing this, I am going to assume that
14 nobody is going to sit down and say yes, I did it.
15 So I better find -- you know, look at it more
16 thoroughly.

17 Q. So is it fair to say that prongs two and
18 three were something that you created on your own,
19 is that a fair --

20 A. Yes, absolutely. I am proud of that.

21 Q. I'm not saying you shouldn't be.

22 Have you ever applied similar analyses in
23 other contexts prior to doing this report? And when
24 I say these, I mean prongs two and three of your
25 conclusion.

1 A. Not that I can think of.

2 Q. Okay. Did you discuss your use of those
3 prongs with any colleagues or anyone prior to doing
4 them or after you reached these conclusions?

5 A. Well, I certainly talked to people about
6 what I was doing and that I thought this was kind of
7 interesting stuff, yeah. Some of this is -- I am a
8 mathematician, I get excited about thinking about
9 things in certain ways.

10 Q. I get excited about Law and Order.

11 A. I also thought this would make a very
12 interesting paper, which I actually want to,
13 assuming I get Dr. Hill's permission, publish in a
14 journal at some point.

15 Q. Moving to page 13 of your report. In the
16 first full paragraph about halfway down you will see
17 a sentence that says, In our study of Dr. Bishayee's
18 experimental data we have found ample indications of
19 such a failure to pay attention to the, quote,
20 inconsequential components, close quote, of his data
21 sets.

22 Could you just explain that to me?

23 A. Sometimes I get intoxicated with my
24 writing. No, I love -- I happen to like that
25 phrase.

1 You go back to the beginning of the
2 paragraph. In Mosimann's article he says, A useful
3 way to assess questioned data is to examine
4 inconsequential components of data sets that are not
5 directly related to the scientific conclusions of
6 the purported experiment.

7 The inconsequential components are things
8 like the last digit. That is not really critical.
9 Now, in a certain sense the inconsequential
10 component -- and this is really interesting here.
11 The inconsequential component of the triads, the
12 triples, is that there are three numbers there.
13 Okay. The fact that there are three numbers is
14 really not related to the conclusion. The
15 conclusion is what the average is going to turn out
16 to be. Okay. But it turns out that you need all
17 three numbers. Okay.

18 Now, if you are really doing the
19 experiment, you've got three numbers and you've got
20 something which is in the middle which is going to
21 affect what your average is. But if you are going
22 to fake the average, then what you do is you create
23 the average first and then put the numbers on either
24 side to get the average you want but you don't think
25 about the fact. So it is sort of inconsequential

1 how that comes about. That is your premise. And I
2 can't see how those numbers could have come up with
3 any other way.

4 So I think that on a certain level that
5 what is inconsequential here is we really don't care
6 about those two numbers so we are going to make sure
7 we get the right average. In a way I guess it is a
8 little bit of an assertion.

9 Q. Let's assume for the purpose of my next
10 couple of questions that I accept all your findings
11 and I say, okay, Dr. Bishayee, I agree with you that
12 he fabricated data and this goes to something that
13 we touched on very early in the deposition. Do you
14 know what the impact of that fabricated data had on
15 the experiments in question?

16 A. Absolutely not.

17 Q. Have you ever performed cell counts?

18 A. No.

19 Q. Have you ever seen a Coulter Counter or
20 used a Coulter Counter?

21 A. I saw a picture of a Coulter Counter.

22 Q. Have you ever used one?

23 A. No.

24 Q. Have you ever been in a lab and watched
25 somebody use one?

1 A. No.

2 Q. Have you watched somebody take counts off
3 of one?

4 A. No.

5 Q. Just being thorough.

6 A. Absolutely.

7 Q. Do you know the relevance of the data that
8 you reviewed, its relevance to the experiment in
9 question?

10 A. I'm not sure I can answer that question.
11 In the sense that I know that this was, you know,
12 the experiment. From what I have gathered, in a way
13 the most important part of the experiment is the
14 colony count, which is kind of the end. But I
15 really don't -- I'm just looking at the numbers.

16 Q. Rather than saying relevance, I guess the
17 simple way of saying it is do you know how the data
18 fits into the grand scheme of the grant application
19 itself?

20 A. No.

21 Q. Or the published articles?

22 A. No.

23 Q. Had you heard about the bystander effect
24 prior to meeting Dr. Hill?

25 A. No.

1 Q. Maybe you haven't even heard about it yet?

2 A. Actually I know I have heard the term, but
3 to be perfectly honest that is about all I can say
4 about it.

5 Q. You don't know what it means or refers to?

6 A. I am just a numbers guy.

7 Q. Do you know what a tritiated thymidine is?

8 A. It is some kind of radioactive substance.

9 Beyond that, no.

10 Q. Never dealt with it before?

11 A. No.

12 Q. Do you have any knowledge of its reaction
13 to certain variables whether in the lab or outside
14 the lab?

15 A. No.

16 Q. Okay.

17 A. I think I just flunked biochemistry.

18 Q. I flunked it a long time ago.

19 A. Well radiology is what I just flunked.

20 Sorry, professor.

21 Q. I would like to just mark some of the
22 references that you made for purposes of attaching
23 to the transcript and ask you a few questions but
24 not an exorbitant amount.

25 A. Okay.

1 MR. FLYNN: Let's mark this as Exhibit
2 Pitt 4 please.

3 (Whereupon, Pitt Exhibit 4 was marked for
4 identification by the Reporter.)

5 Q. I'm showing you what has been marked as
6 Exhibit 4. It is an article by James E. Mosimann
7 and et al., as we say, Terminal Digits and the
8 Examination of Questioned Data. And this is one of
9 the references that you used?

10 (Whereupon, the Witness looked at the
11 aforementioned exhibit.)

12 A. Yes.

13 Q. You made an interesting point that I was
14 actually going to raise about Mosimann and you just
15 made it about a minute ago. You said what Dr.
16 Mossiman did was he confronted people with his
17 initial findings and they basically confessed. Is
18 that true of his articles basically?

19 A. Yes. Well, actually one article is on
20 fabrication, and the other article is can you
21 generate random digits, which actually is the same
22 thing that Campanis discusses also.

23 So the whole thing hangs together that
24 people really can't fake it, and so we can find out
25 whether they are faking. The Marzouki article is

1 similar in that. And basically they kind of know
2 the people faked it is my recollection.

3 Q. Okay.

4 A. So they are working backwards from they
5 know the data was faked and this is it.

6 Q. Okay.

7 A. And the answer is yes, I mean they did
8 confront it. If you look at my references, I really
9 tried to look at the issue. Okay. The Preece
10 article very specifically says there are reasons
11 why. So what I was interested in doing is finding
12 out are these reasons here.

13 MR. FLYNN: Let's mark this as the next
14 exhibit.

15 (Whereupon, Pitt Exhibit 5 was marked for
16 identification by the Reporter.)

17 Q. You have been shown what has been marked
18 as Exhibit Pitt 5? Is this the other Mosimann
19 article you were just referring to?

20 (Whereupon, the Witness looked at the
21 aforementioned exhibit.)

22 A. This is the other Mosimann article.

23 Q. Okay. I don't think I have any specific
24 questions on it.

25 MR. FLYNN: Let's mark this as Pitt

1 Exhibit 6.

2 (Whereupon, Pitt Exhibit 6 was marked for
3 identification by the Reporter.)

4 Q. I'm now showing you what has been marked
5 Pitt 6, which is an article by Sanaa Al-Marzouki and
6 et al., I don't want to totally butcher her name,
7 entitled Are These Data Real? Statistical Methods
8 For the Detection of Data Fabrication in Clinical
9 Trials.

10 And if you look at the first page in the
11 little abstract section, she has conclusions.
12 Several statistical features of the data from the
13 dietary trial are some strongly suggestive of data
14 fabrication that no other explanation is likely.

15 Do you feel that your opinion in this case
16 is stronger or less strong than the conclusion that
17 she is reaching there?

18 A. I would say that it is a question of how
19 you would determine the word likely. If I think of
20 likely as sort of a soft, you know,
21 I-would-be-very-surprised,
22 I-would-be-surprised-but-not-shocked, then mine is
23 much stronger. Okay. In other words, you know,
24 there is always some other explanation. You can
25 always come up with some possible explanation. All

1 of the particles accumulated in the corner, it
2 happens. Okay. But I would say in this particular
3 case to me it is much stronger than that.

4 Q. I wanted to get your feel for the use of
5 strongly suggestive. Is that how you feel -- we
6 can't use the word certainty I guess is what we have
7 both been kind of talking about throughout the
8 deposition. Is that a better terminology,
9 scientific certainty as opposed to strongly
10 suggestive?

11 A. There is no such thing as statistical
12 certainty. There is incredible unlikelihood. I
13 would say it is more than strongly suggestive. I
14 mean to me it is highly indicative. I don't know
15 whether highly indicative is stronger than strongly
16 suggestive.

17 Q. If I could have you flip to page 269 of
18 her article I guess. Do you see the subsection
19 randomization process, randomization process I
20 guess. She says there in the second sentence that
21 one possibility is that the data themselves are
22 genuine but the that the randomization process has
23 been subverted.

24 Could you explain to me your understanding
25 of that?

1 A. Okay. It has been a while since I read
2 the article. I really actually have no idea. Okay.
3 One, I mean if you are asking me to read this right
4 now and sort of say what would I infer that this
5 means, not having looked at the rest of the article
6 for probably six months or eight months?

7 Q. Maybe I could clarify the question. I'm
8 not asking specifically which subversion, specific
9 subversion she is asking about in this article, but
10 just the fact that how can the randomization process
11 be subverted generally?

12 MR. PINCUS: Objection to the form.

13 You may answer.

14 A. First of all, she is talking about a very
15 I believe -- and I am going to have to make a little
16 bit of a conjecture here. She is talking about a
17 very different kind of experiment than we are
18 discussing. Okay. I believe she is talking about
19 an experiment which was supposed to involve
20 randomized trials. Which is to say that we are
21 going to give some people some sort of medication,
22 we are going to give other people a placebo and we
23 are going to see what the effect is. It may be
24 something other than that, but my guess is that when
25 she talks about the randomization process that that

1 is roughly what she is talking about.

2 Now, how could the randomization process
3 -- so if you are talking about that kind of thing,
4 how could the randomization process be subverted?
5 Well, in a randomized trial, what you are supposed
6 to do is you are supposed to have a group of
7 subjects who are going to be given this medication
8 and you are -- the presumed medication, and the
9 group to whom the placebo is given, and you are
10 supposed to decide who gets what on a purely random
11 basis. You subvert it if in some sense or another
12 if I look at you and say this guy looks very healthy
13 so what I am going to do is I am going to give him
14 my medication and this guy looks weak and so I am
15 going to give him the placebo and we are going to so
16 that my medicine works.

17 That is an example of a possible
18 interpretation of this. I don't know if this fits
19 with what went on in the article.

20 Q. Fair enough. On the next page in the very
21 top right-hand side under the subsection Digit
22 Preference, I think this kind of goes back to
23 something we spoke about earlier is digit preference
24 in itself is not evidence of misconduct. Would you
25 agree with that statement?

1 A. Actually before I agree to that statement,
2 I want to go back to the preceding paragraph where
3 it says had there been a tendency to put patients
4 with let's a say higher blood pressure within one
5 group. That is what she was talking about
6 subverting it. So I was right on.

7 Q. Okay.

8 A. I think in this particular case I think it
9 is -- I think this is a statement which is context
10 dependent. I'm not exactly sure what she is saying
11 here. But the fact is that if we look at -- she is
12 definitely not saying that if you saw a whole bunch
13 of numbers with this funny pattern in the last
14 digit.

15 Now, there is -- it is certainly not
16 conclusive evidence, you know, and I pointed out
17 already that this other people says it is not
18 conclusive evidence, but it is certainly evidence.

19 Q. Fair enough. Okay.

20 A. I should say it is definitely evidence of
21 potential misconduct, possible misconduct. I should
22 get away from lawyers phrases. I withdraw
23 everything I have said.

24 MR. PINCUS: Well, are you truly
25 withdrawing what you said, or were you just

1 making a joke?

2 THE WITNESS: No, I am making a joke. No,
3 I don't withdraw what I said. Thank you for
4 correcting me.

5 MR. PINCUS: No problem.

6 A. Can I put this article aside?

7 Q. Yes, absolutely.

8 A. Thank you.

9 Q. The next one is Dr. Hill, a different Dr.
10 Hill I assume.

11 A. I like that paper. Dr. Hill is an
12 interesting guy.

13 MR. FLYNN: Okay. This will be the next
14 exhibit.

15 (Whereupon, Pitt Exhibit 7 was marked for
16 identification by the Reporter.)

17 Q. I show you what has been marked Pitt 7.

18 (Whereupon, the Witness looked at the
19 aforementioned exhibit.)

20 A. Okay.

21 Q. We talked about this a little earlier.
22 You went into Benford's Law which if I am correct --

23 A. I think that is what this paper is about.

24 Q. More of the theory that he applies. And
25 generally speaking, just simply put for us, can you

1 describe the differences between Benford's Law and
2 the type of statistical analysis that you have used
3 in your report?

4 A. Benford's Law is an observation about the
5 frequency with which leading digits should occur in
6 certain natural occurring sets of data.

7 What I have been doing -- and it was
8 actually initially established pretty -- it was an
9 empirical observation. Somebody went out and looked
10 at a bunch of numbers and said ones occur at about
11 three-tenths of the time and twos occur at a
12 slightly lower fraction of the time. It is related
13 to logarithms. Later on a mathematician named Percy
14 Diaconis actually did a -- wrote a paper in which he
15 said, well, I can give you a reason of why this
16 should occur. This has nothing whatever to do with
17 it.

18 Q. Fair enough. More of a general question.
19 Is there a reason -- this is a copy of the copy that
20 was produced by Mr. Pincus. Is there a reason that
21 it was a manuscript rather than from a published
22 peer review journal? I ask because I was able to
23 locate Dr. Hill's article in a peer review journal,
24 and I was wondering if there was any reason that you
25 produced just this manuscript version? I am not

1 saying in it a conclusory way or anything. I am
2 just asking if --

3 A. What happened is I found a lot of these
4 papers on the web and I just don't remember how I --
5 sometimes what I will do is I will go to something
6 like JSTOR and I will pull down the journal copy and
7 sometimes I will get a copy someplace else.

8 Q. Okay. Fair enough. It is no different
9 than the journal copy, it was just a curiosity
10 question.

11 A. It is just how I got the copy.

12 THE WITNESS: I think I sent the copy to
13 you, didn't I?

14 MR. PINCUS: That is the only reason why
15 Scott has them, because you provided them to me
16 at his request.

17 MR. FLYNN: Let's mark this next one as
18 Pitt 8.

19 (Whereupon, Pitt Exhibit 8 was marked for
20 identification by the Reporter.)

21 Q. I'm showing you what has been marked as
22 Pitt 8, an article by D.A. Preece, Distributions of
23 Final Digits in Data. I am simply going to ask if
24 this was the Preece article that you referenced
25 earlier and that is referenced in your report?

1 (Whereupon, the Witness looked at the
2 aforementioned exhibit.)

3 A. Absolutely.

4 MR. FLYNN: This will be Pitt 9 please.

5 (Whereupon, Pitt Exhibit 9 was marked for
6 identification by the Reporter.)

7 Q. Dr. Pitt, I am now showing you what has
8 been marked as Pitt 9. It is an article by Rosemary
9 N. Taylor, Statistical Techniques to Detect Fraud
10 and Other Data Irregularities in Clinical
11 Questionnaire Data. At the very bottom of the first
12 page in the article there is an acceptance that
13 begins, Fraud is perhaps the least likely
14 explanation for data irregularities but is often the
15 one with the most serious consequences, et cetera,
16 et cetera.

17 Would you agree or disagree with that
18 statement?

19 MR. PINCUS: Well, objection. No
20 foundation.

21 You may answer.

22 (Whereupon, the Witness looked at the
23 aforementioned exhibit.)

24 A. I don't know whether I agree or disagree.
25 Actually -- honestly it is a funny -- I don't know

1 that it is the least likely explanation for data
2 irregularities. It certainly is a -- it certainly
3 is something with serious consequences.

4 Q. Absolutely.

5 A. You know, I think if you look at the
6 Preece paper, which actually talks about this whole
7 question of, you know, could there be reasonable
8 explanations for certain kinds of irregularities.
9 Okay. And basically the Preece argument is, well,
10 there is imprecision in how you get certain numbers.

11 That is basically the gist of his argument. Well,
12 one, one certain context is that there is a very
13 likely explanation. It is a possibility of if you
14 are not reading numbers digitally then there is a
15 good chance you are going to be wrong.

16 So I would certainly say within that
17 context that fraud is probably not a very likely
18 explanation. And those kinds of irregularities are
19 probably inconsequential. Okay. So I think again
20 it is a context-dependent thing.

21 Q. If we continue on the next page of the
22 same paragraph there, the last sentence in that
23 paragraph says, Of course, even if no explanation is
24 found, establishment of a deliberate intention to
25 defraud is another matter again, and outside of the

1 scope of this paper.

2 Would you say the similar conclusion is
3 outside the scope of your report with respect to Dr.
4 Bishayee?

5 MR. PINCUS: Objection. No foundation.

6 You may answer.

7 A. You have to ask that question again
8 please.

9 Q. Okay. We have discussed and you have
10 stated that your opinion is that it is very likely
11 or some degree higher than very likely that Dr.
12 Bishayee fabricated the data in question. Does that
13 conclusion then lead to the subsequent conclusion
14 that he also had a deliberate intention to defraud?

15 MR. PINCUS: Objection to the form of the
16 question. It calls for a legal conclusion.

17 You may answer.

18 A. I actually don't think I am competent to
19 answer that. I mean I --

20 Q. Fair enough.

21 A. I'd have to be a mind reader.

22 Q. If you give me like two minutes just so I
23 can look at my notes here, but I think I might be
24 done.

25 MR. PINCUS: I just have a couple of brief

1 questions.

2 (Whereupon, a brief recess was taken.)

3 Q. We talked about this earlier, but you did
4 say you reviewed the ORI investigative report prior
5 to preparing your report?

6 A. Not in the immediate past. Now, I reviewed
7 this -- I think I read it at the very beginning of
8 this whole process.

9 Q. Okay. But it was something you did
10 review.

11 A. I think. I cannot say with absolute
12 certainty.

13 Q. Okay.

14 A. It is hard for me to believe that I
15 didn't. I mean I just don't know if I was -- I
16 can't.

17 Q. I thank you for your time, and I think Mr.
18 Pincus has some questions.

19 MR. PINCUS: I have just a couple of
20 questions.

21

22 CROSS-EXAMINATION

23 BY MR. PINCUS:

24 Q. Mr. Flynn has taken the time here this
25 morning to review not only your qualifications and

1 your experiences and your methodology with regard to
2 preparing the report that you prepared, he has also
3 reviewed with you the references that you cite in
4 your report and the various techniques that you
5 employed.

6 Given all of that, are you confident that
7 as regards to each of the issues which your report
8 discusses that the techniques and the methodology
9 that you employed with regards to mathematics and
10 statistics are ones which are generally acceptable
11 in the mathematical statistical community?

12 A. Absolutely.

13 Q. Were you confident in the validity of the
14 techniques and methodologies that you employed?

15 A. Yes.

16 Q. As with regard to the conclusions that you
17 reach in your report, each of the individual
18 sections or the overall conclusions, are those
19 conclusions based on a reasonable degree of either
20 mathematical or statistical probability?

21 A. Yes.

22 Q. And that is all I have. Thank you.

23 MR. FLYNN: I have nothing further. Thank
24 for your time. It was nice meeting you.

25 THE WITNESS: You are welcome. It was

1 nice to meet you too.

2 MR. PINCUS: Just note that we reserve the
3 right to read and sign.

4

5 (The deposition concluded at 1:10 p.m.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 C E R T I F I C A T E

2

3 I, ADRIAN J. FEBRE, a Shorthand Reporter
4 and Notary Public of the State of New Jersey, do
5 hereby certify that prior to the commencement of the
6 examination, DR. JOEL PITT was duly sworn by me to
7 testify the truth, the whole truth and nothing but
8 the truth.

9 I DO FURTHER CERTIFY that the foregoing is
10 a true and accurate transcript of the testimony as
11 taken stenographically by and before me at the time,
12 place and on the date hereinbefore set forth, to the
13 best of my ability.

14 I DO FURTHER CERTIFY that I am neither a
15 relative nor employee nor attorney nor counsel of
16 any of the parties to this action, and that I am
17 neither a relative nor employee of such attorney or
18 counsel, and that I am not financially interested in
19 this action.

20

21 -----
22 Notary Public of the State of New Jersey
23 My commission expires June 20, 2010
24 License No. 2177494
25

